

1 STATE OF INDIANA)
) SS:
2 COUNTY OF MARION)
3

4 IN THE MARION COUNTY SUPERIOR COURT
5 CIVIL DIVISION, ROOM NO. 12

6 MELODY LEWIS and)
 ROBERT LEWIS,)
)
7 Plaintiffs,)
)

8 -v-) CAUSE NO.

) 49D12-1811-MI-045368

9 BMW CONSTRUCTORS, INC.,)
 et al.,)
10)
 Defendants.)

11
12
13
14 TRANSCRIPT OF TRIAL PROCEEDINGS

15 DAY 4

16 OCTOBER 18, 2019

17 BEFORE THE HONORABLE THERESE A. HANNAH
18
19
20
21
22

23 STEWART RICHARDSON DEPOSITION SERVICES

Registered Professional Reporters

One Indiana Square

24 Suite 2425

Indianapolis, IN 46204

25 (317)237-3773

1 APPEARANCES
 2 FOR THE PLAINTIFFS:
 3 Kathy Musgrave Farinas, Esq.
 4 Todd Barnes, Esq.
 5 Sarah Broderick, Esq.
 6 GEORGE & FARINAS, LLP
 7 151 North Delaware Street, Suite 1700
 8 Indianapolis, IN 46204
 9
 10 Nathan D. Finch, Esq.
 11 MOTLEY RICE, LLC
 12 401 9th Street NW, Suite 1001
 13 Washington, D.C. 20004
 14 Vincent L. Greene, IV, Esq.
 15 MOTLEY RICE, LLC
 16 55 Cedar Street, Suite 100
 17 Providence, RI 02903
 18
 19 FOR THE DEFENDANT BMW CONSTRUCTORS:
 20 Edward F. Harney, Jr., Esq.
 21 HUME SMITH GEDDES GREEN & SIMMONS, LLP
 22 54 Monument Circle, Fourth Floor
 23 Indianapolis, IN 46204
 24
 25 Claire C. Weglarz, Esq.
 HAWKINS PARNELL & YOUNG, LLP
 445 South Figueroa, Suite 3200
 Los Angeles, CA 90071

1 APPEARANCES (CONTINUED)
 2 FOR THE DEFENDANT JOHNSON & JOHNSON:
 3 Peter A. Bicks, Esq.
 4 Candice A. Andalia, Esq.
 5 ORRICK HERRINGTON & SUTCLIFFE, LLP
 6 51 West 52nd Street
 7 New York, NY 10019
 8 C. Anne Malik, Esq.
 9 ORRICK HERRINGTON & SUTCLIFFE, LLP
 10 1152 15th Street, N.W.
 11 Washington, D.C. 20005
 12
 13 Matthew L. Bush, Esq.
 14 Olivia Clements, Esq.
 15 ORRICK HERRINGTON & SUTCLIFFE, LLP
 16 51 West 52nd Street
 17 New York, NY 10019
 18
 19 J.T. Larson, Jr., Esq.
 20 Joseph G. Eaton, Esq.
 21 BARNES & THORNBURG
 22 11 South Meridian Street, Fifth Floor
 23 Indianapolis, IN 46204
 24
 25

1 INDEX OF WITNESSES
 2 PAGE
 3 BARRY CASTLEMAN, Ph.D.
 4 Cross (Continuing)622
 5 Cross691
 6 Redirect699
 7 Recross710
 8
 9 MARK RIGLER, Ph.D.
 10 Direct713
 11
 12
 13
 14
 15
 16
 17
 18
 19
 20
 21
 22
 23
 24
 25

1 INDEX OF EXHIBITS
 2 PLAINTIFF IDENTIFIED ADMITTED NOT ADMITTED
 3 Exhibit 3 714
 4 Exhibit 4 762 786
 5 Exhibit 38 811 811
 6 Exhibit 52 707 707
 7 Exhibit 181 743 743
 8 Exhibit 185 860 861
 9 Exhibit 196 814 814
 10 Exhibit 358 751 751
 11 Exhibit 386 815 815
 12 Exhibit 619 854 857
 13
 14
 15
 16
 17
 18
 19
 20
 21
 22
 23
 24
 25

INDEX OF EXHIBITS

DEFENDANT	IDENTIFIED	ADMITTED	NOT ADMITTED
Exhibit 3	690		
Exhibit 4	696		
Exhibit 7119	649		
Exhibit 8079	663	663	
Exhibit 8096	656	656	
Exhibit 8240	658	659	
Exhibit 8402	660	660	
Exhibit 8846	653	653	
Exhibit 9100	668	668	
Exhibit 11001-08	630	631	
Exhibit 12231	680	680	

1 THE BAILIFF: All rise.
2 (Whereupon, the jury entered the courtroom.)
3 THE COURT: Well, good morning and welcome
4 back. Please be seated.
5 Well, I hope everybody had a restful night,
6 and we are ready for another day of trial.
7 Your oath that I gave you as jurors, continues
8 from day to day until we conclude this case. So
9 there's no need for the Court to swear you in again
10 every day.
11 And with that, we'll begin.
12 MR. BICKS: Thank you. Good morning,
13 everyone.
14 JURORS: Good morning.
15 BARRY CASTLEMAN, Ph.D.,
16 a witness resumed the stand on behalf of the
17 Plaintiffs, having been previously duly sworn by the
18 Court, took the stand and testified as follows:
19 CROSS-EXAMINATION (CONTINUING)
20 BY MR. BICKS:
21 Q Good morning, Dr. Castleman.
22 A Good morning.
23 Q My name is Peter Bicks. How are you, sir?
24 A Fine, thank you. Excellent.
25 Q And thank you for being with us. I'd like to start

1 with just a little bit on your qualifications.
2 Fair to say that you are not an expert on what
3 I would call mineralogy?
4 A That's correct.
5 Q And is it fair to say that you are not a geologist?
6 A That's correct.
7 Q Is it fair to say that you don't have particular
8 expertise in the source mines from which Johnson &
9 Johnson got any of its talc?
10 A That's correct.
11 Q And are you familiar with the phrase material
12 scientist?
13 A Yes.
14 Q I assume you do not consider yourself a material
15 scientist.
16 A No.
17 Q Have you yourself actually tested any talc to
18 determine its purity?
19 A No.
20 Q Do you hold yourself out in front of our jury as an
21 expert in transmission electron microscopy?
22 A No.
23 Q What about x-ray diffraction?
24 A No.
25 Q Have you personally ever used an electron

1 microscope yourself?
2 A No, sir.
3 Q It's also the case, you're not a certified
4 industrial hygienist; right?
5 A I have training in industrial hygiene, but I'm not
6 a certified industrial hygienist.
7 Q Right. And you're not a medical doctor; right?
8 A No.
9 Q I think I've looked at a lot of your testimony, and
10 I've heard you say that you're not an expert in
11 mesothelioma in general; is that accurate?
12 A Well, it's -- I don't recall what you're referring
13 to, but I'm not a medical doctor. Mesothelioma is
14 clearly something that we use as a marker for
15 indicating the dangers of asbestos in public
16 health. So it's just a matter of context, I
17 suppose, what question that would be.
18 Q Well, I'm happy to pull it up, but you were asked,
19 are you an expert in mesothelioma in general, and
20 you said you were not. I'm happy to bring the
21 testimony up if you --
22 A It's such a vague question, if I said that in the
23 context of some deposition.
24 Q Do you know, by the way, where Johnson & Johnson
25 sourced its talc from?

1 A I read, I think, Vermont and Val Chisone in Italy.
 2 Q I'm glad you mentioned Val Chisone in Italy
 3 because, did you mention to the jury yesterday that
 4 you had actually visited the deposit in Val Chisone
 5 Italy?
 6 A Well, it's a museum now, but the mine still exists
 7 as a place that tourists can come and see a talc
 8 mine. But, yes, I've been there.
 9 Q And you a visited that mine in about 2015?
 10 A It was a few years ago, yes.
 11 Q Did you tour the mine?
 12 A Yes.
 13 Q And you were able to walk around and see where the
 14 talc came from.
 15 A Yes.
 16 Q Who took you around the mine?
 17 A Oh, I don't recall the people that were there. I
 18 was just coming as a tourist. I didn't have any
 19 special, you know, hosting arrangement by the mine
 20 management or anything like that.
 21 Q Well, it would be -- the year was 2015. Had you
 22 been hired by any plaintiffs' law firm as of that
 23 time to testify in any case involving talc?
 24 A Well, I had done talc cases, not Johnson & Johnson,
 25 but other companies' talc cases, before 2015.

1 Q So my question to you is: With all of your
 2 knowledge and expertise, when you were at that
 3 Italian talc mine touring in the mine, seeing where
 4 all the talc was, were you wearing a protective
 5 breathing apparatus of any sort?
 6 A No. It wasn't an active mine.
 7 Q But you were right next to all the talc; right?
 8 A Well, I was walking through the abandoned mine site
 9 to look at the way it was set up, yes. It's a
 10 museum now, not an active mine.
 11 Q But the talc is right there? You were right up
 12 next to the talc itself; right?
 13 A I suppose in some places, yes.
 14 Q Right. And I've looked at your prior testimony.
 15 You said that you didn't consider yourself in any
 16 danger when you were on the site at that mine
 17 walking around that talc. Is that still true
 18 today?
 19 A Right.
 20 Q Now, you've also testified before that -- do you
 21 have some familiarity with the published literature
 22 and the epidemiology of the Italian talc miners?
 23 A Well, I've seen one article, I think, published
 24 about that, about the -- some of the workers at the
 25 Italian mine by Rubino.

1 Q Right. So at the time that you were at that
 2 Italian mine, you were retained by plaintiffs in
 3 cases involving talc; right?
 4 A Well, plaintiffs weren't paying me to take a tour
 5 of the mine. I just happened to be in northern
 6 Italy and decided to see the mine. I didn't get
 7 paid for that.
 8 Q Yes, I didn't ask you whether or not you got paid,
 9 sir, please. My question to you was, at the time
 10 you visited that mine, you were retained by
 11 plaintiffs in lawsuits against companies that sold
 12 talc products; right?
 13 A Well, there had been a few lawsuits that I had
 14 testified in by that time, yes.
 15 Q And is it the case that you've told this jury that
 16 you have been involved with asbestos issues since
 17 the early 1970s; fair?
 18 A Right.
 19 Q So we're talking, what, almost 50 years? 50 years;
 20 right?
 21 A Well, not quite, but almost.
 22 Q And you've held yourself out to this jury and
 23 you've talked about all the knowledge about
 24 asbestos hazards; right?
 25 A Well, briefly we highlighted it, yes.

1 Q Have you -- I don't want to get into areas that
 2 you're not familiar with, but have you looked at
 3 all the epidemiology about the talc miners and
 4 millers in Italy?
 5 A Not all of them, no.
 6 Q Are you saying the only article you're familiar
 7 with is the Rubino article?
 8 A Well, that's the only one that comes to mind.
 9 Q Right. And you've testified before, and I want to
 10 make sure it's true, that you're not aware of any
 11 articles showing that that talc mine in Val Chisone
 12 contains asbestos. Is that still your testimony
 13 today?
 14 A Yes.
 15 Q Now, you talked a little bit about your book that
 16 was called "Asbestos: The Medical and Legal
 17 Aspects"; right?
 18 A Right.
 19 Q And I'm a good customer of yours, I've got five,
 20 all five editions. I hope you're pleased with
 21 that.
 22 A Well, lots of defense lawyers buy the book, yes.
 23 Q I wanted to just do something basic, which is the
 24 title of the book is Asbestos Medical and Legal
 25 Aspects; right?

1 A Right.
 2 Q And did you come up with the title?
 3 A I think so.
 4 Q And to be clear, medical, you are not a medical
 5 doctor; true?
 6 A True.
 7 Q And legal, you are not a lawyer; right?
 8 A Right. Medicine and law are the foundations of
 9 public health.
 10 Q Sir, please, the question, are you a lawyer?
 11 A No, sir.
 12 Q I looked through all five of these books, and I
 13 cannot say that I read all of them, but I was
 14 looking very carefully to see if there was a
 15 chapter on Johnson & Johnson, and I didn't see one.
 16 Is there a chapter in any of these books about
 17 Johnson & Johnson?
 18 A No.
 19 Q Is there any discussion in any of these books about
 20 Johnson & Johnson and its talc?
 21 A No.
 22 Q Some of the companies that are discussed in here,
 23 is it fair to say that the companies that you're
 24 focusing on here are companies that made
 25 asbestos-containing products, like insulation and

1 into evidence, Your Honor.
 2 MR. FINCH: No objection.
 3 THE COURT: All right. The Court shows it
 4 admitted. Is this Exhibit Defendants' 11001-08?
 5 MR. BICKS: And maybe we can go to the ELMO.
 6 Can folks see that on our jury?
 7 JURORS: (Affirmative nod.)
 8 BY MR. BICKS:
 9 Q Great. So this was the foreword to the first
 10 edition of your book; right, Dr. Castleman?
 11 A No, this was the dust cover that the publisher put
 12 on the book and the language that the publisher
 13 chose to use.
 14 Q Right. I'm sorry, the dust cover, so that's kind
 15 of the thing on the outside of the book?
 16 A Right.
 17 Q And this was your first edition; is that right?
 18 A That's right.
 19 Q It says in it, "To attorneys in asbestos
 20 litigation, this book offers a complete
 21 documentation on the development of knowledge about
 22 asbestos hazards. There's an abundance of valuable
 23 material for the plaintiff and the attorney
 24 preparing his case as well as information on how to
 25 deal with defense arguments."

1 thermal blocks and tape joint compound and
 2 companies of that nature?
 3 A Well, it also includes large users of asbestos
 4 products like the big oil companies, big automobile
 5 companies.
 6 Q And companies that put asbestos into their
 7 products, is that one category that you focused on?
 8 A Yes.
 9 Q And companies that actually had asbestos mines;
 10 right?
 11 A Right.
 12 Q And you know Johnson & Johnson doesn't fit into any
 13 of those categories, right, at least from what you
 14 know?
 15 A Right.
 16 Q Now, I want to ask you about the cover of this
 17 book. I know you've been asked about it before.
 18 Maybe we can put it up, but I think you've seen
 19 this.
 20 MR. BICKS: May I approach, Your Honor?
 21 THE COURT: Yes.
 22 Q This was the foreword to your book; right?
 23 A Well, this is the dust cover on the first edition
 24 of the book.
 25 MR. BICKS: Right. So I'd like to offer this

1 Did I get that right?
 2 A You read that correctly.
 3 Q So this was on the dust cover of the first edition
 4 of your book; right?
 5 A It was.
 6 Q And you've told us that, I think you said that you
 7 objected to this?
 8 A Well, when asked about this in court, I've said
 9 that I told the publisher that this was a doctoral
 10 thesis accepted by one of the most respected
 11 schools of public health in the world, and their
 12 characterization of the book was, I thought, unfair
 13 and that I'd probably be hearing this for the rest
 14 of my life, and that was 35 years ago. And so far,
 15 my prediction has proven correct. But in any case,
 16 they stopped using that particular language on the
 17 dust cover after the first edition.
 18 Q Right. But just so our jury's -- it was on the
 19 cover of the -- the dust cover of the first
 20 edition; right?
 21 A Right.
 22 Q And then you came into court and you were asked
 23 questions about it, and then in the second edition,
 24 it wasn't there; right?
 25 A Well, they made it more balanced in a way that they

1 described the book, yes.
 2 Q Right. But you first got involved in litigation
 3 about when, sir?
 4 A Well, it started as a research job in 1976, about
 5 five years after I had written my master's thesis
 6 on asbestos.
 7 Q And I have a timeline here, if we can bring up C-4,
 8 maybe it'll help us walk through this. Can you see
 9 that, Mr. Castleman?
 10 A Yes.
 11 Q So to orient us, 1973, you've got "Asbestos and
 12 You," which is a publication you wrote; right?
 13 A Right.
 14 Q Then in 1976, you start consulting for plaintiffs'
 15 lawyers; right?
 16 A Right. I was an independent consultant by then,
 17 and they hired me to do research on the published
 18 literature, and I went to libraries to look up
 19 different articles.
 20 Q And you first started testifying in, what, 1979?
 21 A That's right.
 22 Q So 2019, you've been testifying for essentially
 23 40 years; is that fair?
 24 A That's right.
 25 Q Fair to say that you've testified in, what, over

1 400 trials?
 2 A Yes. It averages to probably a little less than
 3 once a month.
 4 Q And you've given, what, about a thousand
 5 depositions?
 6 A Yeah.
 7 Q I've read in your prior testimony that 99.9 percent
 8 of the time that you testify, you do so on behalf
 9 of plaintiffs; is that accurate?
 10 A Plaintiffs list me as a witness. Defendants
 11 occasionally have asked me to and I've allowed that
 12 in some cases. But generally I appear as a witness
 13 for the plaintiffs. I testified as a defense in
 14 one case.
 15 Q One case out of 400; is that right?
 16 A In trial, yes.
 17 Q And that case was actually a case where you were
 18 retained by the government and an asbestos company
 19 was on the other side; is that fair?
 20 A Right. The asbestos company had sued the
 21 government and the Justice Department hired me as a
 22 witness to represent them.
 23 Q Just so we're clear, is it true that 99 percent of
 24 the time that you come into the courtroom to
 25 testify, it's been at the request of plaintiffs'

1 lawyers?
 2 A Yes.
 3 Q And is it also true that when we look at your
 4 income, that over 90 percent of your income comes
 5 from testifying for plaintiffs in cases involving
 6 asbestos?
 7 A Well, it certainly does now. Most of the
 8 international work I do pays little or nothing.
 9 Q Well, can you give us a sense of how much money you
 10 think you've been paid over the last 40 years for
 11 testifying for plaintiffs?
 12 A I really don't know what that total would be.
 13 Q Well, let me -- I put up here C2, which is an
 14 exhibit that I've put together based on some of
 15 your prior testimony.
 16 Do you see that?
 17 A Yes.
 18 Q I went through your testimony. You started
 19 testifying in 1979, but I started in 1980. And
 20 you've testified that you made about \$40,000 a
 21 year; fair?
 22 A That sounds high for 1980, but I really don't
 23 remember.
 24 Q Well, I'm reading what you said in the Fusco case
 25 in 2013. I'm happy to show you that you said about

1 40,000 a year. Is that in the ballpark?
 2 A I really don't know. I'm surprised if I could have
 3 remembered that in 2013, what I had made back in
 4 1980.
 5 Q All right. I have up here from your prior
 6 testimony that starting in 1985 you got paid about
 7 a hundred thousand dollars a year. Does that sound
 8 right?
 9 A I think so.
 10 Q And then what I couldn't figure out, because I
 11 really wanted to come to a total and I don't want
 12 to take too much time here, but I added this up and
 13 figured it out, it was about a little over
 14 \$6 million. But the area that I had trouble with
 15 is the period between '85 and 2007, because that's
 16 a 22-year period of time. And in my calculation, I
 17 assumed you made a hundred thousand all the way up
 18 to 2007, but chances are, are you probably were
 19 getting paid more than that over that time period,
 20 kind of gradually getting higher?
 21 A Certainly it would have been more than a hundred
 22 thousand many of those years, yes.
 23 Q So if we added this up, and I'm not going to do it
 24 here, but it wouldn't -- you wouldn't quibble if it
 25 exceeds \$6 million, would you?

1 A I really never added it up.
 2 Q Now, one of the other things that happens is that
 3 plaintiffs' lawyers list you in cases that are
 4 around the country. They put you on what lawyers
 5 know as a witness list for experts; right?
 6 A Right.
 7 Q Do you charge just for having your name put down on
 8 a witness list?
 9 A Only the first time I encounter a law firm. After
 10 that, they can list cases for years and years and
 11 there's no charge for it.
 12 Q So how much do you charge just to have your name
 13 listed on a case?
 14 A Well, the first time I encounter a firm, I used to
 15 charge \$500, and now it's a thousand dollars.
 16 Q The firm that has retained you in this case, what
 17 firm is that?
 18 A This is the Motley Rice firm I think it's called
 19 today.
 20 Q How long have you worked with the Motley Rice firm
 21 over your career?
 22 A Well, I met Ron Motley in 1977, I think.
 23 Q So give us a ballpark. How many cases do you think
 24 you've worked with for the Motley Rice firm?
 25 A Well, Mr. Motley, I don't recall him ever using me

1 as an expert witness. He hired me to do research.
 2 I don't have any idea how many cases. At some
 3 point, I think, in the 1980s, other lawyers in the
 4 firm -- maybe it was 1990s or the late '80s --
 5 started to use me as an expert witness on some of
 6 their cases.
 7 Q Fair to say that you've been paid a fair amount of
 8 money by that firm?
 9 A Well, I don't know what you mean by a fair amount
 10 of money. We're making a legal record here.
 11 Q I can't hear what you said, sir.
 12 A I don't know what you mean by the term fair amount
 13 of money. We're making a legal record here.
 14 Q Right.
 15 A I have no idea what the total would be.
 16 Q Well, we totaled up the amount you've been paid,
 17 say, over 6 million. I'm just trying to get a
 18 sense of how much of that is from the firm that
 19 you're appearing here for today. Can you tell us
 20 that?
 21 A Probably less than 1 percent, but I really don't
 22 know.
 23 Q Now, you talked about -- and can we go back to that
 24 timeline, please -- that your first book comes out
 25 in 1984; right?

1 A Right.
 2 Q And then your Ph.D. was in what year, sir?
 3 A A few months later in 1985.
 4 Q So I went back and looked at some of your
 5 testimony, and you were asked kind of what prompted
 6 you to get that Ph.D. and whether you were
 7 encouraged by plaintiffs' lawyers to get that Ph.D.
 8 so it enhanced your ability to come testify in
 9 court.
 10 Do you remember being asked about that topic?
 11 A Well, lawyers ask me about that topic when I'm
 12 cross-examined with some regularity, yes.
 13 Q And is it true that you were encouraged by lawyers
 14 who hired you to testify to go get a Ph.D. because
 15 it would enhance your ability to come talk to a
 16 jury because you could say, I've got a Ph.D.?
 17 A Well, when I told lawyers I was going back to
 18 school, they certainly said, good for you.
 19 Q You said before that you thought courts and juries
 20 are more impressed with credentials, and so the
 21 lawyers obviously understood that it would be
 22 easier for me to present opinion testimony if I had
 23 a Ph.D.
 24 Have you said that before?
 25 A Probably. It was an observation that courts, in

1 allowing me to render opinions, were mindful of
 2 what my qualifications were in allowing what types
 3 of opinions I would be able to give in trial. It
 4 was simply an observation that I had made from
 5 being in the courtroom.
 6 Q That people would hear you and have you -- hear
 7 about your Ph.D. and it would kind of enhance your
 8 testimony; right?
 9 A Well, I was thinking of it more in the context of
 10 what the judges would let me say in terms of the
 11 way I could answer questions and that judges would
 12 allow greater latitude if I came in and said I
 13 wrote my doctoral thesis at the Johns Hopkins
 14 School of Public Health about the subject of the
 15 testimony.
 16 Q And your doctoral thesis didn't contain anything
 17 about Johnson & Johnson; right?
 18 A No.
 19 Q So let me ask you about your communications with
 20 Johnson & Johnson. You were asked about that,
 21 remember, on direct yesterday?
 22 A Yes.
 23 Q You were shown your handwritten letter where you
 24 had asked for certain information.
 25 Do you remember that?

1 A Right.
 2 MR. BICKS: Can we bring up -- it's
 3 Plaintiffs' Exhibit 306.
 4 Q And this is your handwritten note; right? Do you
 5 see that?
 6 A Yes.
 7 Q You asked the question of Johnson & Johnson, "Do
 8 all talcum powders contain asbestos?"
 9 And this was something that you were focused
 10 on; right?
 11 A Yes, it was.
 12 Q I'm just curious, when you wrote this, it's in July
 13 of 1971. How old were you?
 14 A Twenty-four.
 15 Q You addressed it -- if we could just pull back from
 16 the document, it's not addressed to anybody in
 17 particular at Johnson & Johnson; right?
 18 A Right.
 19 Q Did Johnson & Johnson promptly respond to you?
 20 A Yes.
 21 Q This is Exhibit 293. You received a response --
 22 you wrote on July 25th, and you received a
 23 response on what day?
 24 A August 2, same year.
 25 Q So is it fair to say that Johnson & Johnson got

1 Q So you didn't do any research, I gather, on the
 2 Colorado School of Mines?
 3 A No.
 4 Q What about McCrone Laboratories in Chicago, have
 5 you ever heard of Walter and Lucy McCrone in any?
 6 A I have heard of McCrone Laboratories in my work,
 7 yes.
 8 Q Do you know who Walter McCrone is?
 9 A Well, I gather he's the head of that lab.
 10 Q Do you know anything about Lucy McCrone?
 11 A No.
 12 Q She's one of the first female microscopists in the
 13 world. Did you know that?
 14 A No.
 15 Q Did you know of the reputation of Walter McCrone
 16 and his company when it comes to microscopy?
 17 A No. I mean, they're just a lab I had heard of.
 18 Q You didn't do any research into their reputation?
 19 A No.
 20 Q Were you aware that Walter McCrone himself, in any
 21 of your research, did you see his involvement in
 22 determining whether or not the Shroud of Turin that
 23 Jesus was buried in was real; do you know anything
 24 about that?
 25 A No, I don't.

1 back to you promptly?
 2 A Yes.
 3 Q And the letter was signed by who -- a Ph.D. who was
 4 the director of Central Research Laboratories,
 5 Thomas H. Shelley; right?
 6 A Right.
 7 Q In this letter, he tells you about specific
 8 mineralogists and others who had worked with
 9 Johnson & Johnson in paragraph 2.
 10 You see that?
 11 A Yes.
 12 Q He tells you about work carried out by
 13 mineralogists at the Colorado School of Mines?
 14 A Yes.
 15 Q In your research, did you become familiar with the
 16 reputation of the Colorado School of Mines?
 17 A No. I mean, I couldn't really say what the
 18 reputation of the school -- Colorado School of
 19 Mines is.
 20 Q But let me ask you, I guess, the question, as a
 21 general rule based on your expertise, do you think
 22 it's a good thing for a company to do to hire top
 23 outside experts if they want to get answers to
 24 important questions?
 25 A That's a good thing, yes.

1 Q What about work that he had done when it came to
 2 whether or not someone wanted to identify whether
 3 hair of Beethoven's was his; do you know anything
 4 about that?
 5 A No.
 6 Q What about Fred Pooley and the University of Wales
 7 in Cardiff, do you know anything about them?
 8 A I had heard of Pooley in other work I had done.
 9 Q How had you come across Professor Pooley?
 10 A I really don't recall. Some kind of -- in the
 11 microscopic analysis he had done.
 12 Q You mentioned somebody named -- people say --
 13 pronounce it Wagner, right, in your direct
 14 yesterday?
 15 A Yes.
 16 Q He was one of the original researchers on finding
 17 mesothelioma; right?
 18 A Right. He was the author of the report in 1960 of
 19 33 case of mesothelioma, 32 of which had a history
 20 of asbestos exposure.
 21 Q Right. That was crocidolite over in Africa?
 22 A Not all of it, but most of it was from that
 23 particular type of asbestos.
 24 Q So my question is, did you read or familiarize
 25 yourself with the article that Mr. Wagner and

1 Professor Pooley wrote on Italian talc? Are you
 2 familiar at all with that?
 3 A I don't recall that.
 4 Q Would you say in your research that somebody like
 5 Mr. Wagner is one of the most well-respected
 6 scientists when it comes to asbestos issues?
 7 A Well, he was up until the time he died. And it
 8 came out that he had been retained by a company in
 9 asbestos litigation in a way that he never
 10 disclosed. And that tended to explain some of the
 11 things that he wrote in his later years.
 12 Q I see. Did you mention that yesterday on your
 13 direct? I didn't know that I heard that.
 14 A Well, I wasn't asked about it.
 15 Q Understood. So I want to ask you about -- remember
 16 yesterday you were asked about this meeting, you
 17 were shown a document, and we'll pull it up. It's
 18 Plaintiffs' Exhibit 578. This was a March 31, 1976
 19 document. Remember you were asked about this?
 20 A Yes.
 21 Q Now, I think it's obvious, but let's make sure we
 22 clear it up. You were not at this meeting; right?
 23 A I was not.
 24 Q You've told our jury that Mount Sinai Hospital is
 25 one of the most respected hospitals when it comes

1 to asbestos issues; right?
 2 A Yes.
 3 Q When it comes to Dr. Selikoff, I've looked at some
 4 of your testimony and writings, and you've
 5 described him as a luminary; right?
 6 A He was a renowned figure in the field of
 7 occupational and environmental health.
 8 Q And I think you would agree, because I think you've
 9 said it before, that if a company had questions
 10 about testing of talc, that Dr. Selikoff and people
 11 at Mount Sinai would be good people to go talk to;
 12 right?
 13 A Right.
 14 Q Because they were some of the leading experts in
 15 the field; right?
 16 A Right.
 17 Q And you were asked about this memo.
 18 MR. BICKS: And if we can scroll down a little
 19 bit on it, Jim.
 20 Q And the statement there says that -- it starts that
 21 paragraph, "The Mount Sinai group indicated that
 22 over the weekend, the Selikoff group had been
 23 studying six new samples of talc and had reported
 24 that all of them contained minimal amounts of
 25 asbestos."

1 Do you see that?
 2 A Yes.
 3 Q Do you know what six samples are being discussed in
 4 this memo?
 5 A No.
 6 Q Do you know if they have anything to do with
 7 Johnson & Johnson?
 8 A No.
 9 Q If you go on and read other parts of this memo, and
 10 you go down to the next paragraph, it says that,
 11 "It was pointed out to the Mount Sinai management
 12 that the Selikoff group had published in the papers
 13 about the presence of talc in 1971 and had been
 14 forced to retract their statement, as it was
 15 erroneous."
 16 Do you see that?
 17 A I see that -- I see the statement you're talking
 18 about.
 19 Q Are you an expert in the factual back and forth of
 20 what happened in 1971 and 1972 in terms of testing
 21 for asbestos and talc?
 22 A All I can say is I've never heard of people at
 23 Mount Sinai retracting anything they've published
 24 about asbestos and talc in 1971, so I don't know if
 25 the statement in this Johnson & Johnson memo is

1 correct.
 2 Q So you haven't studied to see what Mount Sinai
 3 actually said in public about the testing that was
 4 done?
 5 A All I can say is I've never seen any such
 6 retraction from Mount Sinai.
 7 Q Well, let me ask you this as a general proposition
 8 for someone with your experience: Do you think
 9 it's a good idea to make scientific statements that
 10 are inaccurate?
 11 A No.
 12 Q Do you think it's a good idea if an inaccurate
 13 scientific statement has been made to correct it?
 14 A Sure.
 15 Q Are you familiar with the statement that Mount
 16 Sinai made after this meeting to the public?
 17 A You mean the one in 1971 or the one in 1976?
 18 Q Well, you testified about this document. Let's go
 19 back and help you. This is in 1976.
 20 A All right.
 21 Q Are you familiar with the statement that Mount
 22 Sinai made shortly after this March 22, 1976,
 23 meeting?
 24 A I think there was some statement issued, not by
 25 Selikoff, but by the upper management of the Mount

1 Sinai institution, but I don't recall the details.
 2 Q Would that public statement be something that is
 3 known and knowable to Johnson & Johnson?
 4 A Well, I suppose so if they made some public
 5 statement.
 6 Q But I take it, though, that you have not seen that
 7 public statement, or you have?
 8 A I don't recall if I have. Like I said, I have a
 9 vague recollection of there being some pressure on
 10 Mount Sinai to issue some sort of public statement.
 11 Q Yeah.
 12 A And just exactly what they said, I don't recall the
 13 details.
 14 Q But, I mean, one thing that you do is you go out
 15 and research what is out there, what's known and
 16 knowable; right? Isn't that one of the things that
 17 you do as a researcher?
 18 A Yeah.
 19 Q But I take it, though, you haven't gone out and
 20 researched what Mount Sinai was saying publicly
 21 about the safety of Johnson & Johnson's talc.
 22 A Well, there are a number of things that Johnson --
 23 that Mount Sinai said about Johnson & Johnson talc,
 24 not all of which are consistent to each other.
 25 Q Well, let's look at Defendants' Exhibit 7119 and

1 A Yes.
 2 MR. BICKS: If we go to page 2, please, Jim.
 3 Q This is what I was asking you about, which was the
 4 opinion of Mount Sinai about the safety of baby
 5 powder. Are you with me on that? You see --
 6 MR. BICKS: Jim, can we please highlight the
 7 medical center has issued a following statement,
 8 the most commonly used baby powder. Do you see
 9 this? And can we go down to No. 2, please.
 10 Q Are you with me on this, Dr. Castleman?
 11 A Yes.
 12 Q "The most commonly used baby talc has been
 13 consistently free of asbestos."
 14 Do you see that statement?
 15 A Yes, I do.
 16 Q Did you mention that to this jury when you gave
 17 your direct testimony?
 18 A No.
 19 Q You would agree with me that Mount Sinai Hospital
 20 is -- can you think of any hospital more respected
 21 when it comes to asbestos issues than Mount Sinai,
 22 particularly at this time?
 23 A No.
 24 Q Mount Sinai also says here -- and to be clear, the
 25 most commonly used baby talc is -- who makes the

1 see actually what Mount Sinai said.
 2 And so our jury is clear, there's no question
 3 in your mind --
 4 THE COURT: Has this been stipulated to?
 5 MR. BICKS: I think this is stipulated.
 6 MR. FINCH: It's stipulated.
 7 THE COURT: Thank you.
 8 MR. BICKS: And here's a copy. And if we
 9 could publish this. Thank you, Jim.
 10 BY MR. BICKS:
 11 Q Have you seen this before, Dr. Castleman?
 12 A I think so.
 13 Q If we can just -- this is a statement. Do you
 14 know, by the way, who Dr. Thomas C. Chalmers is and
 15 what his background was?
 16 A No, I just see he's listed as president of the
 17 Mount Sinai Medical Center.
 18 Q This starts out, it says, "Recent media reports
 19 concerning research on talcum powder carried out by
 20 the Mount Sinai Medical Center created considerable
 21 confusion on the part of the public." Right?
 22 A That's what it says.
 23 Q Would you agree that confusion on the part of the
 24 public is not a good thing and that clarifying that
 25 confusion is a good thing?

1 most commonly used baby talc?
 2 A I would assume that was Johnson & Johnson.
 3 Q The opinion of Mount Sinai's department of
 4 pediatrics is that the baby talc is a useful and
 5 safe product; you see that?
 6 A Yeah.
 7 Q You've told us before about Dr. Selikoff, and you
 8 talked a lot about him on your direct; right?
 9 A Yes.
 10 Q Is it fair to say that he had his differences with
 11 certain kind of companies and sometimes could be at
 12 odds with them; right?
 13 A Well, he published things that companies were
 14 sometimes critical of, yeah.
 15 Q Right. And, I mean, you dealt with him yourself
 16 personally; right?
 17 A Yeah.
 18 Q Is it fair to say that he's not the kind of guy who
 19 gets pushed around easily, right, he held his
 20 ground if he believed in something?
 21 A Yes.
 22 Q Do you know and were you shown his -- do you know
 23 whether or not he agreed with this statement, I
 24 guess is one question I had for you?
 25 A I haven't seen anything that was committed to

1 writing to the effect that he disagreed with this,
 2 I suppose. There were certainly things that people
 3 on his staff disagreed with, I think this
 4 particular -- this particular sentence.
 5 Q Right. Do you know that Dr. Selikoff actually put
 6 in writing that he agreed with Dr. Chalmers'
 7 statement; have you ever seen that?
 8 A I don't recall.
 9 Q Would that be something that, given your knowledge
 10 about Dr. Selikoff, that you would want to know
 11 about?
 12 A Sure. Let's look at it.
 13 MR. BICKS: This is 8846. We'd move it into
 14 evidence.
 15 MR. FINCH: No objection.
 16 THE COURT: The Court shows 8846 admitted
 17 without objection.
 18 BY MR. BICKS:
 19 Q Have you seen this before?
 20 A I think I may have seen some of the news articles
 21 attached to it, but I don't recall seeing this
 22 letter.
 23 Q So this is an article -- a letter from Mount Sinai
 24 School of Medicine, you see it at the top, and it's
 25 addressed to somebody at the Washington Post.

1 weight in what somebody like Dr. Selikoff says?
 2 A Yes.
 3 Q Because he was somebody who was pretty tough on
 4 companies, and if he had a point of view that was
 5 different, he didn't hesitate to speak out; right?
 6 A Right.
 7 Q And are you also familiar with -- and again, this
 8 goes back to what you do in your work, is you look
 9 at what's known and knowable; right? What's out
 10 there for people to see, you go to libraries, you
 11 find articles and things like that; right?
 12 A Right.
 13 Q So did you tell the jury yesterday about the
 14 published study that came out in 1976 by -- do you
 15 remember Dr. Rohl? Do you know who he is?
 16 A Yes.
 17 Q Tell the jury who he is.
 18 A He was one of the microscopists who worked at Mount
 19 Sinai School of Medicine.
 20 Q Are you -- do you have a position to tell our jury
 21 anything about -- was he a good scientist?
 22 A Well, as far as I know, yes.
 23 Q Are you familiar that in 1976, Mount Sinai released
 24 a study in the peer-reviewed literature by
 25 Professor Rohl, Dr. Langer, and Dr. Selikoff that

1 Do you see that?
 2 A Right.
 3 Q Let me ask you again, have you seen this letter
 4 before?
 5 A I may have. I don't recall if I have or not. I
 6 may not have. I just don't know.
 7 Q The reason I'm putting it before you is I was --
 8 the question that I had on the table was, do you
 9 know whether or not Dr. Selikoff agreed with
 10 Dr. Chalmers' statements that he made in that
 11 article about the safety of baby powder and talc?
 12 Are you with me? That's why I brought this out.
 13 A I understand your reason in asking about it now,
 14 yes.
 15 MR. BICKS: So can we blow up, Jim, the second
 16 paragraph.
 17 Q Do you see here where Dr. Selikoff is stating that
 18 "I specifically stated that I was in agreement with
 19 Dr. Chalmers."
 20 Are you with me?
 21 A Yes.
 22 Q To you, as someone who listens to this, when you
 23 hear Dr. Selikoff agreeing with a comment about the
 24 safety of Johnson's baby powder and the absence of
 25 asbestos, as somebody in your field, do you put

1 examined a bunch of different talc samples? Are
 2 you familiar with that?
 3 A I think so.
 4 Q Do you know what that article concluded about
 5 Johnson & Johnson's talc?
 6 A I don't recall the names of the individual
 7 companies appearing in the article, at least the
 8 ones I'm thinking of.
 9 Q Have you ever made any effort, as part of coming
 10 here to speak with this jury, to determine what
 11 actual samples were being referred to in that
 12 article?
 13 A No, not beyond what the article itself says.
 14 Q Do you know what samples were being referred to in
 15 the article?
 16 A Not off the top of my head.
 17 Q Let me show you Exhibit 8096.
 18 MR. BICKS: I think this is stipulated in.
 19 MR. FINCH: No objection.
 20 THE COURT: All right. The Court shows it
 21 admitted.
 22 BY MR. BICKS:
 23 Q So have you seen this article before? And we can
 24 just go to the top and leave this for a minute.
 25 Have you seen this before?

1 A Yes.
 2 Q If you look at the folks who wrote this, can you
 3 think of scientists who were any better in the
 4 world at this time at looking at the question of
 5 whether or not there was asbestos in cosmetic talc?
 6 A Well, the only ones I recognize are the ones from
 7 the Mount Sinai lab, Rohl, Langer, and Selikoff. I
 8 don't know the other three. Three or four.
 9 Q It's a little bit tricky here, but I'd like to go
 10 to 8096 in the table. It's Table 4, I'll pull it
 11 up on the screen. It's a little bit hard to see.
 12 Can you see that?
 13 A I think it would be easier for me to read it here.
 14 I do.
 15 MR. BICKS: Can you just highlight, Jim.
 16 Q I've highlighted some columns here for you, and
 17 I've highlighted certain samples. Do you see that?
 18 A Yes.
 19 Q 4, 9, 18, and 20.
 20 A Yes.
 21 Q And then I've highlighted tremolite, anthophyllite,
 22 and chrysotile.
 23 Do you see that?
 24 A Yes.
 25 Q So this is looking at a bunch of different

1 companies' samples and determining whether or not
 2 the folks at Mount Sinai saw any asbestos in them;
 3 right?
 4 A That's right.
 5 Q For those samples, they saw nothing; right?
 6 A They did not detect it, that's right.
 7 Q And you would expect this group of scientists to be
 8 using the best methodology; right? No?
 9 A Yes.
 10 Q Do you know whose samples those are that I've
 11 highlighted?
 12 A What products or what companies? No, that's not in
 13 the article, I don't think.
 14 Q Well, what you can do -- and let me just ask you by
 15 the way, did plaintiffs' counsel show to you the
 16 key that goes with these different samples?
 17 MR. FINCH: Object, form. The key is not
 18 publicly available.
 19 THE COURT: I'll sustain the objection.
 20 MR. BICKS: May I approach, Your Honor?
 21 THE COURT: Yes.
 22 MR. BICKS: So I have before you 8240. This
 23 is stipulated in through Dr. Hopkins. So I would
 24 tender the exhibit.
 25 THE COURT: Any objection?

1 MR. FINCH: No.
 2 THE COURT: Oh, you said it was stipulated.
 3 The Court shows it admitted.
 4 BY MR. BICKS:
 5 Q So you see this is a list --
 6 MR. BICKS: If we can kind of blow it up
 7 there, Jim.
 8 Q This is a list that -- of different samples of talc
 9 and it's got numbers, 4, 9, 18, 20.
 10 Do you see that?
 11 A Yes.
 12 Q And those are the ones that are Johnson & Johnson;
 13 right?
 14 A According to this document, yes.
 15 Q Right, 4, 9, 18, and 20, and these are the same
 16 ones that are referred to in the Selikoff article;
 17 right?
 18 A I don't know if that's the case or not.
 19 Q Well, you do know, because I think you had some
 20 sense of -- and you don't dispute that; right?
 21 A No.
 22 Q You don't dispute that Selikoff, Rohl, Langer
 23 looked at Johnson & Johnson's talc and tested it
 24 and didn't find asbestos; right?
 25 A That appears to be the case from what you've just

1 shown me.
 2 Q Right. And to the point that counsel made, I mean,
 3 you are aware that this was reported in the media;
 4 right? Have you seen articles in the New York
 5 Times that reported these results and concluding
 6 that Johnson & Johnson's powder did not have
 7 asbestos in it?
 8 A I recall there were reports in the media earlier,
 9 well, earlier in the month of -- I think of March
 10 of 1976, yes.
 11 Q So let me show you 8402. Do you remember the New
 12 York Times coming out with an article that
 13 indicated that following up on this scientific
 14 study, that Johnson & Johnson's baby powder did not
 15 have asbestos in it?
 16 MR. BICKS: And 8402, it's again used with
 17 Dr. Hopkins. I would move for its admission.
 18 MR. FINCH: No objection.
 19 THE COURT: The Court shows it admitted
 20 without objection.
 21 BY MR. BICKS:
 22 Q So if you -- have you seen this before, by the way,
 23 Dr. Castleman?
 24 A What's the date? I can't tell what the date of it
 25 is, of the article itself is. It just says 1976.

1 Q Yeah, if you go up --
 2 A 3-10, it looks like March 10.
 3 Q March 10, 1976.
 4 A Yeah, I think I have seen this.
 5 Q And can we just highlight -- do you see that it
 6 says that the products that the research found
 7 uncontaminated with asbestos fibers, and it lists
 8 Johnson's -- two Johnson & Johnson's baby powders,
 9 do you see that?
 10 A Yes, I do.
 11 Q Is that consistent with what you remember, that
 12 Mount Sinai and these researchers had tested
 13 Johnson & Johnson's baby powder and found that it
 14 did not contain asbestos?
 15 A Or that they did not detect asbestos in it, yes.
 16 Q Right. And I'm just saying for you, if you were
 17 back in that time, and we can't go back in time,
 18 but if you were in the position at a company at
 19 this time and you were receiving information from
 20 Mount Sinai to this effect, how much stock would
 21 you put in that information?
 22 A Well, I would find that reassuring.
 23 Q I think you were asked before, in the 1970s, a
 24 company like Johnson & Johnson, who should they
 25 have looked to to determine what the gold standard

1 the Harvard School of Public Health?
 2 A Yeah.
 3 Q Are you familiar that the Harvard School of Public
 4 Health and NIOSH published a study on Johnson &
 5 Johnson's talc deposit in Vermont and actually took
 6 samples from that deposit; are you familiar with
 7 that?
 8 A I'm not sure if I'm familiar with the particular
 9 study you're talking about.
 10 Q Well, let me see if I can help you out. 8079 is
 11 before you.
 12 MR. BICKS: Your Honor, this is an exhibit
 13 that I think is stipulated with Dr. Hopkins. I
 14 would move to admit.
 15 MR. FINCH: No objection.
 16 THE COURT: The Court shows it admitted
 17 without objection.
 18 BY MR. BICKS:
 19 Q Have you seen this before, Dr. Castleman?
 20 A I may have. I'm not sure.
 21 Q Well, if you look at the folks up at the top and
 22 their names, Maryann Boundy, Karen Gold, Kenneth
 23 Martin, William Burgess, and John Dement, in your
 24 field, have you come across these individuals
 25 before?

1 in testing was? Do you remember who you said?
 2 A I would have thought Mount Sinai.
 3 Q You also have talked about before something called
 4 the National Institute of Occupational Safety and
 5 Health. Are you familiar with that government
 6 agency?
 7 A I am.
 8 Q Is NIOSH a recognized authority on public health
 9 matters relating to asbestos?
 10 A Yes.
 11 Q Were they such an authority going back to the
 12 1970s?
 13 A They were.
 14 Q In your own work, do you rely on NIOSH and its
 15 publications?
 16 A Yep.
 17 Q Do you think it would be reasonable for a company
 18 evaluating science issues on talc in particular to
 19 pay attention to what NIOSH says?
 20 A Yeah.
 21 Q What about the Harvard School of Public Health, do
 22 you think that's a reputable institution?
 23 A Generally, yes.
 24 Q Same questions as to NIOSH, would it be responsible
 25 for a company to listen to research results from

1 A Some of them. Certainly Burgess and Dement.
 2 Q And tell us our jury who Burgess and Dement are.
 3 A Burgess wrote a book on industrial hygiene, and
 4 John Dement has published extensively on asbestos
 5 and disease.
 6 Q And would you consider these people as some of the
 7 top in the field?
 8 A Yeah.
 9 Q Maryann Boundy, do you know who she is?
 10 A No, I don't.
 11 Q A recognized person, expert in minerals and so
 12 forth from North Carolina. Any of that ring a
 13 bell?
 14 A No, I don't -- I don't know the first three
 15 authors.
 16 Q And again, I want to just come back to this,
 17 because you talked a lot about -- on your direct
 18 about the hazards of asbestos. I guess my question
 19 to you, have you done a deep dive in terms of the
 20 published literature on the safety of the talc that
 21 Johnson & Johnson used?
 22 A I wouldn't call it a deep dive. I'm familiar with
 23 a couple of mines that I've heard that they used,
 24 but I haven't tried to read everything that's ever
 25 been published about those mines and the material

1 from them.

2 Q So I guess my question is, in your research, did

3 you come up with this article?

4 A Like I think I said, I don't really recall the

5 article, but I may have seen it at some time.

6 Q Well, did you know -- and maybe we can just

7 highlight the introduction here. Did you know that

8 these scientists had gone back and looked at

9 geological studies dating from the early 1900s that

10 have shown that the Vermont talc deposits contained

11 no asbestos? Had you ever seen this before until I

12 showed it to you today?

13 A You're talking about this article?

14 Q Yes, and this particular statement.

15 A Well, I certainly don't recall the statement, and I

16 really don't recall the article, although as I say,

17 I may have come across this article at some time in

18 my life and I don't recall.

19 MR. BICKS: And can we go, please, Jim, to the

20 bulk samples.

21 Q And I don't want to -- if you're not that familiar

22 with this, but are you aware that these scientists

23 actually at NIOSH did sampling from this deposit

24 that included petrographic microscope analysis,

25 transmission electron microscopy, and x-ray

1 may have been times when I never heard back from

2 them. That didn't happen to you with Johnson &

3 Johnson; right?

4 A Right.

5 Q What about Lancet? You mentioned Lancet on your

6 direct. And tell our jury of the reputation of

7 Lancet.

8 A It's a general medical journal, like the Journal of

9 the American Medical Association and the British

10 Medical Journal.

11 Q And do you consider that a respected journal? I

12 think you said it may have been the oldest journal,

13 British Medical Journal, is that what you had said?

14 A Yes.

15 Q Are you familiar with publications by Lancet that

16 deal with cosmetic talc?

17 A I think I've seen publications in The Lancet about

18 cosmetic talc or about talc.

19 Q And The Lancet, can you think of any journal that

20 has a better reputation than Lancet?

21 A Well, it has a good reputation, like a lot of

22 journals do. I mean, there are always going to be

23 things that get published that can be published in

24 any journal that turn out to be flawed. But

25 generally speaking, they're as respected as any

1 diffraction with step-scanning, do you know

2 anything about that?

3 A Well, as I said, I don't recall this article in any

4 detail. If I've seen it before, I don't recall

5 whether I've seen it before. So these are details

6 that I can't recall either.

7 Q Are you familiar, then, with the findings that they

8 made based on their testing?

9 A No. You can see whatever it is that's in the

10 article. It says what it says.

11 Q I take it you didn't do any research to look into

12 how they did this, what they did, and you didn't

13 make any effort to reach out to them; right?

14 A That's right.

15 Q Because there have been certain circumstances where

16 they're scientists and you do reach out to them;

17 right?

18 A I have on occasion, sure.

19 Q Right. You wrote letters to Johnson & Johnson,

20 they got back to you promptly and, I think it's

21 fair to say, treated you with respect; is that

22 fair?

23 A Well, they seemed to, yes.

24 Q I don't remember -- I remember when I was in

25 college, if I wrote letters to senior people, there

1 journal.

2 Q I think on direct, I'm only asking -- you mentioned

3 Lancet, so that's why I'm raising it.

4 A Well, I just mentioned it from the standpoint of

5 its availability of something that was -- The

6 Lancet being widely available.

7 Q Right. And it's something that certainly in your

8 work you rely on and have written about Lancet;

9 right?

10 A Sure.

11 Q So this is Exhibit 9100. It's a Lancet June 25,

12 1977, article. Have you seen this before?

13 A Yes.

14 MR. BICKS: I would move to admit this.

15 MR. FINCH: No objection.

16 THE COURT: The Court shows Exhibit 9100

17 admitted without objection.

18 MR. BICKS: Can we go, Jim, to the last page

19 of this, and the last paragraph and blow it up,

20 please.

21 BY MR. BICKS:

22 Q And this -- so we're clear, this is an article that

23 appears in Lancet, June 25, 1977. And we're at the

24 conclusion. The topic of this is cosmetic talc

25 powder; right?

1 A Yes.

2 Q And you see what I have up here, the summary is

3 that -- and just to orient us, this is 1977. And

4 the summary here is that "There's no reason to

5 believe that normal consumer exposure to cosmetic

6 talc has in the past led either to cancer at any

7 site or to measurable loss of lung function. It

8 seems unlikely that future exposure to cosmetic

9 talc of the specifications now agreed to by major

10 manufacturers will present a health hazard."

11 Did I read that right?

12 A Yes, you did.

13 Q In somebody in your field, back in 1977, when you

14 read something like this in Lancet, is this

15 something that you place stock in?

16 A Yes.

17 Q Now, one thing that you do have in your book is

18 you've written about products like Kaylo. Can you

19 tell our jury what Kaylo is.

20 A That was an insulation containing asbestos that was

21 made by a company called Owens Corning.

22 Q And a good product or bad product?

23 A As far as I understand, it worked fine as an

24 insulation material. The only danger was that the

25 dust could lead to asbestos diseases.

1 I would imagine that that's correct.

2 Q Yeah, yeah. And do you remember whether or not --

3 no question that this is a real health hazard,

4 right, having 12 to 18 percent in insulation

5 material like this; right?

6 A Right.

7 Q And do you know -- I think you had reported in some

8 of your studies about the amount of dust that would

9 be released when somebody's manipulating that

10 Kaylo; right? Do you remember writing about that?

11 A Yeah.

12 Q And I'm happy to show it to you, but can you just

13 tell our jury -- and just so our jury is oriented,

14 do you know actually about the specific allegations

15 in this case about exposure?

16 A Well, I haven't read any of the legal documents, so

17 I guess the answer is no.

18 Q You don't know that the plaintiff in this case, a

19 very nice lady, Ms. Lewis, worked at a facility in

20 Kokomo, Indiana. Do you know anything about that?

21 A I understand that she worked with some dental

22 appliances. That's all I know about that.

23 Q So you don't -- you haven't been informed that

24 she's claiming that she was exposed to asbestos at

25 a Delco facility because of work that was being

1 Q You've written about Kaylo in your book; right?

2 A Yes.

3 MR. BICKS: Can we just go to the ELMO,

4 please.

5 Q And for the record, I've got your fifth edition

6 book, "Asbestos medical and legal aspects." I have

7 your chapter here on Owens Corning. You mentioned

8 Owens Corning; right?

9 A Right.

10 Q You see there the reference to -- that they made a

11 series of products. They made insulation

12 materials. Are you with me? And something called

13 Kaylo; right?

14 A Right.

15 Q Do you remember whether or not Kaylo had asbestos

16 in it?

17 A It did.

18 Q Do you remember how much?

19 A Well, I think about 10 to 15 percent.

20 Q Yeah, close, very close. It's actually 12 to

21 18 percent.

22 There we go. It's a little slanted, but you

23 see here the 12 to 18 percent. Does that sound

24 about right?

25 A Well, that's an internal document of the company.

1 done there. Do you know anything about that?

2 A Just in general. I haven't read any of the

3 specific testimony or documents.

4 Q So when you come here, and I appreciate you talk

5 about certain things, but you haven't informed

6 yourself about what the specific claim in the case

7 is, then, about exposure?

8 A No, I don't read the medical records and the

9 testimony of all the individuals in the case, no.

10 Q But have you testified before in cases where a

11 plaintiff law firm hires you and the question in

12 the case is, did somebody get sick from Kaylo

13 exposure?

14 A I've never been asked to testify about that in

15 particular. I don't testify about medical

16 causation. My testimony is about what was known

17 and knowable about the hazards of the product by

18 the manufacturer. In the case of Owens Corning,

19 there are internal corporate documents showing that

20 they knew about the hazards of asbestos in their

21 product from practically the time the product was

22 introduced.

23 Q Fair point. In other words, when you come to

24 testify, you've got -- and I don't mean it

25 negatively, but I think it's fair, you've got

1 blinders on, you're focusing on kind of what you
 2 know, and you're not looking at kind of the whole
 3 picture of the case.
 4 A Well, I wouldn't put it that way, but my part of
 5 the case is just part of it. It's about the
 6 history, the knowledge of asbestos. It's not about
 7 the medical pathology related to the diagnosis and
 8 medical care and treatment of the individuals. I
 9 mean, I have a limited role in all of these cases.
 10 Q Right. But fair enough. My only question is, you
 11 have testified in cases about knowledge about
 12 Kaylo; right?
 13 A Yeah.
 14 Q But you haven't been asked by plaintiffs to share
 15 that knowledge here, I take it.
 16 A About Kaylo, no.
 17 Q So you have done work -- and Kaylo also had what's
 18 called commercial amphibole asbestos in it; right?
 19 A Yes.
 20 Q Tell our jury what commercial amphibole asbestos
 21 is.
 22 A Well, in the case of Kaylo, it was a product called
 23 amosite asbestos that came from South Africa.
 24 Q How dangerous is exposure to amosite asbestos?
 25 A Well, like all forms of asbestos, it causes

1 Thermobestos and 3.1 to 17.1 mppcf for Kaylo."
 2 That's a lot of letters and a mouthful, but I
 3 bet you can translate it for us. What's that
 4 saying?
 5 A Well, mppcf means millions of particles per cubic
 6 foot. And at least the higher range of those
 7 numbers would probably be visibly dusty, not the
 8 lower range.
 9 Q So let me see if I can then translate it. A cubic
 10 foot, like let's imagine that we took a clear box,
 11 right, cubic foot would be like this, right. I
 12 pulled it up like this and it would be a box 12 by
 13 12 by 12, right?
 14 A Right, inches.
 15 Q And so I would hold it up, and this says Kaylo dust
 16 counts ranged from 13.1 to 101.4. Is that million
 17 particles per cubic foot?
 18 A Right.
 19 Q And then for Thermobestos, 3.1 to 17.1 million
 20 particles per cubic foot for Kaylo; right?
 21 A Well, the first figures are for a Johns Manville
 22 product called Thermobestos, and the second range
 23 is for an Owens Corning product called Kaylo.
 24 They're both thermal insulation products.
 25 Q Right. And just again, we're talking like millions

1 mesothelioma.
 2 Q Can it cause mesothelioma if it's a short exposure?
 3 A Yes.
 4 Q Were you provided with the testimony in this case
 5 of the plaintiffs' expert, Dr. Ellenbecker. Do you
 6 know who he is?
 7 A I've heard of Dr. Ellenbecker, but I haven't read
 8 any testimony that Dr. Ellenbecker may have
 9 recorded in connection with this case.
 10 Q You weren't provided with his testimony about
 11 amosite and things of that nature?
 12 A No.
 13 Q So I want to just show you, back to this Kaylo and
 14 your work on exposure, you have indicated -- and
 15 this is from your book. Are you with me?
 16 A Yes.
 17 Q And here you're talking about -- what I'm trying to
 18 orient our jury on is an understanding of what can
 19 be released in terms of exposure from Kaylo. And
 20 this is something you've written about; right?
 21 A Right.
 22 Q And, in fact, here you have an entry that talks
 23 about dust counts of Kaylo release; right?
 24 A Right.
 25 Q And it says there, "3.1 to 100.4 mppcf for

1 of particles in just one cubic foot; right?
 2 A Right.
 3 Q So if somebody's there, say, several years, would
 4 you be in a position to give us a sense of how much
 5 exposure there might be, or is that outside of your
 6 wheelhouse?
 7 A It is. I mean, we're talking about exposure a
 8 worker would get from sawing up these materials, as
 9 I understand this document.
 10 Q Right. And you don't know enough about what was
 11 actually going on in this case to really comment
 12 upon that; right?
 13 A Well, I don't do these kinds of projections and
 14 estimates about what the individual exposures might
 15 be for, say, a bystander to some Kaylo handling
 16 that may have taken place.
 17 Q But you have written about -- and let me just back
 18 up for a minute. I want to put this down. I want
 19 to ask you about this. This is in your book, and I
 20 guess it's a chapter by somebody named Stephen
 21 Berger.
 22 A This is the one chapter contributed by someone
 23 else, yes.
 24 Q Good chapter, good work, you think?
 25 A Well, I wouldn't have published it in my book if I

1 didn't think it was good.
 2 Q I got that. It says here in the introduction, "Of
 3 all the uses of asbestos that have achieved
 4 widespread application, none can rival the toll of
 5 death and disease resulting from the use of thermal
 6 insulation used in the presence of millions of
 7 workers in shipyards, sites, refineries, chemical,
 8 power plants. The dust from insulation wafted into
 9 surrounding communities in an ever-widening radius
 10 of destruction."
 11 That's what was written in your book by
 12 Mr. Berger; right?
 13 A Right.
 14 Q And you spoke about on direct the work of
 15 Dr. Selikoff with insulators; right?
 16 A Right.
 17 Q Our jury -- this was new to me till I learned it.
 18 But these are people who are putting, like, pipe
 19 covering on, you know, really hot stuff; right?
 20 And insulating it with pipe covering; right?
 21 A Right. That was the purpose of thermal insulation.
 22 Q It can be really dangerous is what this is saying;
 23 right?
 24 A Yes.
 25 Q In fact, Dr. Selikoff, who you mentioned, he did

1 like that; right?
 2 A Well, sprayed fireproofing was another material
 3 containing asbestos that was the subject of a lot
 4 of controversy starting in 1968 with an article in
 5 the New Yorker magazine about that.
 6 Q How much asbestos was in that kind of material?
 7 A I think around 30 percent in some of those
 8 products.
 9 Q A lot of asbestos in those things; right?
 10 A Right.
 11 Q So a couple more topics, and then we're done.
 12 Now, I want to come back to your work with the
 13 Motley firm. You've worked with them a long time;
 14 right?
 15 A Well, yes.
 16 Q And you mentioned Ron Motley, that you worked with
 17 him back in the '70s; right?
 18 A Right.
 19 Q And you had communications with Dr. Selikoff also
 20 during the 1970s; right?
 21 A Right.
 22 Q In fact, you had written communications with
 23 Dr. Selikoff during that time period; correct?
 24 Right?
 25 A Yes, I did.

1 studies on people who were doing this thermal
 2 insulation; right?
 3 A That was their regular job, right.
 4 Q I know there are a bunch of studies that he did,
 5 but can you give us a ballpark of those folks who
 6 were around thermal insulation, how many of those
 7 folks got mesothelioma; do you remember the results
 8 of those studies?
 9 A No. The largest number of excess deaths was from
 10 lung cancer followed by mesothelioma among the
 11 insulation workers. By excess deaths, I mean
 12 deaths above and beyond the number you would have
 13 expected from that cause in a population of men of
 14 that size.
 15 Q And I appreciate the lung cancer issue, but I guess
 16 my question was just on mesothelioma. I don't
 17 think there's any dispute that a lot of those
 18 insulators got mesothelioma, and that was a real
 19 concern to Dr. Selikoff; right?
 20 A Yes.
 21 Q I mean, that was one of the most landmark studies,
 22 that the folks who were working around this pipe
 23 covering got a lot of mesothelioma?
 24 A Yes.
 25 Q You've also written about fireproofing and things

1 Q So before you is Exhibit 12231.
 2 MR. BICKS: I'd move to admit it.
 3 THE COURT: Any objection?
 4 MR. FINCH: No objection, Your Honor.
 5 THE COURT: The Court shows it admitted
 6 without objection.
 7 BY MR. BICKS:
 8 Q So you've seen this before, Mr. Castleman, have you
 9 not?
 10 A I have.
 11 MR. BICKS: Can we go, Jim, just to the top
 12 and orient ourselves.
 13 Q This is a memorandum from Barry Castleman to Irving
 14 Selikoff, and it's dated November 5, 1979; right?
 15 A That's what it says.
 16 Q At that point, you had been involved testifying --
 17 that's when you had first started testifying for
 18 plaintiffs, right, around that year?
 19 A I had testified in one trial as of that date.
 20 Q And you had started consulting three years before
 21 that; right?
 22 A Yes.
 23 Q This letter, you're actually asking in writing that
 24 information not be turned over that could impact
 25 the case; right?

1 A Well, this is a document I was shown in
 2 cross-examination in 2010 by a lawyer who wouldn't
 3 say where he found it. I don't remember it. I
 4 didn't remember it then. And it's not a form of my
 5 typical letters to Dr. Selikoff. It's not on my
 6 letterhead. My letters normally were, Dear Irving,
 7 signed Barry, was the normal form of all of my
 8 communications with Dr. Selikoff. And this is not
 9 signed.
 10 Q Right. But I've read your sworn testimony, and
 11 I'll bring it out if you want. You have testified
 12 under oath that you probably wrote this.
 13 A I may have written it.
 14 Q If we just back off for a minute and you look at
 15 this, there's a lot of detail in this memo. You're
 16 not suggesting that somebody fabricated this, are
 17 you?
 18 A Well, it could have been something that Motley
 19 wanted me to send. Clearly the first two
 20 paragraphs are describing Motley's concerns.
 21 Q Right.
 22 MR. BICKS: And let's just back off -- back
 23 out for a minute, Jim, so we make sure that we see
 24 this. You know, just go down to the bottom, for
 25 example. You see the detail, if you can blow that

1 up.
 2 Q This was an area that you were really involved
 3 with, right, this fellow Vorwald's files and
 4 something called the Braun-Truan study, Johns
 5 Manville. I mean, this is really kind of part of
 6 the research that you were doing; right?
 7 A Yes.
 8 Q And it's got things in there right above, "I'll try
 9 to call you in a week with more information,"
 10 things like that.
 11 You were in touch with Dr. Selikoff during
 12 this time period; right?
 13 A Yes.
 14 Q So let's just go back up to the top. I mean, it's
 15 got your memorandum from Barry Castleman to Irving
 16 Selikoff right at the top; right?
 17 A That's what it says, yes.
 18 Q I want to go through this so our jury sees it. You
 19 say here that Ron Motley informs you that the
 20 industry lawyers are hoping to get cases thrown out
 21 of court by showing that the insulators themselves
 22 knew about their job risks; right?
 23 A That's what it says.
 24 Q So you're now writing -- this Ron Motley -- just to
 25 be clear, this is the Motley Rice firm that you're

1 working with; right?
 2 A Yes.
 3 Q And they represent plaintiffs in personal injury
 4 asbestos cases; right?
 5 A Yes.
 6 Q And this was during a time period when you're
 7 starting to get up and running as an expert
 8 testifying for plaintiffs, right, to orient us?
 9 A It's the beginning of my involvement as a
 10 testifying expert witness, yes.
 11 Q Right. And you're working with Ron Motley on these
 12 kind of cases; right?
 13 A Correct.
 14 Q So he's informing you about industry lawyers. And
 15 it says that the defendants hope to obtain
 16 questionnaire materials used by you and Dr. Hammond
 17 in the expectation of finding reference to when the
 18 men said they first became aware of the dangers of
 19 their trade; right? Ron and the other Plaintiffs'
 20 lawyers are afraid that some of men would have
 21 answered with 20/20 hindsight, recalling vaguely
 22 that, I heard something back in the early 1940s;
 23 right?
 24 A That's what it says.
 25 Q So our jury understands, one of the things you've

1 talked about, and if we look at the second
 2 paragraph, "Discovery of such statements in
 3 writing, even though made without much care and
 4 without any knowledge that rights to compensation
 5 might be jeopardized without any consultation with
 6 their attorneys could throw out individual claims.
 7 Further, a significant number of such statements
 8 pre-1964 would hurt the state of the art case for
 9 all the plaintiffs."
 10 That's what's written here; right?
 11 A Right.
 12 Q And the state of the art case, that's what you
 13 testified about, that's what you do; right?
 14 A Right.
 15 Q What was known when, that's what you come to court
 16 and that's what you tell juries about; right?
 17 A Right.
 18 Q So what's being stated here is that if evidence was
 19 turned over which showed that somebody knew about
 20 something, say, in the '50s, that might hurt the
 21 state of the art case when a witness like you wants
 22 to come to court and say, nobody told them, they
 23 didn't know? That's what people were worried
 24 about; right?
 25 A Well, that's apparently what Motley was worried

1 about, but Selikoff would have even asked the
 2 lawyers -- would have even asked his patients a
 3 legal question in his medical questionnaires.
 4 Q And to cut to the chase, that's not right, is it,
 5 from a perspective of someone in your position in
 6 public health, to be writing a letter to somebody
 7 and say, don't turn over information because it may
 8 hurt my case?
 9 A Well --
 10 Q You cannot be in court today in front of this jury
 11 and this judge and say that this is all right.
 12 A Well, as I'm saying, this would -- I was conveying
 13 Motley's concerns in the first two paragraphs.
 14 Q Well, you know, there are people who can say, you
 15 know what, I know you've asked me to write this,
 16 but I'm a public health person at Johns Hopkins,
 17 and I'm not going to do it. Did you say that?
 18 A No, I don't recall anything about this document.
 19 Q So last question. The first thing you started out
 20 with on your direct was your CV.
 21 Do you remember that?
 22 A Yeah.
 23 MR. BICKS: ELMO, please, Jim.
 24 Q And this is your CV that -- right, you spoke to the
 25 jury about; right?

1 let's talk about it.
 2 Q Well, I think it's fair, do you not agree with me,
 3 that when you present a résumé to somebody, it's
 4 not unfair to say, I'd like to see the employment
 5 history on the résumé; right? That's not
 6 unreasonable?
 7 A No.
 8 Q So you have on here your degree at Johns Hopkins in
 9 1968; right?
 10 A My education, yes, is complete as to my college
 11 degrees.
 12 Q But you don't have on here that you began working
 13 for Hercules, and you worked there for about a year
 14 and a half; right?
 15 A Right.
 16 Q It's a little bit complicated, and I guess you
 17 weren't exactly fired, but you were asked to leave
 18 and you came back. But things didn't work out
 19 there; right?
 20 A Well, it worked out fine until I started
 21 complaining about the way they were dumping
 22 hazardous wastes and met with the senior vice
 23 president, and that upset all the people along the
 24 way who I didn't bother consulting with on my way
 25 to meeting with the vice president.

1 A Right.
 2 Q Because I was looking at it, and we all probably
 3 see a lot of CVs. I was looking on your CV for
 4 your employment history. Where on the CV is that?
 5 A I only described the history since I became an
 6 independent consultant on the CV. I don't mention
 7 that I worked in the chemical industry for about a
 8 year; I worked as a public health official for
 9 about a year and a half; and I worked for
 10 nongovernmental organizations, the Center for
 11 Science in the Public Interest and the
 12 Environmental Defense Fund, for less than a year
 13 each before 1975.
 14 Q Got it. So I just -- because most people on their
 15 résumé, they have their employment history,
 16 education and then their professional work. You
 17 don't have anything here about your employment
 18 history is what you're saying?
 19 A I don't include the employment history before 1975,
 20 but it's well known to the people in this
 21 litigation.
 22 Q Oh, but it may not be well known to our jury
 23 because they don't know you until they met you;
 24 right?
 25 A Oh, right. If you want to talk about it, go ahead,

1 Q Right. But you were basically asked to leave
 2 Hercules; right?
 3 A I was asked to leave a couple of days before my
 4 scheduled departure, yes.
 5 Q And then what was your next job after that?
 6 A Baltimore County Health Department.
 7 Q That was about 1972?
 8 A '72 and '73.
 9 Q I take it that's not on your résumé either; right?
 10 A No.
 11 Q You worked there for, what, about a year and a
 12 half?
 13 A Right.
 14 Q You were fired from that job, then you were
 15 rehired, and then you quit; did I read that right?
 16 A Right. They fired me for testifying at a Senate
 17 hearing and talking about community exposure to
 18 asbestos.
 19 Q And I know you're going to want to say why you got
 20 fired, but just listen to what I'm asking you. I'm
 21 asking you, were you fired, rehired, and then did
 22 you quit?
 23 A I was rehired with full backpay and then I quit.
 24 Q And then after the Baltimore County job, you
 25 were -- what was your next job?

1 A Center for Science in the Public Interest.
 2 Q That was '73, '74, a little less than a year?
 3 A Right.
 4 Q That job, that's not on your CV either; right?
 5 A No.
 6 Q You were there for, what, about nine months, and
 7 fair to say you were fired from that job?
 8 A No. I'm still on good terms with those people, and
 9 I just moved on to the next job.
 10 Q Right. So your testimony when you were asked and
 11 you said, they fired me a month after we got
 12 asbestos-contaminated salt off the market, that
 13 wasn't accurate?
 14 A That was the next job.
 15 Q Oh, so you got fired from the next job. I mixed
 16 one up.
 17 A Right. That's when I decided to become an
 18 independent consultant. I haven't had a boss since
 19 1975.
 20 Q Well, your independent consulting, all of your
 21 money is made because you testify for plaintiffs in
 22 lawsuits; right?
 23 A Most of my money comes from my involvement in
 24 litigation. No independent -- no individual lawyer
 25 can tell me what to do.

1 THE COURT: For all the witnesses?
 2 MR. FINCH: That's my understanding, yes.
 3 THE COURT: Thank you.
 4 (Resumption of live trial proceedings.)
 5 MS. WEGLARZ: May I approach the witness?
 6 THE COURT: Yes.
 7 CROSS-EXAMINATION
 8 BY MS. WEGLARZ:
 9 Q Hello, good morning. I just gave you what's been
 10 marked as demonstrative Exhibit 3. It's a roll of
 11 pipe tape. You've seen this kind of a product
 12 before; right?
 13 A Yeah.
 14 Q This is not -- when we're talking about insulation,
 15 this is not insulation; right?
 16 A It is not.
 17 Q You can put that aside.
 18 I represent a company called BMW Constructors.
 19 I haven't read all of the editions of your book.
 20 I've read the last one. Is it true that BMW
 21 Constructors does not appear anywhere in your
 22 books?
 23 A That's correct.
 24 Q You talked a little bit -- or we talked a little
 25 bit about Kaylo. Kaylo was an insulation

1 Q Right. But when you say most, let's be fair.
 2 We're talking, like, over 90 percent; right?
 3 A Yes.
 4 MR. BICKS: Thank you, sir.
 5 THE COURT: And that concludes cross?
 6 MS. WEGLARZ: I just have a few questions.
 7 THE COURT: Well, yes, but for Johnson &
 8 Johnson.
 9 MR. BICKS: Yes.
 10 MS. WEGLARZ: Your Honor, I'd like to mark for
 11 the record a piece of -- or the roll of tape that I
 12 showed in opening statement as Exhibit 3.
 13 MR. FINCH: As a demonstrative?
 14 MS. WEGLARZ: Demonstrative only.
 15 MR. FINCH: No objection for demonstrative
 16 purposes.
 17 THE COURT: All right. And could counsel
 18 approach, please.
 19 (A bench conference was held outside the
 20 hearing of the jury.)
 21 THE COURT: I just want to be clear that we
 22 want to do direct, cross, second cross, and then
 23 redirect.
 24 MR. FINCH: Redirect, and that'll be it for
 25 this witness.

1 manufacturer -- or was a type of -- sorry. I'm
 2 rolling over my words.
 3 Kaylo was a brand of insulation; right?
 4 A Right.
 5 Q And OCF, as of 1958, manufactured Kaylo?
 6 A Yes.
 7 Q When did OCF start -- or decide to start putting a
 8 label on that insulation; do you remember?
 9 A I think around -- it was around 1970, I think. At
 10 least the company doctor was writing about how
 11 maybe we better start doing it in that year.
 12 Q I think you wrote in your book it was maybe --
 13 there's an internal memo, September 1970, that
 14 they're saying, hey, maybe it's time we should
 15 maybe start putting a label on asbestos insulation,
 16 does that sound right?
 17 A That's my recollection, yeah.
 18 Q Do you agree that the employer of an individual is
 19 responsible for providing a safe workplace?
 20 A Generally, that's the way it's regarded, especially
 21 since we had OSHA created in 1971.
 22 Q Would that be the case even before OSHA was
 23 created?
 24 A Sure. That's at least people's expectation, if not
 25 the reality.

1 Q You agree that it's the employer who has the most
 2 control over the conditions in the workplace; is
 3 that right?
 4 A Sure.
 5 Q That includes dust conditions?
 6 A Yes. To the extent that there's dust in the
 7 workplace, the employer is in a position to provide
 8 measures to reduce the dust exposure of the
 9 workers.
 10 Q Just one last area. You agree that the knowledge
 11 of hazards of asbestos has evolved over time?
 12 A Yes.
 13 Q We know a lot more today than we knew back in the
 14 1930s?
 15 A Yes.
 16 Q And in the 1930s, the first studies were really
 17 about people working in factories actually making
 18 asbestos products?
 19 A Well, the 1930s also included case reports of
 20 asbestosis in office workers in asbestos factories
 21 as well as production workers.
 22 Q But in general, the epidemiology studies, what
 23 people were concerned with were the people actually
 24 making the asbestos products?
 25 A In the '30s, yes.

1 Q And when you're making these asbestos products,
 2 we're talking about huge exposures to asbestos?
 3 A Well, in some cases, more than others. Again,
 4 these are imprecise words.
 5 Q Well, if we talk about the Meriwether study, I
 6 think you mentioned Meriwether on direct, that was
 7 over in England; right?
 8 A Right. He was initially writing about asbestos
 9 textile plants, and they were particularly dusty.
 10 Q And we're talking -- what are the dust counts we're
 11 talking about, hundreds of millions of particles
 12 per cubic feet?
 13 A In some cases over a hundred million particles per
 14 cubic foot, yes.
 15 Q And then later, the epidemiology started looking at
 16 miners and millers, people actually extracting the
 17 asbestos from the earth?
 18 A Well, it was studies of asbestos miners as well as
 19 asbestos manufacturing plant workers, yes, in the
 20 1930s, epidemiology studies.
 21 Q And you'll agree that it wasn't until Selikoff did
 22 his studies in the mid 1960s that people actually
 23 started linking use of an end product like asbestos
 24 insulation to disease?
 25 A No, that's incorrect. I mean, prior to Selikoff,

1 there were over 50 separate reports of death and
 2 disease in insulators and, as I said, other
 3 asbestos product users, published starting in the
 4 early 1930s. They were case reports for the most
 5 part. And then there were a few epidemiological
 6 studies where they examined people who were
 7 actively employed working as insulators and
 8 reported on some fractional number of them that
 9 were diagnosed as having asbestosis.
 10 Q You mentioned there were case reports. Can you
 11 explain to the jury what a case report is.
 12 A A report of one or more individual cases of a
 13 disease in a population.
 14 Q A case report is drafted when someone finds
 15 something, a doctor finds something peculiar, and
 16 so they'll write it up to share it with other
 17 doctors; right?
 18 A Well, a case report could be published for lots of
 19 reasons, but basically because the author thinks it
 20 adds something to what's previously known about the
 21 matter covered in the case report.
 22 Q And you're familiar -- well, you worked with
 23 Dr. Selikoff; right?
 24 A Yes.
 25 Q You're familiar with his "Partnership for

1 Prevention" program?
 2 A I'm familiar with an article he published by that
 3 title in 1970.
 4 MS. WEGLARZ: Your Honor, may I approach the
 5 witness?
 6 THE COURT: Yes.
 7 BY MS. WEGLARZ:
 8 Q I'll hand you that article, just so you have it in
 9 front of you.
 10 A Thanks.
 11 MS. WEGLARZ: And I'll mark it for the record.
 12 I think the next exhibit would be 4.
 13 MR. FINCH: For what purpose? I don't think
 14 it goes back to the jury.
 15 MS. WEGLARZ: It's not going back to the jury.
 16 Just to talk about right now. Demonstrative
 17 purposes only.
 18 THE COURT: The Court shows admitted for
 19 demonstrative purposes only.
 20 MS. WEGLARZ: Well, illustrative, yeah, just
 21 to be marked.
 22 BY MS. WEGLARZ:
 23 Q Can you turn to page 164, please.
 24 A I'm sorry, what page?
 25 Q I'm sorry, I don't know why I said that. Or 23.

1 A Yes.
 2 Q And Selikoff writes in this article that -- and
 3 he's talking about his work with insulation, the
 4 insulation workers and his study that he was doing
 5 in this; correct?
 6 A Yes. He talks about that.
 7 Q And he says, "Here we had, then, the first solid
 8 evidence of these insulation workers were
 9 experiencing exposures to dust inhalation in the
 10 basic asbestos industry."
 11 A I'm sorry, where does it say that, the first solid
 12 evidence?
 13 Q First paragraph of the page.
 14 A Oh, yes.
 15 Q So that's at least what Selikoff thought, right,
 16 this is the first time they have solid evidence
 17 that insulation workers are actually experiencing
 18 these dust levels?
 19 A Yes.
 20 Q And he goes on to say that, "Our continuing study
 21 of the work and health records of this group, union
 22 members, confirms the higher risk of respiratory
 23 disease and cancer for the insulating workers than
 24 exists among the general population."
 25 A Yes, that's what it says.

1 MS. WEGLARZ: Thank you. I have no further
 2 questions.
 3 THE COURT: Thank you, Ms. Weglarz.
 4 Redirect?
 5 MR. FINCH: Yes.
 6 REDIRECT EXAMINATION
 7 BY MR. FINCH:
 8 Q Dr. Castleman --
 9 MR. FINCH: May I have the ELMO, Jon.
 10 Q -- you were asked just a minute ago about case
 11 reports.
 12 A Yes.
 13 Q Is the incidence of lung disease among people who
 14 are vaping, is that a series of case reports that
 15 the public health community is taking action based
 16 on today?
 17 A Right.
 18 Q You were asked about this book jacket.
 19 A Yes.
 20 Q "I recommend it. It's unique."
 21 Irving Selikoff, M.D., recommended your book?
 22 A Yes.
 23 Q It talks about information about asbestosis and
 24 cancer, "company knowledge of asbestos hazards
 25 gleaned from countless depositions, company

1 Q So at least in Selikoff's mind, this is the first
 2 time they're actually seeing solid evidence that a
 3 product like insulation, which releases hundreds, I
 4 don't know, fibers per cubic feet sometimes, is a
 5 problem? And this is in -- this article is 1970,
 6 but they're talking about the studies in the mid
 7 '60s; right?
 8 MR. FINCH: Objection to form, multiple
 9 compound questions there.
 10 THE COURT: I'll sustain the objection.
 11 Please rephrase the question.
 12 BY MS. WEGLARZ:
 13 Q Okay. At least in Selikoff's mind at this time,
 14 he's saying that, my studies in the 1960s, this is
 15 the first time we're actually confirming that
 16 there's a problem with products that contain
 17 insulation -- or contain asbestos, like insulation;
 18 is that fair?
 19 A Well, I mean, the sentence says what it says. It's
 20 inconsistent with other things he published citing
 21 a lot of the earlier work of reports of death and
 22 disease. Maybe it's the term "solid evidence" that
 23 makes a difference. I don't know.
 24 Q But that's what Selikoff said; right?
 25 A It says what it says, yes.

1 records, industry consultants, and trade
 2 associations."
 3 For internal company documents, are those
 4 generally publicly available?
 5 A No.
 6 Q To see the full picture of the story of the
 7 companies that you write about in this book, do you
 8 have to see all of the company -- internal company
 9 documents?
 10 A Well, you have to make an effort to, and very often
 11 when I'm deposed by the companies in discovery
 12 depositions, I invite them to show me anything I
 13 haven't seen in terms of their internal documents
 14 that would give me a fuller understanding and
 15 appreciation of the whole story.
 16 Q When did you first start seeing Johnson & Johnson
 17 internal documents?
 18 A Quite recently.
 19 Q Let's go through some of the exhibits that
 20 Mr. Bicks showed you. I'm going to put them in two
 21 piles, a publicly available pile and a not publicly
 22 available pile. Can we do that?
 23 A Sure.
 24 Q So one of the things he showed you was an article
 25 in The Lancet from 1977, "Cosmetic Talc Powder."

1 And that's publicly available; right?
 2 A Right.
 3 Q And in that article, I noted a part that Mr. Bicks
 4 didn't ask you about. "If the inhalation of
 5 particles of amphibole and silica contaminated talc
 6 dust were found to be harmless, one might
 7 reasonably assume that talc free from these
 8 materials is safe. Kleinfeld and his colleagues
 9 have studied the incidence of cancer and
 10 respiratory disease in talc miners and millers in
 11 New York State. The talc concerned, which is
 12 heavily contaminated with both amphiboles and free
 13 silica, was initially reported to be associated
 14 with an increased mortality from mesothelioma and
 15 cor pulmonale. Later the same workers reported
 16 that men employed in the mine after dust levels had
 17 been reduced had death-rates from malignancies that
 18 were similar to those for White males in the U.S."
 19 What is mesothelioma related to again?
 20 A Asbestos.
 21 Q And this is publicly available?
 22 A Yes.
 23 Q All right. This article in the New York Times,
 24 "Asbestos found in ten powders," that's a publicly
 25 available document; right?

1 A Yes.
 2 Q Rohl, Langer, Selikoff, et cetera, this was a
 3 publicly available document in 1976; right?
 4 A I think the conference was in 1977 and it was
 5 published in 1979, yes.
 6 Q Okay. Just -- and just so --
 7 MR. FINCH: Can I have a piece of blank paper.
 8 Q It was published in 1976.
 9 A Oh, I'm sorry. I'm confusing it with another
 10 article by the same authors. Yes, that's correct.
 11 Q And just so we're clear who's who at Mount Sinai,
 12 I'm going to draw this first. Mount Sinai had a
 13 president that was Dr. Chalmers. I think you were
 14 shown a press release by him.
 15 A Yeah.
 16 Q And then Dr. Selikoff was senior in age and
 17 responsibility to Rohl and Langer; is that right?
 18 A Right.
 19 Q And Langer and Rohl were the microscopists?
 20 A Right.
 21 Q I can't say that word. People who used microscopes
 22 to look for asbestos or other things; right?
 23 A That's right.
 24 Q Now, Mr. Bicks showed you a letter from
 25 Dr. Selikoff. The letter is publicly available,

1 and also, so is the New York Times article, where
 2 they were quoting some of his statements; right?
 3 A Well, the New York Times article was publicly
 4 available. I wouldn't say the letter was publicly
 5 available, although it would have been freely
 6 available, if anybody asked Dr. Selikoff for a copy
 7 of it, he would probably have been happy to provide
 8 it.
 9 Q He would -- he could -- if you went to Mount Sinai,
 10 you could ask for it from Mount Sinai and they
 11 would provide it.
 12 A I believe so.
 13 Q The health records and the interviews of the
 14 insulators that he was doing, would that be
 15 something that would be protected by HIPAA today?
 16 A Well, it would be regarded as medical
 17 confidentiality between a patient and a doctor.
 18 Q Okay. I want to ask you about something Mr. Bicks
 19 didn't show you in this Defense Exhibit 8846. And
 20 this is a quote from Dr. Selikoff. Can you read
 21 that, where it says, "Selikoff acknowledges"?
 22 A "Selikoff acknowledged."
 23 Q "Selikoff acknowledged that the cosmetic industry
 24 has 'gone ahead quietly improved the talc" -- it's
 25 got direct quotes around gone ahead quietly and

1 improved the talc -- "but there's a huge chink in
 2 their armor. They were dusting people with
 3 asbestos all these years before, so what was put in
 4 the lungs before is still there. I certainly
 5 wouldn't want to be dusted with any asbestos.
 6 There is no safe level of asbestos known."
 7 That's what Selikoff was quoted in the New
 8 York -- in this article about?
 9 A Yeah.
 10 Q And when he writes to the author of the article, he
 11 doesn't -- he doesn't say that she misquoted him
 12 about people being dusted with asbestos or that
 13 there was a safe level of asbestos exposure, did
 14 he?
 15 A No, I don't think so.
 16 Q This press release, Exhibit 7119, this is a
 17 publicly available press release put out by Mount
 18 Sinai; right?
 19 A Yes.
 20 Q All right. This press release came out March 23,
 21 1976; right?
 22 A Yes.
 23 Q From the president of Mount Sinai?
 24 A That's right.
 25 Q The document that I showed you yesterday,

1 Exhibit 578, that is not publicly available, was
 2 not publicly available till very recently; is that
 3 correct?
 4 A Yes, that's correct.
 5 Q Let's just -- what's going on here is there's a
 6 meeting with the president of Mount Sinai, the
 7 director of the medical school, and the director of
 8 personal relations from Mount Sinai, and then
 9 Johnson & Johnson was represented by D.D. Johnson,
 10 J.E. Burke, D. Petterson, L. Foster, and G.
 11 Hildick-Smith. So five Johnson & Johnson
 12 executives came to meet with Mount Sinai; right?
 13 A Yes.
 14 Q "Initially Dr. Chalmers, who had clearly given a
 15 lot of thought to the issue, suggested it might be
 16 wisest if all parties forgot the incident ...
 17 nothing would be gained by obtaining a retraction
 18 from Mount Sinai Medical School. Johnson & Johnson
 19 representatives, however, clearly expressed their
 20 desire to have a retraction statement to not only
 21 allay the fears of many anxious parents, but to
 22 correct the record concerning the safety of baby
 23 talc and the fact that Mount Sinai scientists had
 24 failed to report the talc samples studied were at
 25 least three years old.

1 "The Mount Sinai group indicated that over the
 2 weekend, the Selikoff group," and that's --
 3 Selikoff group is referring to these people here;
 4 right?
 5 A Right.
 6 Q "The Selikoff group had been studying six new
 7 samples of talc and reported that all of them
 8 contained minimal amounts of asbestos. Mount Sinai
 9 management thought that this information should be
 10 in the retraction statement, but the Johnson &
 11 Johnson group assured the Mount Sinai management
 12 that such a statement should be avoided in case the
 13 analysis by the Selikoff group was in error.
 14 "A discussion took place concerning the
 15 content of a retraction statement, and agreement
 16 was reached on the content of such a statement.
 17 Dr. Chalmers indicated that he would write a
 18 covering note for the statement and it would be
 19 released to the news media. The Mount Sinai
 20 management reluctantly agreed to release a
 21 statement to correct the record on talc published
 22 in the news media. The meeting was an amicable
 23 one."
 24 This meeting happened on March 31 -- actually,
 25 the memo was March 31, '76. The meeting was

1 Monday, March 22, 1976?
 2 A That's what it says.
 3 Q And the press release came out the next day?
 4 A I think so.
 5 Q March 23, 1976?
 6 A Yeah.
 7 Q Let me show you one more document from the files of
 8 Johnson & Johnson.
 9 MR. FINCH: This is Plaintiffs' Exhibit 52,
 10 Your Honor. I believe it's stipulated to be
 11 admissible. I'd offer 52.
 12 THE COURT: And it's been stipulated to, you
 13 said?
 14 MR. FINCH: Yes.
 15 THE COURT: It says 47 here.
 16 MR. FINCH: No, that was a deposition exhibit
 17 number. The top is P-52, Your Honor.
 18 THE COURT: All right, thank you.
 19 MR. BICKS: Well, I don't have any objection
 20 to the admissibility of it, but I have objection as
 21 being relevant and this witness competent to talk
 22 about it.
 23 THE COURT: All right. The Court shows 52
 24 admitted without objection, and now we'll deal with
 25 the objection. But there's no -- you mean as to

1 the entire document?
 2 MR. BICKS: Right. Well, let's see what ...
 3 maybe there's foundation that he has -- knows
 4 anything about this.
 5 BY MR. FINCH:
 6 Q Dr. Castleman, have you seen this document before?
 7 It was in your talc file. Have you seen this
 8 before?
 9 A I think so, yeah.
 10 Q And this is an internal document of Johnson &
 11 Johnson, this wasn't publicly -- in the publicly
 12 available pile, is it?
 13 A That's correct.
 14 Q This is June 17, 1972. "I asked Dr. Langer if he
 15 can state that our baby powder," referring to
 16 Johnson's baby powder, "is free of asbestos as a
 17 result of the conference and review of August 3,
 18 1971, with the FDA. He said he still thinks that
 19 Johnson & Johnson's product contains minute traces
 20 of asbestos, and he believes that he can find
 21 asbestos fibers after breaking down the platelets
 22 by ultrasonic energy."
 23 Did I read that right?
 24 A You did.
 25 Q Was this document sent to you when you were writing

1 to Johnson & Johnson in the early 1970s?
 2 A No.
 3 Q Was it publicly available until very recently?
 4 A No.
 5 Q Dr. Castleman, have you in your book been able to
 6 identify every entity that's ever exposed anybody
 7 to asbestos ever in the history of world?
 8 A No.
 9 Q As someone who has spent his career as a public
 10 health advocate, advocating for -- or testifying in
 11 cases or in dealing with the public health
 12 community, is it important to warn people about the
 13 hazards of asbestos?
 14 A Very important.
 15 Q Do you wish you had had all of Johnson & Johnson's
 16 internal files back in the 1970s?
 17 A Yes.
 18 Q You believe you might have done things differently
 19 if you had?
 20 MR. BICKS: Objection, speculation.
 21 THE COURT: I'll overrule that objection.
 22 A Yes is the answer.
 23 MR. FINCH: No more questions, Your Honor.
 24 THE COURT: Thank you.
 25 MR. FINCH: May this witness be excused?

1 MR. BICKS: Can I ask a few follow-up, Your
 2 Honor?
 3 MR. FINCH: I didn't know that there was
 4 re-recross.
 5 MR. BICKS: He went outside the scope of what
 6 I did. I have a few questions.
 7 THE COURT: You can question as to the new
 8 matters, briefly.
 9 MR. BICKS: Can I do it from here?
 10 THE COURT: Yes.
 11 RE-CROSS-EXAMINATION
 12 BY MR. BICKS:
 13 Q Doctor, you were shown in Lancet a reference to the
 14 New York Gouverneur deposit. Do you remember that?
 15 Kleinfeld?
 16 A I remember being shown the Lancet editorial.
 17 Q Right, and the New York Gouverneur deposit; right?
 18 A Well, the New York deposit is mentioned in The
 19 Lancet.
 20 Q Right. And counsel pointed you to that reference
 21 to the Gouverneur deposit. Do you remember that?
 22 A Yes.
 23 Q You know that Johnson & Johnson didn't use that
 24 deposit; right?
 25 A As far as I know, that's correct.

1 Q When Johnson & Johnson wrote to you and told you
 2 about Colorado School of Mines, Fred Pooley, and
 3 all their reports, did you ever write back and say,
 4 can I please see those reports?
 5 A No. I took them at their word that the reports
 6 were negative.
 7 Q Sir, please answer my question. Did you ever say,
 8 can I please see the reports?
 9 A No.
 10 Q Did you ever pick up the phone and send a letter to
 11 Fred Pooley, hey, Dr. Pooley, I'd like to see your
 12 testing, did you ever do that?
 13 A No.
 14 Q How about Colorado School of Mines, did you ever
 15 reach out and say, hey, I'm a public health person,
 16 I'm worried about that, can I see the reports?
 17 A No.
 18 Q In fact, when you were talking to this jury on
 19 direct and talking about all your trips around the
 20 world, talking to people, have you ever said to one
 21 of those people, world health organizations, the
 22 meetings, the World Bank, everybody, have you ever
 23 come out in public and said to them, you should
 24 take cosmetic talc off the market?
 25 A No.

1 MR. BICKS: Thank you.
 2 THE COURT: And Mr. Finch?
 3 MR. FINCH: Nothing more, Your Honor. Unless
 4 the jury has any questions.
 5 THE COURT: And does the -- do the jurors have
 6 any questions for this witness before he is
 7 excused? And if so, you should write it down on a
 8 piece of paper. Does anybody have one before I
 9 call Bridget in? No?
 10 All right, then. Thank you. Sir, you are
 11 excused.
 12 We're going to take a break now. We'll come
 13 back at 20 till the hour, so that'll be 20 till 11.
 14 THE BAILIFF: All rise.
 15 (Whereupon, the jury exited the courtroom.)
 16 THE COURT: Are there any matters that we need
 17 to discuss? No?
 18 MR. FINCH: Not yet.
 19 (A brief recess was taken.)
 20 THE BAILIFF: All rise.
 21 (Whereupon, the jury entered the courtroom.)
 22 THE COURT: Please be seated. Welcome back.
 23 Thanks for your patience while Counsel and the
 24 Court discussed scheduling going forward.
 25 And now we are ready to begin on a new

1 witness.
 2 MR. FINCH: May we proceed, Your Honor?
 3 THE COURT: Yes.
 4 MR. FINCH: May it please the Court. Good
 5 morning, ladies and gentlemen, Counsel. We call
 6 Dr. Mark Rigler.
 7 THE COURT: Welcome, Dr. Rigler.
 8 THE WITNESS: Thank you, Your Honor.
 9 THE COURT: Could you please raise your right
 10 hand.
 11 MARK RIGLER, PH.D.,
 12 a witness called on behalf of the Plaintiffs, having
 13 been first duly sworn by the Court, took the stand and
 14 testified as follows:
 15 THE COURT: Thank you. You may be seated.
 16 DIRECT EXAMINATION
 17 BY MR. FINCH:
 18 Q Dr. Rigler, could you introduce yourself to the
 19 jury and tell them where you're from.
 20 A I'm Dr. Mark Rigler, and I am from Atlanta,
 21 Georgia.
 22 Q What is your profession, sir?
 23 A I'm a consultant, and I work for my own company
 24 called ASPEX, LLC. Previously I worked for a
 25 testing laboratory called Materials Analytical

1 Services, MAS, located also in the suburbs of
 2 Atlanta, Georgia.
 3 MR. FINCH: Your Honor, we have previously
 4 marked and it's going to be marked as Plaintiffs'
 5 Exhibit No. 3, a copy of Dr. Rigler's curriculum
 6 vitae. May I approach? We haven't put the exhibit
 7 number on it yet, but can I approach, Your Honor?
 8 THE COURT: Yes, you may.
 9 MR. FINCH: Counsel has been provided a copy
 10 as well. This is going to be Plaintiffs' 3.
 11 BY MR. FINCH:
 12 Q Dr. Rigler, this is your CV?
 13 A Yes.
 14 Q Do you have it on the monitor in front of you? Can
 15 you see it there?
 16 A Yes, I do.
 17 Q Could you briefly go through your educational
 18 background.
 19 A I have a Bachelor of Science degree in biology from
 20 Villanova University. I also have a Ph.D. in
 21 microbiology from the University of Georgia. And
 22 I've also done post-doctoral work on the same kinds
 23 of subject matter at the University of Georgia for
 24 my post-doctoral work.
 25 Q Could you describe for the jury what formal

1 training you have had in using electron microscopes
 2 to analyze substances to determine if there is
 3 asbestos or other materials in them?
 4 A Sure. The training that I have was at the
 5 University of Georgia. There were two semesters of
 6 electron microscopy. They specifically have a unit
 7 for training for electron microscopy at the
 8 university. I believe it's called the Center for
 9 Ultrastructural Research now, and at that center
 10 they have the -- a number of different kinds of
 11 electron microscopes. They have transmission
 12 electron microscopes. They have scanning electron
 13 microscopes. And you learn to use those tools to
 14 analyze all kinds of materials, from biological
 15 materials to solid hard materials, particles, that
 16 type of thing.
 17 Q Could you give us a rundown of your employment
 18 history, starting with your first job after
 19 graduate school and then running up through the
 20 present.
 21 A Well, I was a research technician at the University
 22 of Georgia and specifically doing ultrastructural
 23 research using transmission electron microscopy.
 24 It was in the plant pathology department, and we
 25 were embedding and cutting different types of grass

1 tissues, seed grasses. The investigators were
 2 trying to understand some processes with those
 3 cells at the ultrastructural level.
 4 Then I was director for a company called SCRS.
 5 That was also a consulting company that I started,
 6 and I did some consulting work for a couple of very
 7 large firms. One was Genentech in south San
 8 Francisco, California, a company that develops
 9 drugs of all different kinds. They have very
 10 interesting technologies.
 11 Then I was a senior application specialist at
 12 a company called RMC. They were a manufacturer of
 13 tools for electron microscopy. So I was a field
 14 specialist doing different kinds of work in
 15 relationship to that company marketing their
 16 products. I was a specialist for them.
 17 Then --
 18 Q I'm going to stop you right there, Doctor. That'll
 19 bring us up to about 1989.
 20 A Okay.
 21 Q Where did you go next?
 22 A I was hired at Materials Analytical Services,
 23 that's MAS. That is the laboratory that I worked
 24 at for a little more than 30 years. So I was the
 25 director of biological services when I first

1 started at that company. I became branch manager
 2 and director of still biological services. Then I
 3 was the VP and director of biological services,
 4 continued there, then VP and materials group
 5 manager at the time. Then -- let's see, what else
 6 do we have here? Oh, senior consulting scientist,
 7 yes, at the same company for microbiologicals, an
 8 area that I specialize in. And then I managed the
 9 laboratory for a number of years. That was about
 10 four years. And then I became chief science
 11 officer at the laboratory at the end of my career
 12 there.

13 Q Was one of the main business activities of MAS to
 14 test materials and human tissue to determine
 15 whether there was asbestos in it?

16 A Yes, yes, that was one of the areas of
 17 specialization for that company, and I headed those
 18 efforts.

19 Q How many tests of materials, building materials or
 20 other kinds of products, were you personally
 21 involved in to determine if they had asbestos in
 22 them while you were at MAS?

23 A Well, there were hundreds of different types of
 24 products that the company tested for containing
 25 asbestos.

1 Q Were you involved in many of those tests
 2 personally?

3 A Yes.

4 Q Did you actually use the electron microscopes
 5 personally in some of the testing for asbestos
 6 materials and products?

7 A Yes, I did. The transmission electron microscope,
 8 also the scanning electron microscopes.

9 Q Did the lab MAS have trained microscopists that
 10 reported to you and the lab's owner that were
 11 trained to use the microscopes to analyze materials
 12 for the presence of asbestos?

13 A Yes, they did. That was part of my job
 14 responsibilities were to oversee the microscopists.
 15 As the laboratory manager, that's one of your jobs,
 16 yes.

17 Q And you were at MAS up through July of this year?

18 A Yes, sir, I was.

19 Q Why did you decide to leave?

20 A I wanted to spend more time with my family. I have
 21 a new granddaughter, and my time was becoming very
 22 valuable at that point. So I -- that was one of
 23 the reasons that I retired from MAS.

24 Q How old are you, sir?

25 A I will be 65 in January.

1 Q You look young for your age. How long was your
 2 commute to and from the lab at MAS?

3 A On any given day, I don't know if any of you all
 4 know Atlanta traffic, it is a challenge. So just a
 5 one-way ride could be an hour 20 minutes, something
 6 like that. So my round trip times were easily two
 7 and a half hours, sometimes three hours if there
 8 were accidents. I went through at least four
 9 school zones, so that slows you down quite a bit.

10 Q Now, the jury has heard a little bit about the peer
 11 review process as it relates to other experts. Do
 12 you have any peer-reviewed publications in the
 13 scientific literature, Dr. Rigler?

14 A Yes.

15 Q How many peer-reviewed publications do you have in
 16 total?

17 A I apologize, but I haven't counted them. They're
 18 on the CV.

19 Q Does it continue on for, like, a page and a half,
 20 maybe 15 or 20 peer-reviewed publications?

21 A Yes, and there are also presentations that are on
 22 there, scientific presentations that I've given.

23 Q Have you published in the peer-reviewed scientific
 24 literature any articles addressing how to test for
 25 asbestos in some kind of product or material and

1 what the asbestos concentration would be?

2 A Yes, yes. I published a paper in 1995 on the
 3 asbestos content of Kent original micronite
 4 filtered cigarettes. I don't know if any of you
 5 ever heard about them, but they were a blue filter
 6 very specific color, and the company touted them as
 7 the safest cigarettes which you could smoke.

8 So we looked at those cigarette filters at
 9 MAS, analyzed them, and came to find out that they
 10 contained approximately 10 percent crocidolite
 11 asbestos by weight. So that was a -- we did a
 12 study where we -- we took cigarettes and we
 13 squeezed them and we rolled them and then we didn't
 14 do anything to them and we loaded them up into a
 15 container that we would draw air through, as if,
 16 you know, you were breathing through that, to see
 17 what would be in the first couple of puffs, would
 18 there be any crocidolite asbestos in the first
 19 couple of puffs. And we found there were millions,
 20 hundreds of millions of fibers in that first couple
 21 of puffs.

22 And so we took that finding to a journal
 23 called Cancer, it's a published peer-review
 24 journal, and they did a rapid publication of that
 25 work.

1 Q Is this a copy -- I'm not going to put it into
 2 evidence, but is this a copy of the article that
 3 describes the work and the methodology for
 4 detecting asbestos in this product?
 5 A Yes.
 6 Q Just so the record is clear, Kent made this
 7 asbestos-containing cigarette filter between 1952
 8 and 1954?
 9 A Yes.
 10 Q And Melody Lewis would have been, like, five or six
 11 years old at that time? She was born in --
 12 A I believe so, yes.
 13 Q -- '48. There's no evidence at all in the case
 14 that she ever smoked a Kent cigarette with an
 15 asbestos filter?
 16 A Not that I know of, no.
 17 Q So back to -- back to your qualifications, at MAS,
 18 was it a regular practice for you and other
 19 scientists to rely on --
 20 MR. FINCH: Can I have the PowerPoint, Jon.
 21 Sorry.
 22 Q -- trained microscopists to review products or
 23 substances or materials to determine if they
 24 contained asbestos?
 25 A Yes.

1 Q And is that an accepted practice for scientists and
 2 researchers in the field, to rely on lab
 3 technicians and other people to help them identify
 4 asbestos in products or materials?
 5 A Yes. In the scientific world, technologists are
 6 routinely relied upon for results from testing.
 7 Q While you were at MAS, how many different asbestos
 8 products were tested over the years?
 9 A Oh, I don't know. It had to be hundreds, hundreds
 10 of products that were tested for asbestos. There
 11 were many, many, many products asbestos were in.
 12 This slide is showing a number of companies that
 13 MAS consulted for, and not necessarily all of them
 14 were for testing asbestos. BMW that you see on
 15 there was BMW Motors.
 16 Q That wasn't the BMW Constructors that's at issue in
 17 this case, is it?
 18 A Right.
 19 Q What kind of quality control programs did MAS have
 20 to analyze, stay up to date with the procedures it
 21 was using, that you and the scientists were using
 22 to test materials or products?
 23 A The quality programs for all laboratories, all
 24 certified laboratories have quality programs
 25 whereby they have specific steps and routines and

1 procedures that they have to follow in order to get
 2 reproducible data.
 3 For instance, when you go to the doctor and
 4 you get a lab test done by LabCorp or some of them,
 5 they have programs that are very stringent. In the
 6 asbestos testing realm, for most laboratories, they
 7 have what's called an ISO 17025 designation that
 8 you see on the screen there. I know there are a
 9 lot of certifications on there. We'll just talk
 10 about them briefly.
 11 Q ISO standards for what, sir?
 12 A International Standards Organization.
 13 Q Okay.
 14 A And they are a -- they're a group of scientists
 15 that come up with standard methods and
 16 methodologies that everyone follows so that
 17 everyone is doing the same kinds of things so the
 18 results are comparable across laboratories.
 19 So you see some other designations on here.
 20 AIHA, that's American Industrial Hygiene
 21 Association. The A2LA you see on there, that's
 22 American Association for Laboratory Accreditation.
 23 There's also ASTM, American Standards for Testing
 24 Materials, NVLAP and on. And each one of these has
 25 a basis in 17025.

1 MAS was also an FDA registered laboratory.
 2 But the point here is that MAS followed a very
 3 stringent quality control program.
 4 Q There's a reference there to something called the
 5 AIHA. What is the American Industrial Hygiene
 6 Association?
 7 A Again, it's a group of scientists who have
 8 developed standards for the analysis and testing of
 9 environmental samples and materials, and they also
 10 provide a certification service for laboratories.
 11 MAS, that laboratory had -- the laboratory number
 12 was 100655. Each one of the laboratories has a
 13 designation. That means they've gone through a
 14 program whereby auditors from the AIHA, independent
 15 third-party auditors come out and they go through
 16 all of the laboratory's systems and they check them
 17 for compliance to their program. And if they
 18 comply, and they do this every two years, then the
 19 AIHA will give them a certification.
 20 Q Could you describe for the jury what training you
 21 have had in industrial hygiene principles as it
 22 relates to asbestos.
 23 A At MAS, there were industrial hygienists, in fact,
 24 I believe there still are, who are on staff. Now,
 25 these are people who essentially do -- they do

1 safety training for the laboratory there. One of
2 their major jobs is workplace safety and health.

3 And so what they do is they follow standards
4 for checking to be sure that workplaces are safe
5 and healthy for all different kinds of things,
6 whether it be hazards for slip and fall, whether it
7 be air standards for the amount of particles in the
8 air, dust, that type of thing. And what they do is
9 they go around and they'll test for things. You've
10 heard of standards for audiology, too much noise,
11 too much sound. An industrial hygienist will go
12 out with an audiometer, a meter that would measure
13 that. So they would go to a rock concert, they
14 could tell you, you're way off the scale here for
15 acceptable workplace noise.

16 But in our case, I have been -- worked
17 alongside of and trained and also overseen
18 industrial hygienists at MAS who did testing on air
19 samples and other kinds of samples that contained
20 asbestos.

21 MR. FINCH: Can I have the ELMO again, Jon.
22 Q And Dr. Rigler, in your CV, it has a list of
23 memberships. Have you personally been a member of
24 the American Industrial Hygiene Association and the
25 American Conference of Government Industrial

1 Hygienists, ACGIH?

2 A Yes.

3 Q Now, it says it's updating here. What does that
4 mean?

5 A When I left MAS, I was a member of each one of
6 these different organizations, and so with my new
7 company, ASPEX, I have to get my address changed, I
8 have to get updated information to them, I have to
9 reapply for my memberships, that kind of thing. So
10 that's why it says updating. I'm about halfway
11 through the list right now. So that's what's going
12 on with all of that.

13 Q And you're in the process of doing the paperwork to
14 get recertified by things like the Microscopy
15 Society of America, the American Society for
16 Microbiology, the American College of Occupational
17 and Environmental Medicine?

18 A Yes, sir.

19 Q This paper that you worked on about asbestos in
20 cigarette smoke from the Kent filters published in
21 the Journal of Cancer, is that right, cancer
22 research?

23 A Yes.

24 Q And that was, what, 25 years ago?

25 A 1995.

1 Q There's a gentleman also, a co-author of yours,
2 William Longo. Was he the owner of MAS?

3 A Yes, sir.

4 Q And the two of you are friends?

5 A Yes, yes.

6 Q Now, in addition to the --

7 MR. FINCH: Can I go back to the PowerPoint,
8 Jon. Eventually I'll catch on.

9 Q In addition to the AIHA, there's something called
10 the NIST on this slide.

11 A Yes.

12 Q Could you explain to the jury, what is the NIST and
13 how does it relate to testing materials for the
14 presence of asbestos?

15 A The NIST is the National Institute of Standards and
16 Technology in Washington, D.C., and they oversee
17 the program called the NVLAP, you'll see it there
18 on the slide, National Voluntary Laboratory
19 Accreditation Program. And that's for TEM
20 laboratories. They specifically have a program for
21 asbestos testing laboratories that do electron
22 microscopy, TEM, and also optical microscopy, which
23 we'll probably talk about later.

24 So what they do is they oversee these
25 programs. They have auditors that go out to the

1 laboratories, and they assess the laboratories for
2 conformance to the standards.

3 Q What is an official referee lab for an asbestos
4 remediation project?

5 A The referee laboratory is one that can oversee
6 sampling for projects like you see that are listed
7 here. MAS, that laboratory was involved in all of
8 the projects that you see here, all these different
9 states and authorities that were testing for
10 asbestos in their products and many of the
11 buildings in their states.

12 So MAS was a laboratory that did testing for
13 these programs that you see here, from City of New
14 York, Port Authority of New Jersey, State of
15 Hawaii, Archdiocese of St. Louis, City of Boston,
16 Baltimore, on and on. So MAS was very much
17 involved in all of those programs for many, many
18 years.

19 Q And am I correct that there are basically two
20 different kinds of -- two big categories of tests
21 you're going to talk about here? One is something
22 called a bulk materials test?

23 A Yes.

24 Q Explain to the jury what that is.

25 A A bulk material test is one whereby you're looking

1 at a material that contains asbestos. Say that it
2 is some kind of an insulation product and it has
3 asbestos in it. You want to know what the
4 percentage of asbestos is. So that insulation is
5 considered a bulk sample. It could be drywall, it
6 could be mastic tape, it could be baby powder, it
7 could be, you know -- it could be anything that's
8 considered a bulk sample.

9 Q Was the crocidolite asbestos filter -- well, the
10 jury can see it from here. The filter test, is
11 that an example of a bulk analysis?

12 A Yes, yes.

13 Q When -- were you personally involved in many of
14 these assignments involving being the referee lab
15 for asbestos remediation projects while you were at
16 MAS?

17 A Yes, yes.

18 Q Would that typically involve a bulk sample analysis
19 to determine, you know, how much asbestos was in
20 some building or how much asbestos was in some
21 place or some school?

22 A Yes, sir.

23 Q Now, is there a second type of test that you're
24 going to talk about today called an asbestos fiber
25 release test?

1 A Yes.

2 Q Can you explain to the jury what that is.

3 A That is a test whereby an asbestos-bearing material
4 is assessed for how much asbestos can be released
5 from it. For instance, if you have a cement pipe
6 that contains asbestos, a lot of cement pipe did in
7 the past, and you cut into it and you're working
8 around it and you cut into it and create all of
9 that dust, then you can have a release and
10 exposure. So that can be one type of thing.

11 MR. FINCH: Your Honor, we would ask the Court
12 to recognize Dr. Rigler as an expert in material
13 science for the purpose of doing bulk testing to
14 determine if there is asbestos in a product or
15 material and for fiber release related to asbestos
16 fiber release from a fiber -- from a product or
17 material.

18 THE COURT: Well --

19 MR. FINCH: Any objection?

20 MR. BICKS: You've heard our -- we've argued
21 this so our position is preserved, I assume.

22 MR. FINCH: We agree that the prior
23 arguments --

24 THE COURT: But you're objecting now?

25 MR. BICKS: Yes, Your Honor.

1 THE COURT: And the Court rules consistent
2 with its prior order.

3 MR. FINCH: Thank you, Your Honor.

4 BY MR. FINCH:

5 Q Dr. Rigler, did we basically ask you to do three
6 things in this case?

7 A Yes.

8 Q And first, did we ask you to test Johnson's baby
9 powder containers from different periods of time to
10 determine whether they had asbestos in them?

11 A Yes.

12 Q Did, in fact, a majority of the containers have
13 asbestos in them?

14 A Yes.

15 Q Did we also ask you to come and explain the various
16 testing methodologies that both you used and other
17 companies might use that were available over time
18 and to explain what a nondetect means and why there
19 might be nondetects in historical tests?

20 A Yes.

21 Q And finally, did we ask you to explain to this jury
22 what were Melody Lewis' exposures to asbestos from
23 Johnson's baby powder and how those exposures
24 compared to background ambient air?

25 A Yes.

1 Q Just bottom line, what is your opinion about how
2 her asbestos exposures from baby powder compared to
3 ambient air?

4 A Her exposure compared to ambient air was magnitudes
5 higher than ambient air.

6 Q First, her exposure to asbestos from baby powder
7 was orders of magnitude higher than ambient air?

8 A Yes.

9 Q And what does an order of magnitude mean in
10 science?

11 A An order of magnitude is a thousand times, so
12 multiple orders of magnitude can be 2,000, 3,000,
13 10,000.

14 Q Now, we have on this slide a picture of some of the
15 different scientific instruments that the jury has
16 already heard a little bit about and will probably
17 hear a lot about in this case. Can you explain to
18 the jury what each of these things are.

19 A Sure.

20 Q And why don't we do that first, then we'll go
21 through some of how they're used.

22 A Okay. XRD, or x-ray diffraction, is a tool whereby
23 you take a bulk, a solid sample, or you can take a
24 powdered sample and then you compress it. And then
25 what you do is you put x-ray energy through it, and

1 then it's tilted through the x-ray energy beam, and
2 then there's a detector that tells you how much for
3 a type-specific mineral that could be in there. So
4 that's an x-ray diffractometer. The level of
5 detection can be, you know, about .11 percent,
6 something like that. It sounds pretty low, but as
7 far as trace detection with an x-ray diffraction
8 detector, not so great.

9 The PLM that you see there, as you can see,
10 it's a microscope. It's called a polarizing light
11 microscope, and that is used also for asbestos
12 analysis and identifying asbestos.

13 There are also the TEM, the transmission
14 electron microscope, as you see there. It looks
15 small here on the screen, but it would take easily
16 the space that I'm sitting here or where the judge
17 is sitting. That's how large they are. So that's
18 your transmission electron microscope. And it's
19 used -- it is the tool actually for analyzing small
20 particles, such as asbestos fibers.

21 Q You used a term in your answer just now called
22 sensitivity, the level of detection.

23 A Yes.

24 Q Could you explain what that means.

25 A Sensitivity is the instrument's level or the

1 preparation's level of being able to detect how
2 much is in something. The smaller the amount or
3 the trace level amount, the more either that you
4 need to analyze or the more area you need to look
5 at.

6 So just to give you an idea of sensitivity --
7 well, it's always difficult to give the examples,
8 but let it be known that the transmission electron
9 microscope would be the most sensitive for trying
10 to find very, very low levels of asbestos in
11 materials such as powders.

12 Q You got a degree in microbiology and you got your
13 undergraduate degree in biology?

14 A Yes.

15 Q I haven't had biology since I was in high school.

16 I'm not going to say how long ago that was. But I
17 remember looking through microscopes to look for
18 microbes.

19 A Yes.

20 Q If I wanted to find a microscopic virus or bacteria
21 on my hand, could I see them with a magnifying
22 glass?

23 A No, not unless they were in big colonies.

24 Q Could -- if I wanted to look for something that is
25 as small as an asbestos fiber, could I see it with

1 a magnifying glass?

2 A No.

3 Q Am I correct, then, that the greater the level of
4 sensitivity, the more likely it is that you can
5 detect something that's there?

6 A Yes, yes.

7 Q If I were using a hand magnifying glass looking for
8 a single microbe on my hand, would I be able to see
9 it?

10 A No, sir.

11 Q If I did that test 10,000 times in a row, would I
12 ever be able to see any microbes on my hand?

13 A No, sir.

14 Q Now, the jury has already heard from a scientist
15 about the various types of asbestos, from a
16 pathologist. Could you explain from the material
17 science perspective, what is asbestos?

18 A Asbestos is a hydrated magnesium silicate, which
19 is -- kind of in terms of what you may know, it's
20 very much like the clay that's in the soil out
21 there. One form -- one type of it is similar to
22 what's -- clay minerals. It's in that category
23 called serpentines. The asbestos that is the
24 important type there is an asbestos called
25 chrysotile. You see it up in the upper right-hand

1 corner there in the green. That's what's called a
2 serpentine mineral. And the reason it's called
3 that is because it has a sheet like or a flat
4 structure. This -- chrysotile is actually rolled
5 up in a tube, so it's very much like a soda straw.

6 The other types of asbestos that you see there
7 are called amphiboles, and they have a structure
8 very much like railroad tracks that lay up on top
9 of each other. So they're very -- they're also
10 layered and they come in layered fibrils. So
11 they're classed anthophyllite,
12 actinolite/tremolite, crocidolite, and amosite.
13 They're all in those -- what was called the
14 amphibole mineral class.

15 Q And can some of the asbestos varieties be
16 contaminant with other materials?

17 A Absolutely.

18 Q Which types of asbestos are often found as
19 contaminants of talc?

20 A It depends upon the mineralogical formations, but
21 it can be tremolite and actinolite, it also can be
22 anthophyllite, and it can also be chrysotile.

23 Q Can asbestos -- I assume that when you're working
24 with asbestos in your lab, there are industrial
25 hygiene precautions that people take?

1 A Yes, we work within a safety hood.
 2 Q But can you smell asbestos or see asbestos under
 3 the naked eye?
 4 A No.
 5 Q How long does asbestos persist in the environment
 6 if it's there?
 7 A Well, it essentially can exist forever. It's sort
 8 of an unbelievably durable material that does not
 9 degrade very easily. It takes very, very high heat
 10 to degrade it and not very much else.
 11 Q How big or how small is an asbestos fiber?
 12 A This is a good demonstration. It shows a penny.
 13 There are rice grains there on the left-hand side.
 14 That's rice. There are human hairs around the 1996
 15 stamp that you can see there. And then at Abe
 16 Lincoln's beard, you can see what appear to be
 17 asbestos fibers. These are little, tiny white dots
 18 that you see there. And that's actually a pile of
 19 asbestos fibers. The estimate there is 20,000
 20 fibers, but you can see how small it is.
 21 Q Can asbestos fibers be seen with the naked eye even
 22 if you're exposed to a huge quantity?
 23 A If they are that small, no.
 24 Q Now, we talked -- we showed the jury pictures of
 25 TEM and PLM.

1 A Yes.
 2 Q How do you use a transmission electron microscope
 3 to test for asbestos in a material?
 4 A Well, the beautiful thing about the electron
 5 microscope is it's three tools in one. You can
 6 do -- you can look at the structure of the asbestos
 7 to see that it's a fiber. You can see that it's a
 8 bundle or a group of them clustered together. So
 9 that's one way you can tell what it is. You can
 10 also measure its size and get what's called an
 11 aspect ratio, its length and width, because that's
 12 specific for asbestiform asbestos.
 13 And No. 2, you can do the chemistry of those
 14 fibers. The electron microscope has attached to it
 15 an electron spectrometer which allows you to get a
 16 chemical fingerprint of that asbestos.
 17 And No. 3, it also allows you to do what's
 18 called electron diffraction. As the electrons go
 19 through the asbestos fiber, they break up very much
 20 like light goes through a prism. You've seen light
 21 break up in a prism and you get different colors.
 22 The same thing with electrons when they go through
 23 material. They'll break up and they'll make a
 24 pattern that is a fingerprint for that material.
 25 So it's a beautiful tool for that, three in one.

1 Q Is this a picture of one of the transmission
 2 electron microscopes at the MAS lab that's used to
 3 analyze materials to see if there's asbestos
 4 present?
 5 A Yes, yes, it is.
 6 Q Now, back to another tool for analysis is something
 7 called a scanning electron microscope. What is a
 8 scanning electron microscope, and how, if at all,
 9 is it used in analyzing materials for asbestos?
 10 A The difference between the two, the transmission
 11 electron microscope, the electrons go through the
 12 specimen and then you see the image of it on a
 13 plate inside a microscope.
 14 The scanning electron microscope, the
 15 principles are very much like you and I see here in
 16 the courtroom. We see each other because light is
 17 bouncing off of us and we can visualize each other.
 18 With a scanning electron microscope, when the
 19 electrons hit the specimen, they scatter off and
 20 there are detectors, and then what you get is what
 21 looks like a three-dimensional image. So with the
 22 scanning electron microscope, you can see some
 23 really super fine details, and we'll see some
 24 images of those.
 25 Q And finally, there's something called a polarized

1 light microscope. Is that something that's used in
 2 the laboratory to identify asbestos fibers in some
 3 circumstances?
 4 A Yes. That one is more of a bench size microscope,
 5 very much like you guys probably used in high
 6 school work. And what this does is, the
 7 mineralogical material, whether it's powder or
 8 fibers or whatever, are put in refractive liquids
 9 which allows you to look at the different light
 10 properties as light bends through the fibers or
 11 whatever you're looking at because you can look at
 12 it for other kinds of materials.
 13 So when you put a polarizer on that, it
 14 changes the light angles, that kind of thing. And
 15 as you rotate it, asbestos, different types of
 16 asbestos will have different kinds of color
 17 characteristics, and you can identify them based on
 18 those characteristics.
 19 Q Of these three types of tools for analysis, which
 20 is the most sensitive and specific when it's -- for
 21 purposes of identifying asbestos in either some
 22 kind of material or if you're going into a building
 23 or a school for asbestos remediation?
 24 A That would be the transmission electron microscope,
 25 yes.

1 Q Why is that?
 2 A Well, for the three reasons that I talked about
 3 before. You can do the chemistry, you can do the
 4 form and the shape, and you can do the
 5 crystallinity, the electron diffraction. The
 6 scanning electron microscope is very good, but you
 7 can't do the diffraction work. You can do the
 8 chemistry and you can do the morphology. So it
 9 would be the transmission electron microscope.
 10 Q How long has transmission electron microscope
 11 technology been around?
 12 A Oh, gosh. Probably since the 1930s, 1940s.
 13 Scientists, physicists in different laboratories
 14 around the world were working on electron physics,
 15 if you will, and they came up with the idea that
 16 very much like what happens in a light microscope,
 17 you use light rays to bend around or to go through
 18 something. What if we could use electrons to do
 19 that, because the wavelength of an electron is
 20 much, much smaller than light, so that would mean
 21 you could see many, many -- you could actually see
 22 atoms. So with these electron microscopes,
 23 especially the newer ones, you can actually see
 24 atomic arrangements.
 25 Q So what are we looking at here?

1 and analyses it did?
 2 A Yes.
 3 MR. FINCH: This is a document, Plaintiffs'
 4 Exhibit 181, from the files of Johnson & Johnson.
 5 We would offer 181. I believe it's stipulated.
 6 MR. BICKS: It's fine.
 7 THE COURT: The Court shows Plaintiffs'
 8 Exhibit 181 admitted without objection.
 9 BY MR. FINCH:
 10 Q This is a document from January 3, 1974. Johnson &
 11 Johnson writes, "Over the past three years, there
 12 seems to have been general agreement that
 13 transmission electron microscopy" -- I'm not going
 14 to be able to say that word right. I'm just not
 15 going to do it -- "is the only absolute proof with
 16 electron diffraction for the identification of
 17 asbestos in talc."
 18 First of all, do you see that, Dr. Rigler?
 19 A Yes, sir.
 20 Q And you're familiar with this document?
 21 A Yes.
 22 Q Do you agree that transmission electron microscopy
 23 is the best method with electron diffraction for
 24 the identification of asbestos in talc?
 25 A Yes.

1 A So this one is -- actually the only company in
 2 America that made electron microscopes is RCA. I
 3 don't know if any of you are old enough to remember
 4 RCA Victor and televisions and all this. Well,
 5 they made an electron microscope. This one was
 6 vintage 1940s. It's called -- I think this is an
 7 EMU2. There was an EMU1. But they -- a lot of
 8 early research was done with this kind of
 9 microscope. MAS actually has one, and I was
 10 involved in a refurbishing project for this. We
 11 cobbled a couple of microscopes together to make
 12 this one. And it would work if you wired it up.
 13 It would actually work.
 14 So this is a very basic one, and chemical
 15 companies actually in the '60s started using this
 16 to check purity of their powder chemicals. So you
 17 could look at the form of it. You could see the
 18 image of it. You could also do electron
 19 diffraction with these. There weren't electron
 20 spectrometers at the time to do chemistry, but you
 21 could definitely do diffraction.
 22 Q Did you receive certain internal documents of
 23 Johnson & Johnson that were obtained in discovery
 24 that related to its -- the tools it used to test
 25 its talc for asbestos and what kind of materials

1 Q And it goes on to state, "We have elected to use
 2 outside laboratories since any results generated
 3 in-house were suspect. In view of the latest
 4 findings at Windsor Minerals, it appears wise that
 5 we maintain a closer surveillance on both the ore
 6 and finished product on an in-house basis."
 7 And is using electron microscope a way to
 8 analyze talc for the detection of asbestos fibers?
 9 A Yes, sir.
 10 MR. FINCH: And could I have the ELMO, Jon.
 11 Q It says the approximate cost of a TEM plus
 12 accessories is \$175,000, and this would be in 1974
 13 dollars. Am I correct that a TEM is an expensive
 14 piece of equipment to operate and maintain?
 15 A Yes, sir, yes.
 16 Q Is it something that was available to Johnson &
 17 Johnson to purchase in 1974 or earlier, had it
 18 wanted to?
 19 A Yes, it was.
 20 Q What are we looking at here? Can you explain to
 21 the jury what we're seeing?
 22 A What we're seeing are asbestos structures. These
 23 are very small particles of asbestos. They are
 24 bundles and you can see some single fibers there.
 25 The one all the way over on the right is called a

1 cluster. As we do asbestos analysis, we have to
2 characterize whether it's a fiber, a bundle, a
3 cluster, a matrix, or a combination. That's all
4 part of the identification rules that have to be
5 documented.

6 Q In the bottom -- well, this isn't going to work
7 either. But this is a bar here? Can you all see
8 that?

9 A Yes.

10 Q 0.5, and what does that little symbol mean there?

11 A That's micrometers. And that -- just to give you
12 an idea of perspective, that's about half the size
13 of a bacterium. Bacteriums are in the realm of
14 about 1 to 2 microns, micrometers. So you can fit
15 about half of a bacterium on that right there. So
16 that shows you how small they are.

17 Q Now, is there a preparation methodology that you
18 followed in order to analyze talc for the presence
19 of asbestos?

20 A Yes.

21 Q Could you describe what that preparation method is
22 generally first, and then I'll have you explain why
23 you did that.

24 A All right. The preparation methodology is called
25 heavy liquid separation, and what that is is a --

1 it's based on separating talc and asbestos. The
2 density of talc is in the range of about 2.85 grams
3 per cc, I believe it is. So the density for the
4 amphibole asbestos -- if you'll remember, we've got
5 the group of amphiboles and we have the single
6 serpentine type -- is in the range of around
7 3 grams per cc. That's important because you're
8 going to put them in a liquid that has a density
9 that is different from them, which means they are
10 either going to float or they're going to go to the
11 bottom.

12 And the issue with talc is there is a lot of
13 it. If you're looking for trace levels of asbestos
14 in that, you've got to separate them, get them
15 separated. So this is why we do this.

16 Q Is there peer-reviewed scientific literature that
17 discusses the heavy liquid separation method?

18 A Yes, there are a couple of them, yes.

19 Q Are you familiar with this paper published by a
20 scientist named A.M. Blount in 1991 called
21 "Amphibole content of cosmetic and pharmaceutical
22 talcs"?

23 A Yes.

24 Q Does this paper lay out her methodology for
25 preparing the talc samples in order to analyze them

1 for the detection of asbestos content?

2 A Yes, sir.

3 Q She reports publicly in the paper what she finds
4 when she did that?

5 A Yes.

6 Q Does she -- in this publicly available paper, does
7 the paper state what the sources -- which sources
8 of talc were contaminated with asbestos?

9 A I'd have to look at the paper again, but I don't
10 believe it identifies them specifically.

11 Q It doesn't name the products, for example?

12 A I don't think so.

13 Q Now, is the heavy liquid separation method also
14 part of an International Standards Organization
15 method for detecting asbestos in materials?

16 A Yes. This is a standard method which takes that
17 procedure that we just talked about and outlines
18 exactly how to do that, very much like Dr. Blount's
19 protocol and procedure, and this is an
20 international standard that was used.

21 Q And I showed you the cover page. Let's make it a
22 little bigger and hopefully the jury and I can both
23 see it. It says the International Standards
24 Organization "is a worldwide federation of national
25 standards bodies (ISO member bodies)."

1 So ISO is the organization that put out this
2 standard to do this; is that right?

3 A Yes, sir, yes.

4 Q And the date, you all may not be able to see the
5 date. But can you read the date this was
6 published?

7 A 2014.

8 Q Is ISO 2226-2 the international standard that
9 specifies the procedure for analyzing talc for --
10 to determine if asbestos is in it?

11 A Yes. It describes procedures for separating
12 asbestos from a number of different kinds of
13 materials that you see there. Talc is highlighted.
14 So it's used specifically for that.

15 Q And another material is vermiculite. Do you see
16 that?

17 A Yes.

18 Q Was vermiculite insulation contaminated with
19 asbestos something that was used in the World Trade
20 Center?

21 A Yes.

22 Q Is this methodology recommended for detecting trace
23 or subtrace levels of asbestos in vermiculite as
24 well as talc?

25 A Yes, sir.

1 Q When I say trace or subtrace, does that necessarily
 2 mean that there could not be a high exposure to
 3 asbestos from a product that has a trace or
 4 subtrace level of asbestos in it?
 5 A Right. Even though it says trace, you have to
 6 remember that like the penny, where you saw the
 7 20,000 fibers on that little tiny space, when you
 8 say trace in a material, let's say it's trace
 9 asbestos, .1 percent or something like that, when
 10 it's thrown up in the air, when it gets airborne,
 11 trace doesn't mean a whole lot when it comes to
 12 exposure. You can still be exposed to a
 13 significant amount of it simply because there are
 14 so many asbestos structures.
 15 Q And does ISO International Standard 22262-2 talk
 16 specifically about using this methodology on
 17 cosmetic talc to detect asbestos in it?
 18 A Yes. You see here that it does. That's
 19 specifically from the method, yes.
 20 Q Let's go through the columns just so I can
 21 understand what we're looking at here. So it's
 22 talking about talc asbestos content depends on
 23 deposits, and then it gives examples of materials
 24 in which talc is found; is that right?
 25 A Yes.

1 Q Including cosmetics?
 2 A Yes.
 3 Q And then what is the third column there?
 4 A That talks about the kinds of asbestos that can be
 5 separated with the technique.
 6 Q And then it says, under -- "For amphibole, either
 7 centrifugation in heavy liquid, followed by
 8 evaluation by microscopy, or preparation of TEM
 9 from the untreated material is the optimum
 10 procedure, followed by examination using the mass
 11 counting procedure."
 12 What are we talking about there?
 13 A It says that this -- that heavy liquid is the
 14 optimal procedure for doing this, and then you use
 15 the transmission electron microscope for also
 16 examining the amount of material that's there. So
 17 it says this is the best way to do it.
 18 Q And why isn't this the optimal way to look for
 19 chrysotile in talc or vermiculite?
 20 A I'm glad you asked me that. I started to talk
 21 about densities. Chrysotile has a density of
 22 around 2 -- 2.8, somewhere in that range, and the
 23 liquids that you use to do the separations, that
 24 chrysotile is in that same sort of density range as
 25 talc is. So it's very difficult to separate

1 chrysotile with this method. Don't get me wrong,
 2 the scientists will keep on digging until we can
 3 find how to get it done and get it separated.
 4 But -- and it can be done with this methodology.
 5 But chrysotile is a big challenge. It could
 6 be there. You've done the method. You've found
 7 the amphiboles types. Chrysotile is still there
 8 because it's harder. You haven't separated it yet.
 9 So that doesn't mean chrysotile is not there if you
 10 run the procedure.
 11 Q Now, Dr. Blount published in this peer-review
 12 literature the heavy liquid concentration technique
 13 in 1991, and this International Standards
 14 Organization ISO 22262-2 was 2014. Have you seen
 15 documents from the files of Johnson & Johnson that
 16 showed that this type of methodology, this type of
 17 preparation technique was being used by some of its
 18 outside consultants in the '70s?
 19 A Yes.
 20 MR. FINCH: Your Honor, this is Exhibit P-358,
 21 which I believe is stipulated admissible.
 22 MR. BICKS: No objection.
 23 THE COURT: The Court shows Plaintiffs'
 24 Exhibit 358 admitted without objection.
 25 BY MR. FINCH:

1 Q So this is a document --
 2 MR. FINCH: May I have the ELMO just for a
 3 second, Jon.
 4 Q This Plaintiffs' Exhibit 358 is a confidential
 5 document dated March 1974 to Windsor Minerals,
 6 Windsor, Vermont.
 7 Do you understand that Windsor Minerals is the
 8 subsidiary that owned the talc mines owned by
 9 Johnson & Johnson?
 10 A Yes, sir.
 11 Q And it's from somebody named R.C. Reynolds at the
 12 Department of Earth Science in Dartmouth, New
 13 Hampshire?
 14 A Dartmouth College, yes.
 15 Q Right, Dartmouth College.
 16 Analysis of talc products and ores for
 17 asbestiform amphiboles; right?
 18 A Yes, sir.
 19 Q Does this memorandum describe --
 20 MR. FINCH: Maybe I'll go back to the
 21 PowerPoint, Jon.
 22 Q -- this heavy liquid concentration technique as a
 23 way to optimize the ability to identify asbestos in
 24 talc?
 25 A Yes. They knew about that. They were working on a

1 method for that and said, yes, that's a great way
 2 to do it.
 3 Q What they -- what the Dartmouth people say is, "For
 4 the reasons described above, a concentration
 5 technique is mandatory because it brings the
 6 amphiboles into a reasonable concentration range
 7 for optical or other methods of analysis. Such a
 8 method has been developed, and it's described in
 9 this report."
 10 And the report goes on for something like 25,
 11 30 pages, right, Dr. Rigler?
 12 A Yes, yes.
 13 Q Do they actually show an example of the tool used
 14 to separate out the talc from the heavier
 15 materials?
 16 A Yes.
 17 Q What are we looking at here?
 18 A What they have is a test tube that has -- it's been
 19 filled with the heavy liquid material and mixed --
 20 they mix the talc in with it. So here they're
 21 actually putting it together and they mix it up,
 22 and then they put it into a centrifuge. And then
 23 when they apply the centrifugal force to it, the
 24 talc and the amphibole asbestos, because the
 25 densities are different, will separate. The talc's

1 going to go to the top. The heavy amphibole
 2 asbestos is going to go to the bottom. So that's
 3 what they're showing here.
 4 Q And on page 7 of the document, do they describe --
 5 well, let me back up.
 6 What are we looking at on the right here
 7 versus what are we looking at on the left?
 8 A On the right is a small centrifuge, a test tube
 9 that is used at the laboratory, our laboratory,
 10 MAS's laboratory, to do the same exact kind of
 11 separation, whereby you put the talc mixed with the
 12 heavy density fluid into the centrifuge. In this
 13 case it's a small centrifuge tube, and then you
 14 centrifuge it at high speed, and then you recover
 15 the heavy asbestos from the bottom of the tube and
 16 the talc goes to the top.
 17 Q And the picture from the Dartmouth document is
 18 here.
 19 A Yes.
 20 Q And on the right, that's just a picture of a test
 21 tube used at the lab you were with?
 22 A Yes.
 23 Q And then you stick it into some kind of centrifuge
 24 machine?
 25 A Yes, sir.

1 Q And then that spins really fast?
 2 A Yes.
 3 Q And it separates out the heavy stuff from the
 4 lighter stuff -- or the denser stuff from the less
 5 dense stuff?
 6 A Correct.
 7 Q And in this Exhibit 358, do they describe both what
 8 they used as the heavy liquid for the centrifugal
 9 separation of fiber form amphiboles from talc as
 10 well as what they found?
 11 A Yes, yes, they did.
 12 Q Could you just read that for us.
 13 A Yes, sir. "Mixtures of bromoform, methyl iodide,
 14 and benzethonium chloride monohydrate provide a
 15 suitable heavy liquid for the centrifugal
 16 separation fiber form amphiboles from talc."
 17 Q And they write, "The ore sample contains 2300 ppm
 18 actinolite, and the talc product contains
 19 approximately 170 ppm actinolite."
 20 And what is 170 ppm in percentages?
 21 A The 170 ppm is about .1 percent.
 22 Q .017 percent?
 23 A Yes, excuse me, .017 percent.
 24 Q And do they go on to write that "Actinolite is the
 25 dominant fiber form amphibole in the ore and the

1 talc product provided by Windsor Minerals. Small
 2 amounts of anthophyllite may be present?"
 3 A Yes.
 4 Q What does it mean when some -- a document says,
 5 "fiber form amphibole" in the scientific
 6 literature?
 7 A They're talking about the fact that it is fibrous
 8 and it meets the definition of an asbestiform
 9 asbestos type.
 10 Q And in this same document, do -- unfortunately, we
 11 only have the -- do they have a picture of what --
 12 the asbestos fibers that they identified?
 13 A Yes. They're showing in that picture, the red
 14 circle, they're pointing to the long fiber there
 15 that's an anthophyllite fiber and the shorter,
 16 thicker, fatter fiber is called actinolite. And
 17 they're both in that preparation of talc that was
 18 from this mine.
 19 Q This is in Plaintiffs' Exhibit 358, Plate 7, and
 20 the Bates label at the end is 29429? I don't know
 21 if that's -- it is on the bottom of the screen.
 22 A Yes.
 23 Q Is that correct?
 24 Are you familiar with the Ontario Department
 25 of Mines' asbestos identification protocol relating

1 to mineralogy of asbestos?
 2 A Yes.
 3 Q Could you explain to the jury what that is.
 4 A This is a definition of asbestos that has in its
 5 defining terms as being a substance that has
 6 flexibility, tensile strength is usually high,
 7 tensile strength is what they'll say, and the
 8 asbestiform growth habit.
 9 Q Is there any way on a microscopic level to detect
 10 for flexibility or tensile strength?
 11 A No. Unfortunately, this is part of the definition
 12 for the bulk or the larger form of it. If you have
 13 a big piece of asbestos in your hand and it has
 14 asbestos fibers sticking out of it, you could apply
 15 that to that kind of sample. But when you get down
 16 to the level of the electron microscope where you
 17 have these tiny fibers that float around and you
 18 breathe in, those, as far as doing tensile strength
 19 test, you can't do that. So yeah.
 20 Q What about asbestiform growth habit, what's that?
 21 A Well, that is describing that it is in a fibrous
 22 form and that it grows from what they call a
 23 crystalline fibrous kind of habit.
 24 Q And can you tell at a microscopic level what habit
 25 a 5-micron long asbestos fiber came from?

1 that came about in the '80s simply because we
 2 discovered asbestos was very bad and that there was
 3 quite a bit of it in schools around the country.
 4 So the edict was to get it out of the schools;
 5 thus, the act itself.
 6 And in that document are descriptions for the
 7 analysis of asbestos in bulk materials and also in
 8 air samples. So when you're clearing -- when you
 9 actually take it out, you have to check and see
 10 that there isn't any asbestos fibers in the air
 11 once you do that too.
 12 So the act describes the procedures for the
 13 analysis, and it uses transmission electron
 14 microscopy and the steps that we talked about
 15 earlier on looking at the shape and form of the
 16 asbestos, the chemistry of the asbestos, and also
 17 the crystallinity of diffraction pattern. So
 18 that's --
 19 Q Is that the three-step method you're talking about
 20 here?
 21 A Yes.
 22 MR. FINCH: Your Honor, we're getting ready to
 23 change topics and go into more detail on this.
 24 Would this be an appropriate time for the lunch
 25 break?

1 A Yes. Usually it will have parallel sides, the
 2 structure will. You'll also be able to see
 3 individual fibers in these, what they call bundles.
 4 And so you can see the individual fibers, and
 5 that's called an asbestiform habit.
 6 It'll also have a specific length-to-width
 7 ratio, what they call an aspect ratio, and that's
 8 important because the regulatory agencies say that
 9 it needs to be at least three-to-one aspect ratio,
 10 that is three times longer than it is wide or five
 11 times longer than it is wide. And that depends
 12 upon the agency. So that's important.
 13 Q For purposes of a regulated asbestos fiber, and by
 14 that I mean if you find it in the environment,
 15 whether you call it asbestos or not, has the United
 16 States EPA put out rules for how you identify
 17 asbestos as compared to something else?
 18 A Yes.
 19 Q We're looking at something called the EPA/AHERA.
 20 What is the EPA/AHERA methodology and can you just
 21 describe how it plays into defining asbestos in the
 22 environment or asbestos in the building or
 23 something.
 24 A Okay. The AHERA stands for Asbestos Hazardous
 25 Emergency Relief Act, and this was a regulation

1 THE COURT: Yes, we can do that.
 2 Before we leave, another admonishment. We're
 3 going to take a lunch break now. Please be back
 4 at -- let's see, it's ten after 12. Why don't we
 5 make it 1:15.
 6 I have told you not to talk about the case and
 7 to keep an open mind concerning it. You're not to
 8 discuss the case or the evidence in the case with
 9 anyone else, and you are not to permit anyone else
 10 to talk to you or in your presence on any subject
 11 or matter connected with this trial.
 12 It is your duty to keep an open mind about the
 13 case until it is submitted to you for deliberation.
 14 Furthermore, during a recess, you should not talk
 15 to any of the attorneys, their staff, any witnesses
 16 about anything, not even to pass the time of day.
 17 You must be and appear to be impartial at all
 18 times.
 19 In addition, jurors are not allowed to read
 20 anything concerning any of the parties or products
 21 in this case. This includes simply reading the
 22 paper, listening to the radio, or internet
 23 articles. Also, you may not Google anything
 24 concerning the parties or products involved in this
 25 case on your phone. You must be and appear to be

1 impartial at all times.

2 Lastly, the Indiana Code of Judicial Conduct
3 prohibits broadcasting, televising, recording, or
4 taking photographs in a courtroom and areas
5 immediately adjacent during sessions of court or
6 during any recesses of the trial.

7 With these admonishments, you are released for
8 lunch. Thank you.

9 THE BAILIFF: All rise.

10 (Whereupon, the jury exited the courtroom.)

11 THE COURT: All right. Thank you.

12 (A lunch recess was taken.)

13 THE COURT: We're on the record, so we can
14 begin. This is argument concerning Plaintiffs'
15 offer to enter into evidence a Johnson & Johnson
16 Consumer, Inc., notice to voluntarily recall a
17 single lot of Johnson's baby powder in the United
18 States.

19 MR. BICKS: Your Honor, can I just -- before
20 we do this, should the witness be in here when
21 we're having the argument?

22 THE COURT: Oh, certainly -- I mean certainly
23 not. So sir, if you could please -- and you're
24 welcome to step into the court office, and they can
25 show you to one of the hearing rooms if you'd like

1 detected in any Johnson & Johnson's product, no
2 asbestos detected in the mines that Johnson &
3 Johnson was using. They tested the source mine.
4 They tested the finished product. This is what the
5 FDA did."

6 Now we find out that sometime prior to this
7 morning, the FDA told Johnson & Johnson that it
8 indicated subtrace levels of chrysotile asbestos
9 contamination in Johnson's baby powder. This is
10 the China mine. And while I don't contend that
11 Melody Lewis used this particular bottle of baby
12 powder, I do -- there is evidence in the record she
13 continued using Johnson's baby powder up through
14 the year 2018. And while that may not have been
15 the exposure that contributed to her cancer, the
16 dispute between the experts as to the adequacy of
17 the testing and whether there's ever been asbestos
18 detected in Johnson & Johnson and whether the
19 limitations of the test methodology followed by the
20 FDA and Johnson & Johnson are sufficiently
21 sensitive has been an issue throughout this case.

22 So -- and furthermore, we have not had the
23 opportunity for discovery about this document.
24 Obviously we couldn't have added it to our exhibit
25 list until right now because it didn't exist until

1 a seat.

2 THE WITNESS: Thank you.

3 THE COURT: And just tell them that I said to
4 let you in.

5 THE WITNESS: Thank you.

6 MR. FINCH: May it please the Court, Your
7 Honor. Plaintiffs' Exhibit 4 is a document that
8 just was created by Johnson & Johnson approximately
9 8 a.m. this morning. It is an admission of a party
10 opponent. It is -- I believe there's no dispute
11 about its authenticity. Mr. Bicks alerted me to
12 its existence this morning.

13 From the outset of this case, the testing
14 methodology and adequacy of the testing methodology
15 to detect asbestos in talc is a central dispute
16 between the parties.

17 Jon, do you have the opening? I was going to
18 put it up on the screen, but in yesterday's opening
19 statement, Mr. Bicks says, "The FDA also tested
20 Johnson & Johnson's talc in China. This isn't
21 Johnson & Johnson's testing. This is the FDA.
22 From time to time they've tested Johnson &
23 Johnson's talc. They went and hired an independent
24 laboratory to do it. These are the test results.
25 At the end of the day, there is no asbestos

1 right now.

2 I find it astonishing that a lawyer for
3 Johnson & Johnson could stand up in open court and
4 say the FDA has repeatedly tested our products,
5 including our China mines, which is the mines
6 they've been using since 2003, and they've never
7 detected any asbestos.

8 I find it hard to believe that -- this is a
9 press release issued by the company. I find it
10 hard to believe that Johnson & Johnson was not
11 aware of the results of this FDA test prior to
12 yesterday. It's not like -- it's not like the FDA
13 will notify Johnson & Johnson at 6 a.m. this
14 morning and Johnson & Johnson will put out a press
15 release at 8 a.m. That just can't possibly happen.

16 If you look at the end of the document, it's
17 got all the boilerplates, note to investors
18 concerning forward-looking statements. I've done a
19 fair amount of securities litigation in my life,
20 Your Honor, and nothing goes out in a press release
21 without corporate lawyers looking at it six ways
22 from Sunday. So clearly Johnson & Johnson's
23 corporate lawyers had advance notice this was
24 coming before Mr. Bicks stood up and made his
25 representations to this jury in open court.

1 So either one of two things has happened.
 2 Either Mr. Bicks, knowing the FDA had told
 3 Johnson & Johnson of this positive test result,
 4 nonetheless said what he said, or perhaps much more
 5 likely, Johnson & Johnson did not notify Mr. Bicks
 6 about this positive test result.

7 Either way, it's an admission of the party
 8 opponent. It's relevant to the issues of the case.
 9 It's connected to an exhibit that Johnson & Johnson
 10 added to its exhibit list just last week. They had
 11 a letter from the FDA in September of 2019 that
 12 said, in effect, although the results of testing
 13 are preliminary, we're still looking at it, we
 14 don't think there's any asbestos in the baby powder
 15 we're testing.

16 Your Honor entered an order allowing them to
 17 add a September 2019 document from the FDA to their
 18 exhibit list. Apparently they had a document from
 19 the FDA that was a communication from the FDA.
 20 We've been on the website of the FDA all morning
 21 and couldn't find whatever it is the FDA gave to
 22 Johnson & Johnson.

23 But I think under any analysis, this meets all
 24 four tests for admissibility. It's an authentic
 25 document. It is a statement against interest and

1 an admission of a party opponent. It's clearly
 2 relevant to the issues in this case. And I think
 3 the fact that we didn't have it on our exhibit list
 4 last week is excused by the fact that it didn't
 5 exist until this morning. This is like, if a
 6 lawyer stands up in opening statement in a car
 7 wreck case and says, the brakes were totally fine,
 8 and then it turns out that a mechanic's report is
 9 in the files of his client and somehow it gets
 10 turned over to the plaintiff the very next morning,
 11 it would obviously be admissible in that context.

12 So for all those reasons, Your Honor, we would
 13 respectfully request, A, to be able to amend our
 14 exhibit list to add Plaintiffs' Exhibit 4 to it;
 15 and B, to offer this document into evidence so that
 16 the jury can see it as part of the evidence of this
 17 case, and there would be absolutely no possibility
 18 of any kind of a mistrial because somebody might
 19 have seen something about it in the press or
 20 anything like that.

21 THE COURT: And Mr. Bicks.

22 MR. EATON: Your Honor, Joe Eaton.

23 THE COURT: I'm sorry, Mr. Eaton.

24 MR. EATON: I'm going to help Mr. Bicks out
 25 with this one.

1 So Your Honor, first, we too just got notice
 2 of this press release this morning. And instead of
 3 rushing to judgment on this issue, we would ask
 4 that the Court allow the parties to brief this
 5 issue, file a motion in limine. In particular,
 6 there's a couple other legal issues.

7 They've argued it's an admission of a party
 8 opponent. But under 407, this is clearly a
 9 subsequent remedial measure. They're trying to use
 10 it to prove negligence. They talked about culpable
 11 conduct and our testing methods. They're trying to
 12 use it show that a warning or a different warning
 13 should have been given, all precluded by Rule 407.
 14 There's ample case law, Indiana State Court,
 15 Seventh Circuit, Southern District of Indiana,
 16 excluding a voluntary recall just like in this
 17 instance that's issued years and years after the
 18 initial -- certainly the initial alleged exposure.
 19 The issue about 2018, I don't think there's any
 20 testimony by Melody Lewis that she bought her
 21 Johnson's baby powder online. The issue on the
 22 recall is one limited lot that was purchased from
 23 an online retailer.

24 So several issues, I think, that we should
 25 have ample time, Your Honor, to brief the issue to

1 bring those to you, because they're inviting error
 2 here because --

3 THE COURT: Now, when you say "ample time,"
 4 what are you thinking of?

5 MR. EATON: We'll file a brief tomorrow, and
 6 we can argue it at the break of Hopkins on Monday.
 7 We can file -- they can file their response Monday.
 8 Dr. Rigler's coming back Tuesday, so the rush here
 9 to get this in today, on Friday afternoon, with
 10 Rigler doesn't seem warranted in this instance.

11 This is a press release that came out at 8:38
 12 this morning. And there was a discussion,
 13 Mr. Bicks disclosed to the Court about the
 14 existence of the recall, disclosed it to
 15 plaintiffs' counsel. There were some discussions
 16 that they wouldn't use it. And so now that they
 17 want to use it with Rigler, we'd just like the
 18 opportunity to brief this, because I think they're
 19 inviting the error here, Your Honor, because it's
 20 clearly inadmissible under 407. And we can brief
 21 the issues on whether it's an admission of a party
 22 opponent.

23 MR. FINCH: May I be heard on the 407 issue?
 24 Rule 407, subsequent remedial measures, when
 25 measures are taken that would have been made an

1 earlier injury or harm less likely to occur,
2 evidence of the subsequent measures is not
3 admissible to prove negligence, culpable conduct,
4 design defect, or a need for a warning or
5 instruction. We're not offering it for any of
6 those reasons.

7 Rule 407 goes on to say, "The Court may admit
8 this evidence for another purpose, such as
9 impeachment."

10 It is impeaching, A, to their testing expert.
11 It's impeaching to the company. The company has
12 taken the position that there have never, ever been
13 any asbestos fiber ever. No. 3, it is not the
14 recall that we seek to admit. It's the fact that
15 the FDA told Johnson & Johnson and Johnson &
16 Johnson admitted that there was chrysotile asbestos
17 in the baby powder.

18 And furthermore, Your Honor, if we wait until
19 Monday, I -- there are few certainties in life, but
20 I suspect the first thing we'll be hit with Monday
21 morning is a motion for mistrial because the
22 possibility the jurors may have seen something
23 about this on the news or over the weekend just
24 because it's going to pop up on, you know --
25 they're watching football and there's something

1 about Johnson & Johnson. They can't turn the
2 channel fast enough. If this becomes evidence in
3 this case, then it can't possibly create a mistrial
4 situation.

5 So for all those reasons, Your Honor, I think
6 it is proper to admit the document now, allow us to
7 publish it to the jury now, and to then continue on
8 with Dr. Rigler's testimony. And I won't ask
9 Dr. Rigler any questions about this.

10 THE COURT: So you're saying you want to offer
11 it for purposes of impeachment so that you can
12 question the witness as to the FDA's knowledge and
13 their reporting?

14 MR. FINCH: No, as to Johnson & Johnson's --
15 it impeaches Johnson & Johnson's credibility, it
16 impeaches Johnson & Johnson's testing methods, and
17 it impeaches the FDA's testing methods in the sense
18 that the FDA has said that, up to now, it has not
19 found asbestos in baby powder but now apparently
20 it's using more sensitive techniques and it has.
21 So I think --

22 THE COURT: So you want to use it when
23 Johnson & Johnson begins putting their witnesses
24 on?

25 MR. FINCH: I want to cross-examine their

1 witnesses, and I haven't thought through, how could
2 Dr. Rigler have put this as part of his reliance
3 materials if it didn't exist before today.

4 THE COURT: So you don't intend to use it
5 today?

6 MR. FINCH: I don't intend to use it today,
7 but I do intend to publish it to the jury today.
8 We don't have to have any expert testimony about
9 it, but I do intend to publish it to the jury right
10 after lunch and then continue with Dr. Rigler.

11 MR. EATON: Your Honor, it's true that
12 impeachment is an exception to Rule 407 and the
13 admissibility of subsequent remedial measures.
14 This isn't a witness for Johnson & Johnson. If
15 they want to cross the J & J witness, the first one
16 to testify now through the reordered schedule is
17 Dr. Sanchez on Thursday. We still think that it's
18 inadmissible even for those purposes, but if there
19 is an exception under 407 --

20 THE COURT: Inadmissible for purpose of
21 impeachment?

22 MR. EATON: Potentially, yes, because of the
23 prejudicial impact. But if it is admissible or if
24 it can be used, it can only be used for
25 impeachment, and that is of a witness proffered by

1 J & J, not an expert for the plaintiff.

2 THE COURT: Which we're not there yet. But
3 the other concern, it sounds like, from plaintiffs
4 is the possibility of mistrial if this would -- how
5 this might impact the jury over the weekend.

6 So can you address that, please.

7 MR. EATON: Your Honor, you've admonished the
8 jury every day. There's information about lawsuits
9 all over the place on the web. So you've
10 admonished them, and we're assuming that they're
11 following your admonishment not to -- you said
12 don't watch TV, don't use social media, and we're
13 expecting the jury to abide by your admonishment
14 and your regulations. We've done that since the
15 beginning of jury selection. And there's lots of
16 things out there that the jury could Google. So we
17 think that admonishment should carry over for the
18 weekend.

19 MR. BICKS: And also, it's not an argument.
20 The arguments against admission of this have
21 already been made and are well-founded. It's not a
22 rationale to ignore the rules of evidence because
23 it could avoid a mistrial, because allowing
24 something in, that shouldn't be allowed in, is
25 going to create error. So that's -- I don't know

1 the rationale, let's do something that we shouldn't
2 do because there's a possibility of media
3 attention, I don't think is a persuasive rationale.

4 MR. FINCH: Your Honor, this is no different
5 than if a company published an admission on its --
6 if it published -- if this happened a month and a
7 half ago, it would clearly be on our exhibit list.
8 It would clearly be admissible, just like the
9 letter that Johnson & Johnson has from the FDA is
10 admissible, because it -- it's like a piece of
11 literature in the scientific regime if it comes out
12 a few days before trial.

13 I mean, one of the debates is, today, based on
14 what we know today, can asbestos cause
15 mesothelioma. Based on what we know today, can
16 asbestos from talc cause mesothelioma. Based on
17 what we know today, can asbestos be detected in
18 Johnson's baby powder. That's a central dispute
19 between the parties.

20 And their position is the FDA has repeatedly
21 tested our product and never, never, ever found any
22 asbestos. When Mr. Bicks stood up in court and
23 said that yesterday, either he was not telling the
24 truth to this jury or Johnson & Johnson hadn't told
25 him the results of this. I don't know which to

1 believe.

2 But I think this is highly relevant. I don't
3 think Rule 407 has anything to do with the
4 admissibility of the document. We're not
5 talking -- if you want to redact the words
6 "voluntary recall," instead the only portion of the
7 document would say, "United States, a single lot of
8 its Johnson's baby powder in response to a Food &
9 Drug Administration test indicating the presence of
10 subtrace levels of chrysotile asbestos
11 contamination in samples from a single bottle
12 purchased from an online retailer."

13 I mean, that's really what we want the jury to
14 see, is the Johnson & Johnson logo and
15 New Brunswick, New Jersey, and then "in response to
16 a U.S. Food & Drug Administration test indicating
17 the presence of subtrace levels of chrysotile
18 asbestos contamination, no greater than point bunch
19 of zeros 2 percent in samples from a single bottle
20 purchased from an online retailer." Everything
21 else, if there's any concern about Rule 407, we
22 could redact out of the document.

23 MR. BICKS: Just -- the one point that he's --
24 we're making predictions about things that may have
25 happened. Our responsibility is to bring things to

1 the Court's attention, which is why I did it this
2 morning. The letter that got on our exhibit list
3 we put on as soon as we got it. We haven't even
4 used it yet. And I didn't use it in opening
5 statement.

6 If it turns out that when Dr. Sanchez or in
7 some event I come out and say, oh, we just got a
8 letter from the FDA, you know, two weeks ago, which
9 we did, that said they had done testing and they
10 didn't see anything, then it's a different
11 situation. But we haven't even done that yet. I
12 didn't introduce any of that. We haven't even
13 gotten to our case, and I didn't say anything about
14 it.

15 So I think the prudent course here is to just
16 have this laid out legally and then when it's in
17 our case, if we raise something that implicates
18 this, then we deal with it. But I can tell you, in
19 looking at this, and knowing this area, Your Honor
20 has seen a lot of information so far about test
21 results which are later not validated because of
22 contamination issues. And even their expert,
23 nobody on their side has found chrysotile in
24 Chinese talc. Their expert, over 90 samples
25 tested, and nobody is saying this. They're not

1 even maintaining in this case that this type of
2 asbestos is in Chinese talc, which makes this even
3 more questionable reliability, which is one of the
4 reasons people are examining this right now.

5 And I would also add that the comments were
6 made in the opening by Mr. Finch in essence that
7 what happened in China is irrelevant to the case.
8 And I believe, because they offered expert
9 testimony by Dr. Brody that said exposures going
10 back 10 to 15 years from the date of the diagnosis
11 are not relevant to the disease.

12 And so he's made at least two statements on
13 the record now in essence saying to the jury that
14 Chinese talc really doesn't have anything to do
15 with the case. And I've seen that now back in our
16 office before I came over here. So now pivoting
17 and now saying a test that's done after diagnosis
18 and after the plaintiff stopped using the product
19 of one sample online, that people are now
20 investigating the reliability of it, is going
21 pretty far afield here.

22 MR. EATON: And Your Honor, the rush here,
23 we'll -- I'm speaking for others back at the
24 office. We will agree to file our brief by 6 p.m.
25 We will e-mail it to plaintiffs. They'll have it.

1 They can respond. And we can address this first
2 thing -- obviously it's not an issue for Hopkins
3 Monday, so we can have argument Monday. The rush
4 here is part of the concern about inviting error
5 with a document like this.

6 MR. FINCH: May I be heard?

7 THE COURT: And concluding remarks from
8 Mr. Finch.

9 MR. FINCH: Yes, Your Honor. As to -- a
10 document or a thing can be admissible for more than
11 one purpose. I'm not contending that this lot of
12 Johnson's baby powder caused or contributed to
13 Melody Lewis' mesothelioma. What I am contending
14 is Johnson & Johnson has taken the position and has
15 in opening and in documents already in this case,
16 that its testing methods are good enough to detect
17 any level of asbestos and asbestos would never,
18 ever, ever get through. They're going to hear that
19 from Hopkins in his testimony that we've tested
20 this stuff. You heard it in some of the
21 cross-examination of our witnesses, that there was
22 testing done and that Johnson & Johnson's position
23 is, our testing is so good, there could never be
24 asbestos get through, ever.

25 And this impeaches that, Your Honor. So

1 that's why it's relevant, and it's relevant all the
2 way back to the beginning of time for whenever
3 Johnson & Johnson started testing.

4 As to his response that chrysotile is not
5 detected in Chinese talc, as Dr. Rigler explained
6 this morning, using the heavy liquid density
7 separation techniques, you look for amphiboles.
8 They haven't been able to develop that to look for
9 chrysotile. So the fact that they found this in
10 Chinese -- found some chrysotile without apparently
11 using that technique is evidence that there is
12 asbestos contamination in talc and that Johnson &
13 Johnson's testing methods are not sufficiently
14 adequate to prevent that.

15 So I think for all those reasons -- and
16 finally, Your Honor, you can't close your eyes to
17 the real world. People -- anybody who has a
18 Twitter account, even if they're not looking, if
19 they're not actively looking for anything about
20 Johnson & Johnson -- I believe the jury will follow
21 Your Honor's instructions. I am -- I am concerned
22 that we will be hit with a mistrial motion first
23 thing Monday morning because they will say, this
24 was on Twitter, this was on CNN Alerts, this was on
25 this, this was on that. And I have no doubt that

1 our jury will not go out and seek any information,
2 and I have no doubt as soon as they see anything
3 like that, they won't look at it. But this is an
4 admission of a party opponent that is clearly
5 relevant under all the bases for admission against
6 a party opponent, doesn't violate Rule 407, and we
7 would like to read the sentence out of it once the
8 jury comes back.

9 THE COURT: You'd like to read the sentence?

10 MR. FINCH: The sentence that would have
11 Johnson & Johnson's letterhead, the New Brunswick,
12 New Jersey, October 2019, "In response to a U.S.
13 Food & Drug Administration (FDA) test indicating
14 the presence of subtrace levels of chrysotile
15 asbestos contamination no greater than
16 .00002 percent in samples from a single bottle
17 purchased from an online retailer."

18 THE COURT: That's the part you want to read?

19 MR. FINCH: Can I highlight what I want to
20 read so I -- for the avoidance of doubt, as
21 corporate lawyers might say.

22 So it would read for the record, "Johnson &
23 Johnson, our company, New Brunswick, New Jersey,
24 October 18, 2019. In the United States of a single
25 lot of its Johnson's baby powder in response to a

1 U.S. Food & Drug Administration test indicating the
2 presence of subtrace levels of chrysotile asbestos
3 contamination no greater than .00002 of a percent
4 in samples from a single bottle purchased from an
5 online retailer."

6 That's the portion I would like to read to the
7 jury. And we would redact the document when we
8 have the time to do so, and that would be the only
9 portion of Plaintiffs' Exhibit 4 we would seek to
10 offer.

11 THE COURT: Do you want to look at this?

12 MR. BICKS: And so you have our position on
13 it.

14 THE COURT: I do.

15 MR. BICKS: And, I mean, we would object to
16 this.

17 THE COURT: Yes. The Court knows that the
18 parties are going -- even if they adhere to the
19 Court's admonishment, are bound to see a limited
20 amount of information about this. And I see the
21 value in informing them within the context of the
22 courtroom setting so that they know and can ignore
23 it going forward into any further investigation or
24 articles.

25 So the Court has heard your objection. And I

1 will take -- the parties will have the opportunity
2 to provide the Court with briefs as to admission of
3 the balance of the document, but the Court admits
4 that sentence today.

5 MR. BICKS: Well, then, Your Honor, if we're
6 going to do that, then I'd like to have another
7 part of it then admitted. Can I have the
8 highlighter?

9 THE COURT: It's the official highlighter.

10 MR. EATON: And how are you going to introduce
11 it to them?

12 MR. FINCH: I'm just going to read from it.
13 We'll redact it before it goes back --

14 MR. EATON: Before Rigler's on the stand?

15 MR. FINCH: Yeah.

16 MR. BICKS: So we would just not have the
17 recall part, but out of completion, we would have
18 that.

19 MR. FINCH: I don't think I have an objection
20 to that, Your Honor, as long as it doesn't have
21 anything about recall. I guess I would like --
22 well, no. Let me talk to Ms. Farinas.

23 We will read it as highlighted.

24 MR. BICKS: And subject to our objection.

25 THE COURT: Pardon? Subject to --

1 MR. BICKS: I would prefer to read our part,
2 because he may emphasize a little differently.

3 MR. FINCH: Far be it from me to emphasize.

4 THE COURT: Well, you're objecting to its
5 admission; right?

6 MR. BICKS: Right.

7 THE COURT: So given that it's his exhibit,
8 the Court's going to let him read it.

9 MR. BICKS: All right. And I assume he's --
10 we're not going to have a dramatic --

11 MR. FINCH: I'm not going to ham it up.

12 THE COURT: Well, let's hear it now,
13 Mr. Finch.

14 MS. FARINAS: Mr. Finch, she wants to hear you
15 read it.

16 MR. FINCH: "Johnson & Johnson, our company,
17 New Brunswick, New Jersey, October 18, 2019. In
18 the United States of a single of its Johnson's baby
19 powder in response to a U.S. Food & Drug
20 Administration test indicating the presence of
21 subtrace levels of chrysotile asbestos
22 contamination not greater than .00002 percent in
23 samples from a single bottle purchased from an
24 online retailer.

25 "At this early stage of the investigation,

1 MR. BICKS: Subject to the objections as to
2 the whole.

3 THE COURT: Over objections.

4 MR. BICKS: Right. And how exactly are we
5 going to be doing this?

6 MR. FINCH: I'm going to stand in front of
7 jury, offer Plaintiffs' Exhibit 7, read it to them,
8 and say that a copy will be -- an appropriate copy
9 will be available for -- as evidence.

10 MR. BICKS: Right. And so can we then -- can
11 he read what he wants to read and then I'd like to
12 read Johnson & Johnson's fairness provision.

13 MR. FINCH: I'll read the whole thing.

14 MR. BICKS: No, I'd like to read from
15 Johnson & Johnson the counterpart.

16 THE COURT: Well, it's his exhibit.

17 MR. BICKS: Right. But if we were doing
18 fairness --

19 MR. FINCH: I said I'm going to read it.

20 MR. EATON: If it were a deposition
21 designation, they would read their part, we would
22 read ours.

23 MR. FINCH: But I just agreed to read their
24 part, Your Honor.

25 THE COURT: Well, I understand that.

1 JJCI cannot confirm if cross-contamination of the
2 sample caused a false positive, cannot confirm
3 whether the sample was taken from a bottle with an
4 intact seal or whether the sample was prepared in a
5 controlled environment, cannot confirm whether the
6 tested product is authentic or counterfeit. JJCI
7 has a rigorous testing standard in place to ensure
8 its cosmetic talc is safe. In years of testing,
9 including the FDA's own testing on prior occasions
10 and as recently as last month, found no asbestos.

11 Thousands of tests over the past 40 years" --

12 You know what, Mr. Bicks, you can read your
13 part because I'm going to mess it up.

14 MR. EATON: And Your Honor, there's not going
15 to be any context for the document. You're not
16 going to say, this is related to something in the
17 news or -- he's just going to read it in and that's
18 it. Because the idea, of course, is not to draw
19 their attention to going out and looking for
20 something about Johnson's baby powder.

21 THE COURT: Well, Mr. Finch can ask to offer
22 it and the Court will say that it is being offered
23 over objection.

24 MR. EATON: Okay.

25 THE COURT: And do both defendants object? I

1 don't think that BMW cares.
 2 MR. EATON: Yeah, BMW objects.
 3 MS. WEGLARZ: No objection.
 4 THE COURT: Okay, thanks. So wait a minute.
 5 Does that mean yes or no?
 6 MS. FARINAS: They don't object.
 7 MR. HARNEY: Yeah, no objection.
 8 THE COURT: All right, thank you. So are we
 9 ready for the jury?
 10 MR. FINCH: If I could have two minutes, Your
 11 Honor.
 12 THE COURT: Well, it'll take that long to get
 13 them.
 14 We can go off the record.
 15 (Discussion held off the record.)
 16 THE BAILIFF: All rise.
 17 (Whereupon, the jury entered the courtroom.)
 18 THE COURT: Welcome. Please be seated.
 19 Well, we're going to begin again this
 20 afternoon. Thanks very much for being so prompt
 21 and coming back in time. The Court really
 22 appreciates it.
 23 And plaintiffs are going to continue with the
 24 presentation of their case.
 25 MR. FINCH: Your Honor, may it please the

1 Court. Good afternoon, ladies and gentlemen.
 2 We will bring back Dr. Rigler momentarily, but
 3 in the interim, plaintiffs would offer Plaintiffs'
 4 Exhibit No. 4, which is a press release issued by
 5 Johnson & Johnson on today's date. We would offer
 6 Exhibit No. 4 pursuant to your Court's rulings.
 7 THE COURT: The Court shows Exhibit No. 4 is
 8 admitted over the objection of Johnson & Johnson,
 9 as redacted.
 10 MR. FINCH: "Johnson & Johnson, our company,
 11 New Brunswick, New Jersey, October 18, 2019. In
 12 the United States of a single lot of its Johnson's
 13 baby powder in response to a U.S. Food & Drug
 14 Administration (FDA) test indicating the presence
 15 of subtrace levels of chrysotile asbestos
 16 contamination no greater than .00002 percent in
 17 samples from a single bottle purchased from an
 18 online retailer."
 19 MR. BICKS: And then Your Honor, you've
 20 permitted --
 21 THE COURT: Yes.
 22 They're each going to read part of the
 23 exhibit.
 24 MR. BICKS: "At this early stage of the
 25 investigation, JICI cannot confirm if

1 cross-contamination of the sample caused a false
 2 positive, cannot confirm whether the sample was
 3 taken from a bottle with an intact seal or whether
 4 the sample was prepared in a controlled
 5 environment, cannot confirm whether the tested
 6 product is authentic or counterfeit. JICI has a
 7 rigorous testing standard in place to ensure its
 8 cosmetic talc is safe, and years of testing,
 9 including the FDA's own testing on prior occasions
 10 and as recently as last month, found no asbestos.
 11 Thousands of tests over the past 40 years have
 12 repeatedly confirmed that our consumer talc
 13 products do not contain asbestos. Our talc comes
 14 from ore sources confirmed to meet our stringent
 15 specifications that exceed industry standards. Not
 16 only do we and our suppliers routinely test to
 17 ensure our talc does not contain asbestos, our talc
 18 has also been tested and confirmed to be asbestos
 19 free by a range of independent laboratories,
 20 universities, and global health authorities."
 21 THE COURT: And the Court shows that admitted
 22 over objection, as redacted.
 23 MR. FINCH: May we recall Dr. Rigler to the
 24 stand, Your Honor?
 25 THE COURT: Yes.

1 MR. FINCH: May I go get him?
 2 THE COURT: Yes. The Court's going to write
 3 "Redacted" on this.
 4 Welcome back, Dr. Rigler.
 5 THE WITNESS: Thank you.
 6 THE COURT: You were sworn this morning, and
 7 you continue to be a sworn witness. So with that,
 8 Mr. Finch may continue.
 9 THE WITNESS: Thank you, Your Honor.
 10 BY MR. FINCH:
 11 Q Good afternoon, Dr. Rigler. Did you have a nice
 12 lunch?
 13 A Yes, sir.
 14 Q We were talking about the three-step method for
 15 detecting asbestos in any kind of material, whether
 16 it's talc or a wall or dust or anything else.
 17 A Yes.
 18 Q Did your laboratory and Dr. Longo's laboratory
 19 follow this three-step method in analyzing
 20 Johnson's baby powder to determine if it had
 21 asbestos in it?
 22 A Yes, sir, we did.
 23 Q And could you walk the jury through that here.
 24 A Sure. We had talked about these earlier this
 25 morning. The first step is to look at the shape

1 and the form, the length and the width. We're
 2 looking for substantially parallel size on the
 3 fibers or the bundles with an aspect ratio of
 4 around five to one. Five to one --
 5 Q Let me stop you right there. You used the term
 6 aspect ratio, and I'm not sure -- if the jury's
 7 already heard this term, I'm sorry, but I at least
 8 have forgotten. Could you just remind me, what is
 9 an aspect ratio?
 10 A Sure. That is a number when you divide the length
 11 by the width, you get an aspect ratio. For
 12 instance, if the fiber is 5 micrometers long and is
 13 one micrometer wide, it would be five divided by
 14 one, so it would have a five-to-one ratio.
 15 Q So something that's longer and thinner like a
 16 pencil would have a higher aspect ratio than
 17 something that's, like, short and fat like a fist?
 18 A Yes, it can, that's correct.
 19 Q So five to one or greater, at least five microns in
 20 length.
 21 What's the second step that you follow to
 22 determine if something's asbestos?
 23 A The second step would be the chemistry. We want to
 24 know if the chemistry matches that of the asbestos
 25 type that we're looking at. So we will do an

1 analysis on the electron microscope. If you'll
 2 remember, we talked about the electron
 3 spectrometer, that's a tool that's on the electron
 4 microscope that allows us to do an analysis and get
 5 the chemistry of the fiber.
 6 Q What is the third step in the process?
 7 A The third step is the -- what we called the
 8 electron diffraction. Remember we talked about the
 9 light goes through a prism, breaks it up, you see
 10 the different colors. In the electron microscope,
 11 the light is the electrons, if you will. They go
 12 through the specimen and then they produce a pattern
 13 of the crystalline structure, and then that pattern
 14 is the fingerprint for the type of asbestos.
 15 Q Is this protocol, this three-step approach,
 16 discussed in ISO 222 -- excuse me, in ISO 13794 as
 17 well?
 18 A Yes. This is another ISO method, standard method.
 19 We talked about 22262-2 this morning that has to do
 20 with heavy liquid separation methodology. This is
 21 another one that has to do with the analysis of
 22 asbestos fibers, but it uses the same kind of
 23 parameters. It uses the same three-step
 24 methodology.
 25 Q And when you published your paper in 1995,

1 "Crocidolite asbestos fibers in Kent cigarettes,"
 2 did it also discuss this three-step process?
 3 A Yes, it did, yes.
 4 Q It uses a word called morphology. What is
 5 morphology?
 6 A That's just a fancy name for shape or form,
 7 morphology.
 8 Q And in the ED, energy dispersive x-ray, that's the
 9 chemistry?
 10 A Yes, sir, that's the chemistry.
 11 Q And then this selected area, electronic
 12 diffraction, is the -- what is that, the structure,
 13 the crystal structure?
 14 A That's the crystal structure, Step No. 3, yes.
 15 MR. FINCH: So can I have the ELMO just a
 16 second, Jon.
 17 Q Did I get that right, Doctor?
 18 A Yes, sir.
 19 Q So to avoid having to say a mouthful over and over,
 20 that's the three-step -- did you follow that
 21 three-step approach for every fiber or structure or
 22 bundle that you identified as asbestos in Johnson's
 23 baby powder?
 24 A Yes. That's according to the standard methodology
 25 in the published peer-reviewed methodology, yes.

1 MR. FINCH: Can I have the PowerPoint back,
 2 Jon.
 3 Q Now, in addition to your own peer-reviewed paper
 4 and the International Standards Organization, are
 5 you -- you talked briefly about the ASTM method.
 6 A Yes.
 7 Q The ASTM publication. Does the ASTM D5755 also
 8 discuss this three-step method that you followed to
 9 identify asbestos in baby powder?
 10 A Yes, it does. And as you can see from the title of
 11 the method, this has to do with sampling for
 12 asbestos in dust. So for instance, if you found
 13 dust on a surface somewhere and you suspected it
 14 had asbestos in it, this particular standard that's
 15 by the American Society for Testing and Materials
 16 uses the same three-step process to analyze that
 17 dust for asbestos.
 18 Q And does the EPA set forth in the regulations why
 19 it chose to use -- required this three-step TEM
 20 analysis for detecting asbestos fibers? Does it
 21 say -- does the EPA say that?
 22 A Yes, sir, it does. That's from the federal
 23 register document for AHERA. We talked about that
 24 this morning. It uses the same three-step method.
 25 Q And the method -- just to be clear, the method that

1 you followed to identify asbestos in Johnson's baby
 2 powder is the same three-step method that you and
 3 other scientists at MAS have been following for
 4 30 years?
 5 A Yes. And the other asbestos laboratories also
 6 follow the same protocols and procedures, yes.
 7 Q And there's a reference in your --
 8 MR. FINCH: Can I have the ELMO back, Jon.
 9 Q There's a reference in your 1995 paper, No. 11, is
 10 that the same EPA/AHERA rules, three-step process?
 11 A That's correct, yes.
 12 Q Did you change the way you identify asbestos fibers
 13 just specially for talc?
 14 A No, no, it's the same, same kind of procedure.
 15 Q Since 1987, the EPA has updated the AHERA
 16 regulations; is that right?
 17 A Yes.
 18 MR. FINCH: Can I go back to the PowerPoint,
 19 Jon.
 20 Q And did the current version of the EPA regulations
 21 still have this three-step methodology?
 22 A Yes.
 23 Q So it's morphology with an aspect ratio of five to
 24 one or greater and using the chemistry and the --
 25 A Electron diffraction.

1 Q -- electron diffraction?
 2 A Yes.
 3 Q Now, does the EPA regulations also -- Dr. Brody
 4 yesterday said that aspect ratios of three to one
 5 or greater could be considered asbestos for a
 6 public health perspective. You and your laboratory
 7 are using aspect ratios of five to one or greater.
 8 If you're using a higher aspect ratio, is that
 9 going to mean that you're going to be calling less
 10 things asbestos than maybe what a public health
 11 scientist would?
 12 A Yes, that's true. If you go up to a little higher
 13 ratio, you're going to count fewer of the smaller
 14 ones. So it's more conservative, if you will. The
 15 same thing, just a five-to-one ratio versus a
 16 three-to-one. OSHA's rules are for three to one.
 17 Q And do the EPA regulations explain why it is they
 18 picked five to one as the aspect ratio?
 19 A Yes. They talked about that is the most probable
 20 size of what they call asbestiform asbestos, if you
 21 will. If you get down below three to one, then you
 22 start to get into what we talk about chunk instead
 23 of fiber. So that would not be considered
 24 asbestiform, if you will.
 25 So what they're looking for, once you get into

1 the three-to-one, five-to-one range or higher, then
 2 it's most likely that it is asbestiform.
 3 Q And there was a discussion, a debate in 1987,
 4 several commentators suggested that the aspect
 5 ratio length to width should be extended to ten to
 6 one.
 7 A Yes.
 8 Q What was the EPA's ultimate conclusion, which is
 9 highlighted in the second column there?
 10 A They believe that it should stay -- a panel of
 11 microscopists, experts that were looking at these
 12 structures, said it needed to stay at a five-to-one
 13 ratio. Otherwise, there are a lot of structures
 14 that are asbestiform, and they can get down into
 15 the lungs because the smaller they are, the higher
 16 the probability they're going to get into the lung
 17 tissue, deep into the lung tissue. So they wanted
 18 to keep that at a five-to-one ratio.
 19 Q Now, we're going to get to the overall results of
 20 your testing in a while, but can you sort of walk
 21 the jury through how you applied this three-step
 22 method to an asbestos structure found in a sample
 23 of Johnson's baby powder from a 1978 bottle. Can
 24 we do that?
 25 A Sure.

1 Q Okay. So what are we looking at here?
 2 A This is a sample of a 1978 Johnson's baby powder
 3 product. This is a nine-ounce bottle. The sample
 4 number on there, M69042-002, is the laboratory
 5 number for it. So when the samples come into the
 6 laboratory, each one of them gets logged in, gets
 7 its own specific sample number. Then it is tracked
 8 throughout the laboratory and the laboratory
 9 processes, so you know exactly what you're talking
 10 about from start to finish.
 11 Q And the little -- the fine -- as I would say, the
 12 fine print at the bottom says, "MAS 1-15-19 Report
 13 Backup Data Binder."
 14 This is in the backup to the federal court
 15 report that MAS put together through the MDL
 16 process?
 17 A To my knowledge, yes, it is.
 18 Q And that was the -- I'll get to more details of
 19 this in a little bit. Am I right that you sort of
 20 did two rounds of testing on Johnson's baby powder?
 21 The first round was from bottles that you obtained
 22 either from lawyers like me or from online sources,
 23 and the second round was testing that you got where
 24 the samples came directly from J & J; is that
 25 right?

1 A Yes, they were part of their historical samples.
 2 Q In a court-supervised process with the second
 3 round?
 4 A Yes.
 5 Q And this is from the second round of testing?
 6 A Yes, sir.
 7 Q So this is Sample 69042-002, what are we looking at
 8 here, and what can you tell us about it, Doctor?
 9 A So after the sample was prepared and analyzed
 10 for --
 11 Q And by prepared, do you mean using what, the Blount
 12 heavy liquid?
 13 A Yes, the heavy liquid method.
 14 Q Okay. Go ahead.
 15 A After that preparation, it was found within that
 16 sample asbestos structures. So here's an example
 17 of an asbestos structure. It appears to be a
 18 bundle. This is anthophyllite asbestos. And it
 19 has an aspect ratio of 19.7 to 1. Remember, we're
 20 talking about -- we're looking for structures that
 21 are at least five to one in length -- excuse me, in
 22 aspect ratio. So it has the qualifications and all
 23 of the specifications for regulated asbestos fiber.
 24 Q So let me just see if I understand the math here.
 25 MR. FINCH: Can I squat down, Your Honor, so I

1 A It's 8.6 to 1. So that, again, also fits the
 2 parameter.
 3 Q For a regulated asbestos fiber?
 4 A Yes, sir.
 5 Q Is this another regulated asbestos -- another
 6 structure that meets the morphology parameters for
 7 a regulated asbestos fiber?
 8 A Yes. This one is anthophyllite, and it measures
 9 34.5 by 1.1 micron, and the ratio is 31.4.
 10 Q Now, what is a count sheet, when the
 11 microscopist -- I assume that the microscopist took
 12 the picture of this using the transmission electron
 13 microscope when he was analyzing the talc; right?
 14 A Yes.
 15 Q And did you review all of these photomicrographs
 16 and all the count sheets in the testing that your
 17 lab did?
 18 A Yes.
 19 Q We're not going to put the whole report into
 20 evidence, but is it thousands and thousands of
 21 pages of count sheets and photomicrographs?
 22 A Yes.
 23 Q And photomicrograph is just a fancy word for
 24 photograph?
 25 A Yes.

1 can point to this. Sorry.
 2 Q Anthophyllite, 35.4 microns by 1.8 microns, is that
 3 the -- the length is 35.4 microns?
 4 A Yes.
 5 Q And the width is 1.8 microns?
 6 A Yes, sir.
 7 Q And then you get the aspect ratio by dividing 35.4
 8 by 1.8?
 9 A Yes, sir.
 10 Q So that's where the 19 comes from?
 11 A Yes.
 12 Q So that's Step 1. This has -- that means that this
 13 structure has a morphology consistent with an
 14 asbestos fiber?
 15 A Yes, sir.
 16 Q Or asbestos bundle?
 17 A Yes.
 18 Q What is -- what are we looking at -- wrong way.
 19 This is another structure identified in that same
 20 sample?
 21 A Yes, sir.
 22 Q And this one has a length of 6 microns and a width
 23 of .7 microns?
 24 A Yes, sir.
 25 Q So what is that aspect ratio?

1 Q So what is a count sheet? And if you could explain
 2 to the jury what we're looking at here.
 3 A So the requirement by the methodology is that
 4 the -- the structures that you find that meet the
 5 criteria have to be documented, they have to be
 6 measured, and they have to be verified. So what
 7 you see here in front of you is an actual count
 8 sheet from a laboratory. It has the date of
 9 analysis. It has the amount that was analyzed.
 10 And then going down the sheet, what it has are the
 11 locations of each one of the asbestos structures.
 12 Over on the right, you see the sort of copper
 13 looking structure that's there. That's actually a
 14 grid that is used. It's like a little wire string,
 15 if you will, and it's actually made of copper. It
 16 has a little film on it, and the sample is on that
 17 film. So there's a little higher magnification of
 18 it there, and you can see the numbering and the
 19 labeling on it, A through J and then 1, 2, 3, 4.
 20 And each one of those is a grid square location,
 21 each one of those locations, so if you need to go
 22 back and find this structure, you can go to this
 23 grid and find the exact same spot of where it lies.
 24 Q Just to orient the jury in terms of sizes here, am
 25 I right, this distance here is 1 millimeter?

1 A Yes, that's 1 millimeter.
 2 Q So this little copper -- what did you say, it was
 3 made out of copper?
 4 A It's called a grid.
 5 Q That little grid is about two-tenths of a
 6 millimeter?
 7 A No, that scale there is 10 millimeters.
 8 Q Excuse me, it's 2 millimeters, it's about --
 9 A Yeah, it's about 3. It's about 3.
 10 Q And so but in each of these little squares that you
 11 look at is a fraction of that?
 12 A Yeah, they're about a tenth of a millimeter.
 13 Q Okay. And then what -- it looks kind of like a
 14 Battle Ship board to me.
 15 A Yes.
 16 Q But what is the actual sheet on the left here?
 17 What do the rows and grid say? What do they say?
 18 What do they mean?
 19 A Well, what you have on the left is the STR number.
 20 That's the structure number. So if a structure is
 21 found, it's given a number. You see NSD. That
 22 means no structure detected for that particular
 23 grid square. So B2-B6 is actually the grid box
 24 location that it's put in because it's kept in
 25 storage at the laboratory in a box, a small box.

1 They actually did that analysis.
 2 Q And in your report, you have the average aspect
 3 ratio for all of the asbestos structures you
 4 identified in this particular sample of Johnson's
 5 baby powder?
 6 A Yes, yes. And again, that's -- this is important
 7 to get the average aspect ratio. You can see the
 8 ratios here in the chart. The important part here
 9 is, again, are they at least five to one or
 10 greater, because what that says is this is an
 11 asbestos structure and it meets the specification
 12 for it. So you can see the ratio here, the average
 13 is about 14.
 14 Q Now, in addition to the morphology, you also
 15 checked the -- you apply Step 2 and Step 3, you
 16 check the chemistry?
 17 A Yes.
 18 Q Can you walk us through what Step 2 was for the
 19 asbestos structures you identified?
 20 A Well, each one of them did an EDS, the chemistry,
 21 and what you get out of that is a graphic
 22 representation. Here we go. And this shows -- the
 23 graph is showing the peaks for each one of the
 24 elements that are associated with the asbestos
 25 structure itself. So you see these peaks that are

1 And then what you have is the grid number. And
 2 then B6 is the grid square location. So if you
 3 went over on there and you looked at B and then
 4 went down to 6, you can tell exactly what little
 5 square the analyst was looking at.
 6 Q And is this a count sheet that shows what, like --
 7 for example, Structure No. 1 was found in grid B8,
 8 that's a bundle of anthophyllite, and it tells you
 9 the length, the width, the ratio?
 10 A Yes.
 11 Q And then the little checkmarks, SAED and EDS, are
 12 those Steps 2 and 3?
 13 A Yes, yes. Again, this is just a portion of this
 14 sheet. Some of the images we saw before, they're
 15 on this sheet. I think the highlighted one at the
 16 bottom was one of the images that we looked at.
 17 Q Okay.
 18 A The --
 19 Q Sorry.
 20 A Oops, sorry. The aspect ratio is calculated there
 21 and the X's that are on the sheet show -- it's
 22 verified that the analyst looked at the diffraction
 23 SAED -- remember we talked about that -- and also
 24 the chemistry, the EDS, where X marks the spot is
 25 where the analyst did the verification for that.

1 on here. One's labeled MG. That's magnesium. One
 2 is labeled SI. That's silicon. One is labeled FE.
 3 That's iron. And then you see some other peaks
 4 that are on here. They're associated with the
 5 actual grid, the copper grid itself, so they're not
 6 labeled.
 7 Q So this is the -- I don't exactly remember all the
 8 abbreviations from the periodic table of elements
 9 from high school.
 10 A Yes.
 11 Q But like SI is silicon; is that right?
 12 A Yes.
 13 Q And this shows the relative ratio of silicon. And
 14 what is that?
 15 A Magnesium.
 16 Q Magnesium?
 17 A Yes.
 18 Q And then, I guess, FE that's --
 19 A Iron.
 20 Q -- ferrous, iron?
 21 A That's iron.
 22 Q Do you compare this chemical readout to a known
 23 reference standard for either anthophyllite or
 24 tremolite or other known asbestos?
 25 A Yes. We have reference standards in the

1 laboratories required by the agencies that you keep
 2 those references, and then you compare them.
 3 Q Now, Step 3, explain how Step 3 is applied to the
 4 structures identified.
 5 A Okay. Step 3, while you're doing this, essentially
 6 you're looking at the structure, just like you saw
 7 the picture of it here, in real time you're looking
 8 at it. And what the analyst will do, they'll take
 9 the electron beam and they can move the beam into a
 10 little spot and they can put it right on that
 11 structure. And when they do that --
 12 Q Is this an example of that?
 13 A Yes, yes. When they do that, they will get the
 14 pattern. Remember we talked about the breaking up
 15 of light through a prism and you get the colors.
 16 When you run electrons through an asbestos or
 17 crystalline structure, you're going to get a
 18 pattern, and it looks very much like this, like the
 19 little dots that you see here. And those dots, if
 20 you measure between them, they're the actual
 21 distance between the atoms in the asbestos
 22 structure itself.
 23 So we can take these patterns and we can look
 24 at them, and then we can tell exactly what it is.
 25 Q Okay. Am I correct that the chemical formula for

1 anthophyllite is very similar or the same as the
 2 chemical formula for talc?
 3 A Yes, it can be.
 4 Q How do you distinguish between talc and
 5 anthophyllite asbestos when you are doing this
 6 analysis? Which of these three steps does that?
 7 A They both can have similar ratios of magnesium and
 8 silicon, the first two big pieces that you saw in
 9 that one chart. Now, they can have some amount of
 10 iron or very little iron. So --
 11 Q This is the peaks you were talking about before?
 12 A Yes, yes. So that iron, that iron peak that you
 13 have seen there can be very low. So once you look
 14 at the chemistry, you go, well, this could be talc
 15 or it could be anthophyllite. Now, you've already
 16 looked at it and see that it's a long rod. Talc,
 17 in products like the Johnson & Johnson baby powder,
 18 is mostly, practically all flat plates. The talc
 19 that's in there, they look like little flat plates,
 20 like little tiles everywhere.
 21 Now, there is talc that exists as fibers.
 22 It's fibrous talc. So when you're looking at a
 23 fiber, you've got to make sure it's not fibrous
 24 talc. You want to see if it is asbestos. Now, the
 25 funny thing is, the interesting thing is, some

1 asbestos types can form talc from talc. They can
 2 be transitional, what they call transitional. On
 3 one end they can be asbestos, on the other end they
 4 can be talc. So what the analyst has to do is they
 5 have to take this technique with the spots that you
 6 see and they have to actually tilt the little fiber
 7 structure. And when you start tilting it a little
 8 bit, if this pattern changes from spots to what
 9 looks like little hexagonal, it looks like a little
 10 six spots in a --
 11 Q Is that an example of the hexagon you're talking
 12 about?
 13 A Yes, yes. That's indicative of talc right there.
 14 So when we're looking at that structure, we would
 15 say that that was a talc structure. It'll have the
 16 same chemistry as anthophyllite. So we have to
 17 look at it and check that.
 18 Q Okay. So this particular structure we're looking
 19 at, when the analysts rotated the structure around,
 20 you have two different diffraction patterns; is
 21 that right?
 22 A Yes, but the spots remain the same and the
 23 measurements between the spots will remain the
 24 same. So for true anthophyllite, there's a
 25 distance, and they will stay the same. But if I

1 rotate them and I start to see this six-point
 2 pattern, then I've got either talc on one end and
 3 asbestos on the other end, maybe anthophyllite on
 4 the other end, or I've just got a talc fiber. So
 5 that's something that has to be always checked with
 6 anthophyllite, and we do that.
 7 Q And so does this slide sort of lay out the
 8 three-step method that you applied -- that you
 9 applied to identify anthophyllite asbestos in
 10 Johnson's baby powder?
 11 MR. BICKS: Your Honor, can I just object and
 12 ask that we be precise about "we," because there's
 13 a lot of testimony about "we" did something. I
 14 would request that the witness make it clear who's
 15 actually doing things.
 16 THE COURT: I'll sustain that objection.
 17 BY MR. FINCH:
 18 Q Okay. Explain what this three-step -- what this
 19 slide shows, Dr. Rigler.
 20 A It shows that -- for this particular structure, it
 21 showed the morphology was consistent with asbestos.
 22 That is the shape and the form, the aspect ratio.
 23 It showed that the chemistry was consistent with an
 24 asbestos-type anthophyllite. And then when the
 25 diffraction was done, it also showed that it was

1 consistent with anthophyllite. And in science, we
 2 like to measure things multiple different ways to
 3 get the answer. If we can measure it in multiple
 4 ways, we can get a very good answer like that.
 5 Q Doctor, I probably should have asked you this at
 6 the outset. But would you agree that any opinion
 7 you're giving to this jury is to a reasonable
 8 degree of scientific certainty?
 9 A Yes.
 10 Q Has that been the case for all of your answers up
 11 to this point?
 12 A Yes.
 13 Q And will that be the case for the rest of the day?
 14 A Yes.
 15 Q And including, if you come back next week, would
 16 that be the case then?
 17 A Yes.
 18 Q So does the EPA have specific recording rules for
 19 what you have to count as regulated asbestos?
 20 A Yes.
 21 Q Could you explain that to the jury.
 22 A Well, again, it's nothing new. We talked about it
 23 a little bit earlier. It's more of the same thing,
 24 which is in this case the aspect ratio needs to be
 25 at least five to one for the AHERA/EPA. And OSHA

1 as three to one. So that's their rule. So you
 2 would count even more than they may be smaller. So
 3 that's their rule.
 4 The length needs to be at least a half a
 5 micron in length. And then you have to record all
 6 of these things on a count sheet. You've already
 7 seen the laboratory, it has a count sheet, and you
 8 have to record those things.
 9 They also, and we talked about this a little
 10 bit earlier on, have to be recorded as fibers,
 11 bundles, what they call clusters, little matrices.
 12 These are groups of asbestos fibers together.
 13 Now, if you saw a group of them together like
 14 this, let's say all my fingers were fibers and they
 15 were looking like this, you would call that a
 16 cluster. But technically, by their rules, you have
 17 to call that one structure. So there may be ten
 18 there, but we have to call that one. So that would
 19 be an underestimate of how many are really there.
 20 But by their rules, that's the way we have to call
 21 them.
 22 Q Do the regulations also have a definition of what
 23 is not asbestos?
 24 A Yes, it does.
 25 Q What is that?

1 A If you can't get a good chemistry or the chemistry
 2 does not match up for the asbestos, then it's not
 3 going to be -- it's not even going to be on the
 4 count sheet.
 5 If you do a diffraction pattern of it and it's
 6 something that's completely different or you have
 7 spots that are difficult to read, then it may not
 8 also be -- if a morphology is, you know, less than
 9 three to one, definitely not.
 10 Q We talked about EPA/AHERA, ISO 22262, and the ASTM.
 11 Have you also had the opportunity to look at
 12 Johnson & Johnson's specifications for using
 13 transmission electron microscopes to analyze talc
 14 for the determination of asbestos?
 15 A Yes.
 16 MR. FINCH: And Your Honor, at this time we
 17 would offer Plaintiffs' Exhibit 38. I believe it's
 18 a stipulated exhibit.
 19 MR. BICKS: No objection.
 20 THE COURT: The Court shows Exhibit 38
 21 admitted without objection.
 22 Q Dr. Rigler -- oops, wrong way.
 23 MR. FINCH: Can I go to the ELMO, Jon.
 24 Q This is Johnson & Johnson Consumer Companies
 25 Worldwide Specification TM7024. We happened to

1 mark it as Plaintiffs' 38. Are you familiar with
 2 this document?
 3 A Yes, sir.
 4 Q Method 7024, is that what Johnson & Johnson called
 5 its electron microscope method for analyzing talc
 6 for asbestos?
 7 A Yes.
 8 Q Were there versions of this that go back sometime
 9 to the mid 1970s?
 10 A I believe there are, yes. There are three
 11 versions, I believe.
 12 Q This one says 1995 on it?
 13 A Yes.
 14 Q Am I correct that Johnson & Johnson never used the
 15 Blount heavy liquid separation method to test its
 16 talc for asbestos, generally speaking?
 17 MR. BICKS: Objection on the foundation
 18 grounds, Your Honor.
 19 Q Have you reviewed Johnson & Johnson's testing
 20 protocols --
 21 THE COURT: So I'll sustain --
 22 A -- for testing talc?
 23 THE COURT: I'll sustain that. But you're
 24 asking --
 25 Q Have you reviewed Johnson & Johnson's testing

1 protocols for testing talc for the presence of
 2 asbestos?
 3 A Yes.
 4 Q And this is one of those documents, and you've seen
 5 earlier versions of this?
 6 A Yes.
 7 Q In anywhere in this document, does it require or
 8 talk about using the Blount or any kind of heavy
 9 liquid density separation method?
 10 A No.
 11 Q Does the Johnson & Johnson --
 12 MR. FINCH: Can I go back to the PowerPoint,
 13 Jon.
 14 Q Does the Johnson & Johnson TM7024 method have a
 15 definition of what is an asbestos fiber?
 16 A Yes, it did.
 17 Q What is it?
 18 A An elongated particle with parallel sides and an
 19 aspect ratio of three to one.
 20 Q And the definition employed may vary with the needs
 21 of the client?
 22 A Yes.
 23 MR. FINCH: May I have Exhibit P-196.
 24 Q We'll come back to Exhibit 38 later, but I want to
 25 ask you about another Johnson & Johnson document.

1 MR. FINCH: We would offer Plaintiffs' 196,
 2 Your Honor. I believe this is another stipulated
 3 exhibit.
 4 MR. BICKS: What is it?
 5 MR. FINCH: It's -- I just gave the witness my
 6 copy. Do you have any objection to it?
 7 MR. BICKS: Can you just let me know what it
 8 is?
 9 MR. FINCH: Yeah. I thought we gave you
 10 copies before break. Sorry.
 11 MR. BICKS: No, that's all right. No
 12 objection.
 13 THE COURT: The Court shows Plaintiffs'
 14 Exhibit 196 admitted without objection.
 15 MR. FINCH: And can I have the ELMO, Jon.
 16 BY MR. FINCH:
 17 Q This is a document dated January 10, 1994, and it's
 18 a summary of raw material and finished product
 19 testing for baby powder, talc; is that right,
 20 Dr. Rigler?
 21 A Yes, sir.
 22 MR. FINCH: And Jon, can I go back to the
 23 PowerPoint.
 24 Q In Exhibit 196, is there a definition of asbestos
 25 in this document?

1 A Yes.
 2 Q What is Johnson & Johnson's definition of asbestos
 3 in this 1994 document?
 4 A "Asbestos is defined to be the fibrous serpentine,
 5 chrysotile and the fibrous forms of amphibole group
 6 as represented by amosite, anthophyllite,
 7 crocidolite, tremolite and actinolite."
 8 Typo in anthophyllite.
 9 Q No one's perfect, right, not even Johnson &
 10 Johnson.
 11 MR. FINCH: The next exhibit is Exhibit 386,
 12 which I believe is another stipulated exhibit. We
 13 would offer that.
 14 THE COURT: Thank you.
 15 MR. BICKS: Can I just quickly see?
 16 MR. FINCH: Yeah.
 17 MR. BICKS: No problem.
 18 THE COURT: The Court shows Plaintiffs'
 19 Exhibit 386 admitted without objection.
 20 Q Am I correct that Exhibit 386 lays out --
 21 MR. FINCH: Can I have the PowerPoint, Jon.
 22 Q -- Johnson & Johnson's three-step method to
 23 identify asbestos in talc?
 24 A Yes.
 25 Q This is a document entitled "Analysis of Powdered

1 Talc for Asbestiform Minerals by Transmission
 2 Electron Microscopy dated 1989."
 3 A Yes.
 4 Q And under -- on the first page, "Principle of
 5 Method, The combined techniques of transmission
 6 electron microscope, selected area diffraction and
 7 energy dispersive x-ray analysis (EDXRA) permit the
 8 detection of asbestiform minerals based on
 9 morphological characteristics, followed by
 10 definitive mineralogical identification of each
 11 fiber."
 12 Is that the three-step process for identifying
 13 asbestos?
 14 A Yes.
 15 Q Does this document also have a definition of an
 16 asbestos fiber, at least according to Johnson &
 17 Johnson for its own business purposes?
 18 A Yes, it does.
 19 Q In Section 13.1, which is on page -- the Bates page
 20 is 7922, how does Johnson & Johnson define an
 21 asbestos fiber?
 22 A An elongated particle with parallel sides and an
 23 aspect ratio greater than or equal to three to one.
 24 Q How does that aspect ratio compare to the aspect
 25 ratio that MAS used?

1 A It's less conservative. You would find that more
2 structures would fit into that ratio.

3 Q More structures would fit into the definition of
4 asbestos?

5 A Yes, exactly. And the one for AHERA that MAS uses
6 is more conservative.

7 Q With respect to the five-to-one aspect ratio,
8 length to width, in addition to your own paper and
9 the EPA regulations and the ISO procedures in the
10 Johnson & Johnson documents, is there other
11 peer-reviewed literature that discusses the
12 five-to-one aspect ratio?

13 A Yes.

14 Q Are you familiar with a microscopist named Jim
15 Millette?

16 A Yes, sir.

17 Q Dr. Millette is a published author. Did he
18 recommend using the five-to-one aspect ratio?

19 A Yes.

20 Q Now, for this sample of 69042-02, the 1978
21 Johnson's baby powder sample that you got from
22 Johnson & Johnson, did you do an analysis of how
23 many asbestos structures per gram were detected in
24 that bottle?

25 A Yes. That was done, yes.

1 MR. FINCH: Can I have another piece of blank
2 paper.

3 Can I have the ELMO, Jon.

4 Q Okay. So when you're talking about asbestos
5 structures, what is that, Dr. Rigler?

6 A That would be a cluster.

7 Q What would that be?

8 A Those would be -- they would be single fibers or
9 you could say they were a bundle if they were
10 closer together like that.

11 Q But if they're like this --

12 A That would be a bundle.

13 Q And then this would be a fiber?

14 A Yes.

15 Q So when you are calculating the fibers or bundles
16 in a nine-ounce bottle, if some of the structures
17 identified are bundles, say they're bundles instead
18 of fibers, what would that mean in terms of total
19 number of asbestos fibers in that gram or in that
20 nine-ounce bottle?

21 A Well, as I explained before, if the analysts saw a
22 cluster, it would only be counted as one. But if
23 it truly has ten structures there, you've
24 undercounted it by ten just to start with. So the
25 number could be much higher. But those are the

1 MR. BICKS: Again, Your Honor, can we just be
2 clear about who did what? He said he did it.

3 Q Did you do a mathematical calculation that took the
4 data recorded by the analysts to determine how many
5 asbestos fibers or bundles were in a nine-ounce
6 bottle; did you do that last night?

7 A I have done a calculation like that, yes.

8 Q And the analysts at MAS recorded the asbestos
9 structures following the government rules; is that
10 right?

11 A Yes, sir.

12 Q So what are we looking at here?

13 A This is a calculation of the number of asbestos
14 structures that were found in this product per gram
15 of talc, 63,800 asbestos structures per gram.

16 Q And what does that mean in terms of asbestos fibers
17 or bundles in a nine-ounce bottle of Johnson's baby
18 powder?

19 A That means that if you take that number of
20 structures per gram and you look at 9 ounces here,
21 28.35 grams per ounce, that would be 1,000,880
22 structures per ounce, totaling 16,278,570 asbestos
23 structures in that particular bottle there based on
24 its weight.

25 Q Now, you mentioned earlier --

1 rules that we have to go by.

2 MR. FINCH: Can I have the PowerPoint, Jon.

3 Q Now, is this another sample of Johnson's baby
4 powder from the mid to late 1970s that the MAS lab
5 tested that you reviewed?

6 A Yes.

7 Q What are we looking at here?

8 A This is an asbestos structure that was found in
9 that talc product. This is what's called a
10 ferro-anthophyllite. This is a high iron
11 anthophyllite asbestos type.

12 Q And what is this?

13 A Same thing, another one of the structures. This is
14 a good example of a bundle. You can see the very
15 ends of it appear to be groups of fibers packed
16 together. So that would be a bundle. The size is
17 16.4 microns by 2.6 microns.

18 Q And the 2.6 microns, that's from here to here?

19 A Yes, that's the width.

20 Q And there could be, it looks like there's many,
21 many fibers --

22 A Yes.

23 Q -- that make up that bundle?

24 A Yes.

25 Q So am I correct that the -- each fiber that goes

1 into that bundle would obviously be much, much
 2 thinner than 2.6 microns?
 3 A Yes, and the aspect ratio would be much, much
 4 higher.
 5 Q What are we looking at here, Doctor?
 6 A Another structure from that sample, another
 7 ferro-anthophyllite structure, another bundle.
 8 Q Another asbestos bundle?
 9 A Yes.
 10 Q And this is 68233002-002.
 11 A Yes.
 12 Q What is this, Doctor?
 13 A That's the one we just saw before, a little
 14 earlier. The designation 002, 002 is the second
 15 structure that was found in this -- in this
 16 analysis right here.
 17 Q Do you have some pictures of that same bundle taken
 18 with a scanning electron microscope?
 19 A Yes.
 20 Q So what are we looking at here?
 21 A So here we have the -- this is the exact same
 22 fiber, only looking at it with a scanning electron
 23 microscope. Remember we talked about the fact that
 24 each one of us can see each other because the light
 25 is reflecting off of us. Well, the principle of

1 A That's another one of the structures that is part
 2 of this, and it does show you that you have
 3 curvature, which again was one of the -- one of the
 4 things that was suggested for a more -- a larger or
 5 a bulk size piece of asbestos. They can be curved.
 6 The fibers can be curved and flexible and all of
 7 this. And sometimes you'll see them like this.
 8 They're part of the bundle, as you can see, but
 9 they'll be sort of lying at angles on top of the
 10 bundle or in the area of the bundle.
 11 Q What does it mean for a bundle to have splayed
 12 ends?
 13 A That, again, was the first picture that you saw,
 14 sort of like my fingers, that's what you considered
 15 splayed. They're just sort of spread out.
 16 Q Is this -- which picture are you referring to?
 17 A The very first one, yes. Up in -- yeah, on the
 18 right-hand side.
 19 Q Oh, this?
 20 A In that area, yes.
 21 Q That's an example of splayed ends?
 22 A Yeah. And you can see it real well in the TEM too.
 23 Q That would be --
 24 A Right, right. It shows you the splayed ends there.
 25 And the reason, again, the structure looks very

1 the scanning electron microscope is somewhat same.
 2 The electrons are bouncing off, and the microscope
 3 sees them more in three dimensions. So you can see
 4 the actual bundling here and the fibers clearly,
 5 actually more than compared to the TEM picture.
 6 Q And do we have progressively closer magnification
 7 of this asbestos bundle using scanning electron
 8 microscope?
 9 A Yes. This is a higher magnification of the same
 10 thing, of the one end of the structure, the right
 11 side of it, yes. And then this is more looking
 12 down the length of it. And as you can see, lots of
 13 fibers in this bundle.
 14 Q And if we get even closer, what do we see here and
 15 why is it significant?
 16 A Yeah. You can see more of the actual fibers
 17 themselves that make up this bundle, what's called
 18 asbestiform ferro-anthophyllite. It's a very --
 19 it's a beautiful image as far as microscopist is
 20 concerned. So, yeah, it's a very good picture of
 21 that.
 22 Q Beauty is in the eye of the beholder.
 23 A Yes, yeah.
 24 Q And then what are we looking at here, this curved
 25 image?

1 dark, because the electrons essentially get stopped
 2 in the structure, except for the ends where it's
 3 thin enough to go through. So that's part of the
 4 thing with TEM, is the specimen needs to be very
 5 small and thin so you can see it. But with the
 6 SEM, you can see everything. But, again, the SEM,
 7 you can't do the crystallinity measurement, you
 8 can't do that. So that's why the TEM is very
 9 important.
 10 Q Now, with this particular sample, for everything
 11 that was identified by morphology as asbestos, was
 12 it also identified by chemistry and by the --
 13 A Diffraction.
 14 Q -- diffraction?
 15 A Yes.
 16 Q And this is an example from that same sample of the
 17 chemical signature of anthophyllite asbestos?
 18 A Yes. This is the first fiber that was found in
 19 there, and it shows you the magnesium and silicone
 20 piece that are there and also the iron peak that's
 21 there. It's indicative of this type of asbestos.
 22 Q And this is the --
 23 A Diffraction pattern.
 24 Q -- diffraction pattern?
 25 A Yes, sir.

1 Q And for this particular 1978 museum sample, did you
2 also calculate the average aspect ratio for the
3 asbestos bundles or fibers found in that bottle
4 of -- that sample of Johnson's baby powder?
5 A Yes. And it came out to 19.4.
6 Q Again, why is that significant?
7 A Again, above three to one, five to one, that's when
8 you -- these are considered asbestiform structures.
9 So that's important. They get down below, you
10 know, three to one, you get to two to one and
11 smaller than that, then they're just big chunks
12 that, you know, they're not qualified as the
13 asbestiform asbestos. They don't meet the
14 regulatory definition.
15 Q Is this another sample of Johnson's baby powder you
16 got from the company from 1984 time frame?
17 A Yes.
18 Q And what are we looking at here?
19 A This is another anthophyllite asbestos structure
20 that was found in that talc product. It's
21 measuring 9.89 by .46 microns. So it's a bundle.
22 Q Now, here we have a picture that looks -- from this
23 sample looks a little bit different. What is this?
24 What kind of tool was used to take it? What is
25 important about it? That's a bunch of questions.

1 sometimes to do in analyzing a material to
2 determine if there's asbestos in it?
3 A It can also help you determine if it's not there.
4 You can also see in this image, there are other
5 structures that are in here. Most likely they're
6 platy talc, because it is a talc sample. So they
7 will show up in a way that is unique for them too.
8 Q What are we looking at here?
9 A This is, again, in the same kind of microscope,
10 polarizing light microscope. They used another
11 kind of technique called dispersion staining with
12 this, and this is where they use a special kind of
13 lens that allows a certain wavelength of light
14 through.
15 And then when you look at that asbestos in
16 there, the one we looked at before, same one, kind
17 of looks gray in the first one, in this one, it
18 will look blue. And it depends on the angle that
19 you rotate the asbestos in there, and it will be
20 distinctive for the kind of asbestos that it is.
21 It may go from blue to yellow, and that's part of
22 the PLM analysis process for asbestos.
23 Q Now, with respect to this 1984 Johnson's baby
24 powder sample, was there also -- was there a count
25 sheet that recorded the information about the

1 A Sure.
2 Q But why don't you first tell us what kind of tool
3 was used to take it, and then we'll take it step by
4 step.
5 A If you remember earlier today we saw the light
6 microscope, the PLM, the polarizing light
7 microscope. This is from that kind of microscope
8 whereby the sample is prepared in a specific type
9 of fluid that has a refractive index that's used
10 for assessing asbestos structures. And when you
11 put that kind of sample in that fluid and then you
12 take polarizing filters and you cross them, you
13 will get a certain amount of light through them,
14 which will indicate a particular kind of asbestos
15 type.
16 And in this particular case, it's what we call
17 actinolite/tremolite. You see there's two words
18 put together. There are two different types of
19 asbestos, but in the ground, and in geology, they
20 grade one into the other. So you can see them
21 sometimes together in certain geological
22 formations, and they're all what's called a solid
23 solution series. So one may braid into the other
24 one. So they can be named actinolite/tremolite.
25 Q What does the polarizing light microscope allow you

1 dimensions of the asbestos fiber and whether it met
2 both -- all three steps?
3 A Yes, there was, of course, yes.
4 Q Is that true for every structure that was
5 identified in the samples?
6 A Yes, sir.
7 Q What was the average aspect ratio for this
8 particular sample of Johnson's baby powder from
9 1984?
10 A It shows it to be 11.5. When you take the 21.5,
11 the 5.4, and 7.5, you make -- take the average of
12 those, and you get 11.5.
13 Q Now, you mentioned before that when you do an
14 analysis of a fiber to determine if it's chemically
15 the same as asbestos, to compare it to a known
16 reference.
17 Do you recall that?
18 A Yes.
19 Q Remind us, what is the NIST and where did the known
20 references come from?
21 A NIST is the National Institute for Standards and
22 Testing in Washington, D.C. They are the ones who
23 oversee the programs for the asbestos analysis
24 laboratories, the NVLAP, National Voluntary
25 Laboratory Program. And what they do is they have

1 their own standard sample of asbestos, and here you
2 see a picture of it. This is what's called the
3 1867 standard. It's pure tremolite asbestos that's
4 in that vial right there.

5 And so the laboratories are required to keep a
6 sample of this, and then what they do is they go
7 make a TEM grid of this sample. They put it in the
8 electron microscope, and then they'll look at those
9 asbestos fibers and structures and be sure that the
10 lab is complying with this standard.

11 Q Does the reference standard have the chemistry, the
12 EDXA?

13 A Yes.

14 Q Is this an example of the reference standard for
15 tremolite?

16 A Yes. In this panel you see two micrographs that
17 each show a tremolite fiber, asbestos fiber from
18 that standard, from this standard. The top one
19 measures, what is it, 20.2., and the bottom one
20 measures 5.5. They have the correct aspect ratio.

21 And then on the right side, you can see the
22 chemistry. And in this case, for tremolite,
23 tremolite also has the magnesium and the silicone
24 peak, you can see that. But it also has a calcium
25 peak, which goes right around with the chemistry of

1 what tremolite is. It has a little bit of iron.
2 It can vary depending on the geological deposits.

3 So there is the EDS. On the left, you've got
4 the morphology of it, the shape and structure and
5 the correct aspect ratio, and then on the right,
6 you've got chemistry.

7 Q In addition to the chemistry signature for the
8 reference standards, is there also an SAED, it
9 would be a crystalline structure standard?

10 A Yes. So here again, the same two images, same two
11 structures, and what the microscopist has done is
12 set it up to do the diffraction. And you see in
13 this case the diffraction pattern, which are the
14 pattern lines and spots. And again, if you went
15 and measured the inter-row spacing, you would get
16 the dimensions for the amphibole tremolite. If you
17 rotated this like we had talked about before for
18 anthophyllite, that pattern will not change. It
19 may adjust a little bit, but it would still look
20 like the same kind of pattern.

21 Q And did the analysts in your laboratory when you
22 were at MAS compare each chemical signature and
23 each crystalline structure to a known reference
24 when they were doing the analysis in real time with
25 the talc?

1 A There -- yes and no for that answer. They can rely
2 on the chemistry and the diffraction, of course,
3 and the morphology to verify that. The standards
4 are checked periodically, and when the analysts
5 are -- when the auditors come, they have to
6 demonstrate their proficiency in being able to
7 obtain these patterns and do the chemistry. So
8 they're tested on that by the auditors.

9 Q Are you familiar -- you've talked about morphology.
10 When you described for the jury bundles and fibers,
11 was that just your definition, or is that out there
12 in the published literature and in the regulations?

13 A That's in the published literature and the
14 regulations. That's not our -- we have to follow
15 those definitions. That's part of what we have to
16 do, or the laboratory has to do.

17 Q Are you familiar with a publication by the Bureau
18 of Mines from 1977, lead author Campbell, entitled
19 "Selected Silicate Minerals and Their Asbestiform
20 Varieties: Mineralogical Definitions for
21 Identification and Characterization"?

22 A Yes.

23 Q Do you regard that as generally reliable and
24 authoritative on the question of whether something
25 is asbestos or not asbestos?

1 A Yes, sir.

2 Q And does this publication have pictures that allow
3 you to describe or show you asbestiform versus
4 things that aren't asbestiform?

5 A Yes, it does. And you can see from the different
6 types of structures that are here, they are
7 described as asbestiform. And the structures that
8 you see here, remember we had talked about
9 different gradations in a geological deposit, you
10 can have combinations of these and mixtures of
11 these. So defined pure deposits is kind of a
12 misnomer to some degree because they're all
13 somewhat blended together.

14 Q And in the same publication, do they have a map of
15 the regions in the United States reported by the
16 Environmental Protection Agency to contain
17 asbestiform minerals in the bedrocks?

18 A Yes.

19 Q Is that that map?

20 A Yes. That shows regions in the United States where
21 asbestiform minerals occur, across the hatching
22 patterns.

23 Q Does an asbestiform mineral generally mean
24 asbestos?

25 A Yes.

1 Q Is the state of Vermont on that map?
 2 A Yes.
 3 Q Dr. Blount, the Rutgers scientist that the jury's
 4 heard a little bit about in opening -- they've seen
 5 a couple of documents -- did she publish in her
 6 1991 paper a discussion of the morphology of
 7 asbestos structures?
 8 A Yes.
 9 Q And what did Dr. Blount say about the morphology
 10 discussed with these structures?
 11 A In her paper, she states that if it's in a bundle,
 12 that it appears to be in a bundle form, it's
 13 definitely asbestiform.
 14 Q Is the exact quote, "With true asbestiform
 15 amphiboles, one generally sees some particles
 16 showing bundles of fibrils which removes any doubt
 17 about the nature of the amphibole"?
 18 A Yes.
 19 Q Does ISO 22262-1, one of the ISOs that you rely on,
 20 have a description or a discussion of the things --
 21 what to look for to determine if fibers are, in
 22 fact, asbestiform?
 23 A Yes.
 24 Q What does ISO 22262-1 say about that?
 25 A Well, as you can see in the outline here, there are

1 five characteristics, parallel fibers occurring in
 2 bundles; fiber bundles displayed -- displaying
 3 splayed ends, which we've already talked about;
 4 fibers also in the form of thin needles; matted
 5 masses of individual fibers, we talked about those.
 6 You know, cross your hands. You know what those
 7 are. And also fibers that show curvature, and we
 8 saw an example of that too.
 9 Q This is an asbestos fiber bundle found in Johnson's
 10 baby powder?
 11 A Yes.
 12 Q This is the image we looked at before showing the
 13 splayed ends?
 14 A Yes.
 15 Q And the curvature?
 16 A Yes.
 17 MR. FINCH: May I approach briefly, Your
 18 Honor.
 19 THE COURT: Yes.
 20 (A bench conference was held outside the
 21 hearing of the jury.)
 22 MR. FINCH: I don't think I'm going to finish
 23 with him today, but I would like to take stretch
 24 break or something. The jury's getting a little
 25 bit --

1 THE COURT: Yes, I was just thinking about
 2 doing that.
 3 MR. FINCH: Would it be okay to do that now?
 4 THE COURT: Yes.
 5 (Resumption of live trial proceedings.)
 6 THE COURT: We're going to go off the record
 7 for a couple minutes to give the jury a chance to
 8 stand up, have all of this -- just take a break for
 9 a moment. As I said yesterday, we were going to
 10 end a little bit early today, and this'll give us a
 11 chance to keep going without losing too much time.
 12 (A brief recess was taken.)
 13 THE COURT: Mr. Finch, you may begin.
 14 MR. FINCH: May it please the Court.
 15 BY MR. FINCH:
 16 Q Last lap for today.
 17 Dr. Rigler, let's talk about the total amount
 18 of testing that your laboratory did of Johnson's
 19 baby powder. And does this chart lay out the sort
 20 of -- the two rounds of Johnson & Johnson testing
 21 and also some testing you did of a cosmetic talc
 22 product called Cashmere Bouquet?
 23 MR. BICKS: Your Honor, again, the question
 24 was that you did. And if we could just be clear
 25 about what he did versus what others did.

1 THE COURT: I'll sustain the objection.
 2 Q Could you explain for us the testing that was done
 3 at MAS while you were the chief scientist there in
 4 2017 through 2019 of Johnson's baby powder or
 5 Cashmere Bouquet?
 6 A Yes. This is representative of the samples that
 7 were tested of Johnson's baby powder samples and
 8 also Colgate-Palmolive Cashmere Bouquet samples.
 9 Q Okay. So walk us through this chart here,
 10 Dr. Rigler.
 11 A Well, what we see here are three groups of testing
 12 that the laboratory did. The label Round 1 is
 13 testing that was done in 2017 through 2018. The
 14 ore, the source of the ore talc material was from
 15 Italy, Vermont, and also from China. So the
 16 containers that were tested at the laboratory, the
 17 source of the talc was from those locations, and
 18 there were 36 of them.
 19 The prep method that was used was the heavy
 20 liquid density prep method, as you see there, and
 21 also the TEM method was used.
 22 Then in Round 2 --
 23 Q And the source of the containers tested, it says,
 24 "Client samples, eBay/collector samples." What
 25 does that mean?

1 A Yes. These were samples that were brought into the
2 lab, and the source materials came from collectors,
3 if you will. They're all kinds of collectors in
4 the world, all kinds of things, as you well know.
5 In fact, in the Kent cigarette case, I was amazed
6 to find at the beginning there were all kinds of
7 people that collect unopened cigarette packs.
8 They're out there.

9 So, of course, that was our objective, where
10 to get unopened cigarette packs for the testing we
11 did, and we were able to do that. So those are
12 some of the collector sources.

13 Q What's Round 2?

14 A Round 2 is another group of samples. These
15 included the archived or what are called historical
16 samples from Johnson & Johnson. Again, they were
17 sourced from Italian mines, Vermont mines, and also
18 from mines in China. 57 of those were done. They
19 were prepped in the same fashion, and PLM
20 microscopy was also done in addition to the TEM
21 that you see here.

22 And in the last group that you see labeled
23 Colgate-Palmolive, these were talc products named
24 Cashmere Bouquet. You may remember some of those
25 products if you have folks in your family that used

1 them or folks that you know that may have used
2 them. Their source material came from -- also from
3 Italian mines, but other mines such as in North
4 Carolina and Montana. So talc was also sourced
5 from those areas.

6 They came from the archive, the Colgate
7 archives and also from collectors too. And we
8 analyzed 15 of those. They again were prepared in
9 the exact same way and analyzed in the exact same
10 way.

11 Q And it says, "15 sealed (Italian)," is that of the
12 Italian, North Carolina, and Montana, there were 15
13 that came from Italy?

14 A Yes, they were factory sealed. They actually had
15 the wrapper sealed onto them.

16 Q I don't think there's any dispute about this,
17 but --

18 MR. FINCH: May I have the ELMO, Jon.

19 Q -- is this consistent with your understanding that
20 the places that Johnson & Johnson got its talc
21 sources for were -- up to about 1967 were from
22 Italy, from 1967 to 2003 were Vermont, and then
23 from 2004ish to now is China?

24 A Yes, that's correct. I think there was a year in
25 the '60s where some was also sourced I think

1 from -- possibly from Italy too for a short period
2 of time. But yeah, generally this is correct.

3 Q Now, for the first round --

4 MR. FINCH: Can I go back to the PowerPoint,
5 Jon.

6 Q The first round of the Johnson & Johnson containers
7 tested, these obviously didn't come directly from
8 Johnson & Johnson; right?

9 A Correct.

10 Q And -- well, we're missing a slide.

11 MR. FINCH: Can I have the ELMO, Jon.

12 Q So of the 36 containers, does this explain where
13 that first batch came from?

14 A Yes.

15 Q Now, what did -- while you were at MAS, did you
16 have chain of control -- chain of custody
17 procedures for any kind of sample you were asked to
18 test from the time it got to the lab until it was
19 tested by the analysts?

20 A Yes, we do.

21 Q While you were at MAS, did MAS do asbestos product
22 testing, not only for lawyers for people who are
23 plaintiffs in asbestos litigation, but did you also
24 do testing for companies that made
25 asbestos-containing products?

1 A Yes. The laboratory did testing for companies that
2 made the products. It also did testing for
3 homeowners that wanted to know if there was
4 asbestos in the floor tile that they were about to
5 tear out or an insulation product. So there were
6 all kinds of clients that had products that they
7 wanted to have tested to see if they had asbestos.

8 Q And did the chain of custody procedures vary
9 depending on who the lab got the products from or
10 got the samples from?

11 A No.

12 MR. FINCH: Can I have the PowerPoint again,
13 Jon.

14 MR. BICKS: Can I have a copy when you -- of
15 that document you just used, if you have one?

16 MR. FINCH: This one? Sure.

17 MR. BICKS: Yeah. Thank you.

18 Q Was one -- could you just explain just generally,
19 briefly, what did you and the other people at MAS
20 do to verify the authenticity of the first batch of
21 Johnson's baby powder you were testing?

22 A The samples were compared to historical
23 documentation, photographs, that kind of thing, to
24 see if they matched up, were they the same kind of
25 product containers, did they have the same kind of

1 markings, did the weights appear to be correct,
 2 that kind of thing. So, you know, some of these
 3 went back to the '40s and '50s.
 4 Q What else did you do to determine whether or not
 5 the containers had been tampered with or changed in
 6 some way before they were tested?
 7 A We did some specialized testing for looking at
 8 containers. For instance, you see in this image
 9 where there is a seal that's a plastic seal around.
 10 It's a little difficult to see, but where the
 11 number 2755RB is, there's a plastic seal that's
 12 there that has to be broken prior to opening that
 13 container.
 14 So the -- what we did was we broke -- we
 15 photographed it before, and then we broke the seal
 16 later on to see how it would be disturbed, what it
 17 would look like. And then you could inspect any
 18 kind of container like this later on to see if
 19 there had been any kind of tampering with it. So
 20 that was some of the testing that we did.
 21 But these were designed to be tamper proof.
 22 So if you had a container that was, you know,
 23 sealed like this, you know that it never had been
 24 opened.
 25 Q What is a particle size distribution for a talc

1 product and how did you use that to analyze the
 2 contents of what it is you were testing?
 3 A The products themselves have -- they have a
 4 character -- a particular range of size, if you
 5 will. The manufacturer makes them according to a
 6 certain kind of specification, but they're called
 7 like a mesh size number it. And what it is is
 8 those flat plate talc particles that we talked
 9 about before, they want to get that product as
 10 consistent as they possibly can.
 11 So they have a range of particle size from
 12 75 microns down -- it can be smaller, but what
 13 they're trying to shoot for, a particle size that
 14 sort of stays out of the respiratory range. So
 15 what we did was we did a bunch of particle analysis
 16 on a number of the products and matched them up,
 17 and they matched up with what would have been that
 18 kind of particle range, that size range. They were
 19 consistent for their product.
 20 Q What is this graphic showing us here? What is this
 21 showing?
 22 A That's actually showing a graph of -- on the top
 23 left, you actually see in the scanning electron
 24 microscope, you see talc particles. They are from
 25 the Johnson & Johnson product. And you can see

1 that they look -- they look flat and they're platy.
 2 They are flat. But they're irregular in size. And
 3 what the analyst did was analyze these using a
 4 specific algorithm to fit the shape and then
 5 measure exactly what the shape parameter is. And
 6 then once they figure out what the shape parameter
 7 size was, they went ahead and graphed each one of
 8 these types and categories onto the graph that you
 9 see here.
 10 So you've got the size range all the way down
 11 to, I guess it's -- it looks like about 1 micron.
 12 So the 1 micron is the blue bar at the very bottom.
 13 Q Did you compare this to a control sample of
 14 Johnson's baby powder to see if it was consistent?
 15 A Yes.
 16 MR. BICKS: And again, Your Honor, when he
 17 says did you do it?
 18 Q Did the analysts at your laboratory that you were
 19 the chief scientist of --
 20 A Yes.
 21 Q -- compare this to a control sample?
 22 A Yes, they did.
 23 Q Round 2, did you and Dr. Longo do a series of
 24 reports related to the 56 containers you got
 25 directly from Johnson & Johnson of Johnson's baby

1 powder?
 2 A Yes.
 3 Q Have you summarized for us the results of testing
 4 of Johnson & Johnson cosmetic talc products?
 5 A Yes.
 6 Q This is the Round 1 results. What percentage of
 7 the containers, either bottles or tins, had
 8 detectable levels of asbestos?
 9 A Of the 36, 20 of them did, which is about
 10 55 percent.
 11 Q What were the types of asbestos that were found?
 12 A There were specifically three major types. Well,
 13 the tremolite, actinolite and anthophyllite. Now,
 14 you see another term in there, it says richterite.
 15 That's another form, if you will, of -- remember I
 16 talked about the solvent solution series. This
 17 form has some sodium with it. So it fit -- it fits
 18 in the same category, in the same group.
 19 Then there, of course, is the fiber size
 20 range. The numbers that we found per gram range
 21 from 7,000 up to 15 million fibers per gram in the
 22 product. So there's an average fiber count there
 23 for that -- for that range, which is 1,087,000.
 24 But then if you take out the highest number,
 25 because that can also, you know, maybe bias it high

1 and you just look at that average, then it's about
 2 360,199. And the average aspect ratio was 12 to 1.
 3 So everything fit, as it should, for that type of
 4 asbestos.
 5 Q What about the Round 2 results, not including the
 6 Asian samples?
 7 A Here again, in the 50 -- that's with that -- there
 8 were 57 in that group, and seven of them were from
 9 a Korean mine. Of those 50, 36 of them were
 10 positive for asbestos, which is 72 percent.
 11 Q The 50, not including the seven that came from
 12 Korea, was the 50 that came from either Italy or
 13 Vermont or China?
 14 A Correct.
 15 Q And what were the types of asbestos identified in
 16 the Round 2, the containers you got directly from
 17 Johnson & Johnson?
 18 A As you can see, tremolite and anthophyllite were
 19 the major asbestos types, anywhere from 7,240 per
 20 gram up to 268,000. Average fiber count there,
 21 37,000 per gram, and the aspect ratio was about 13
 22 to 3. And you all are experts in aspect ratios.
 23 Q Now, there's also something, recent source talc
 24 with detectable asbestos from railroad car samples.
 25 The jury hasn't heard about that yet. What were

1 the railroad car samples and what was done to them
 2 and what was the results?
 3 A These, again, are historical samples that were
 4 actually taken from railroad car loads of talc that
 5 were going to be processed to go into the Johnson's
 6 baby powder products. And MAS laboratory tested 15
 7 of these. So there again, historical samples,
 8 their product that Johnson & Johnson was going to
 9 use to make their product.
 10 Q What were the types of asbestos found in the
 11 railroad car samples of talc?
 12 A Here again, tremolite and anthophyllite, 4,600 to
 13 59,000 fibers per gram, averaged 19,462, and then
 14 average aspect ratio of 17.7 to 1.
 15 Q And combining across both rounds of testing, what
 16 percentage of the containers had detectable
 17 asbestos?
 18 A 65 percent of them did. If you look at all the
 19 containers, and then if you look at the railroad
 20 car or the source containers, about 53 percent of
 21 them had -- were positive for asbestos.
 22 Q And again, this has the fibers per gram range from
 23 low to high and the average aspect ratio?
 24 A Yes, 4,600 to 15,000,100 fibers per gram,
 25 tremolite, richterite, actinolite, anthophyllite,

1 and average aspect ratio, if you put them all
 2 together, 12.7 to 1.
 3 Q Can you give the jury an example of something that
 4 would weigh a gram? How much is a gram of
 5 something?
 6 A You could think maybe of a sugar cube. Well, maybe
 7 something a bit smaller than that. Yeah, something
 8 like that.
 9 Q So not a lot of material if I have anywhere from
 10 4,000 to 15 million asbestos fibers per gram?
 11 A Yeah, it wouldn't be much.
 12 Q Did you also do an analysis of the Johnson &
 13 Johnson testing where you, instead of dividing it
 14 by Batch 1 and Batch 2, you divided it by the years
 15 for the years it was Italian sourced talc, Vermont
 16 sourced talc, and Chinese talc?
 17 A Yes. We divide them up into the 1960s, the '70s,
 18 the '80s, the '90s, and the 2000s.
 19 MR. BICKS: Again, Your Honor, it's the "you"
 20 and then the "we." And I don't think solving it
 21 is, you while you were the chief science officer,
 22 because we know what his role was at the last
 23 hearing.
 24 Q Dr. Rigler --
 25 THE COURT: I'll sustain the objection.

1 Q -- in the report that you co-authored with
 2 Dr. Longo, did you do an analysis of what
 3 percentage of the talc tested positive for
 4 asbestos, you personally by you?
 5 A Yes.
 6 Q Did you do -- did you review every single
 7 photomicrograph taken by the analysts, every count
 8 sheet done by the analysts for all the reports
 9 you've talked about here?
 10 A That was part of my job, yes.
 11 Q Did you do quality control for all of the reports
 12 you've talked about here?
 13 A Yes.
 14 Q Did you from time to time sit down beside the
 15 analysts while they were looking at a talc particle
 16 or asbestos fiber found in a talc particle to
 17 discuss with them what they were seeing?
 18 A Many times, yes.
 19 Q Did you -- during the time that this testing was
 20 going on, how much -- what percentage of the time
 21 were you in the laboratory?
 22 A I was in the laboratory 99 percent of the time.
 23 Q During the time that this testing was going on at
 24 MAS, did you personally have discussions with both
 25 the analysts and Dr. Longo about the analysis that

1 was being done and what the results were?
 2 A Yes.
 3 Q And did you author the reports, along with
 4 Dr. Longo, of everything you've testified about
 5 here today?
 6 A I co-authored them, yes.
 7 Q In the same way that you co-authored the scientific
 8 paper that was published in the peer-reviewed
 9 literature in 1995?
 10 A Yes, sir.
 11 Q Now, breaking it down by years or sources, what
 12 percentage of the Italian sourced talc in Johnson's
 13 baby powder was positive for asbestos?
 14 A Well, up to 1967, the Italian type is 65 percent
 15 positive.
 16 Q What about for the Vermont sourced talc in the 1967
 17 to 2003 time frame?
 18 A 82 percent were positive.
 19 Q And what about the Chinese talc?
 20 A 39 percent.
 21 Q Would it surprise you if any particular bottle
 22 tested was a nondetect or no asbestos detected?
 23 A That wouldn't surprise me.
 24 Q Does the fact that a test registers no asbestos
 25 detected or a nondetect mean that you could be a

1 hundred percent certain there's not asbestos there?
 2 A You can't be a hundred percent certain because of
 3 your detection limits.
 4 Q So even if there was no asbestos detected by MAS,
 5 that still doesn't mean there wasn't asbestos there
 6 but at a level that's still there but below the
 7 detection limit?
 8 A Yes, that's possible, yes.
 9 Q And the Cashmere Bouquet product, is it your
 10 understanding that the source of Cashmere Bouquet
 11 talc in the early '60s was the same mine that
 12 Johnson & Johnson used?
 13 MR. BICKS: Your Honor, I don't think, given
 14 where we are, the relevance of Cashmere Bouquet,
 15 I'm trying to understand, and I'm wondering if the
 16 jury's going to be confused with that information.
 17 MR. FINCH: Okay. I'll pass the Cashmere
 18 Bouquet.
 19 Q Let's turn now to --
 20 THE COURT: So you've withdrawn the question?
 21 MR. FINCH: I've withdrawn the question.
 22 BY MR. FINCH:
 23 Q Historical testing of Johnson's baby powder. There
 24 was a lot of discussion by Mr. Bicks in opening
 25 statement about lots and lots and lots of testing

1 done of Johnson's baby powder, never, ever, ever
 2 any asbestos ever found in baby powder, and that
 3 there was -- the talc has always, always, always
 4 been asbestos free. I want you to assume that was
 5 stated in opening.
 6 MR. BICKS: Your Honor, I'd just object. It's
 7 argumentative and improper use of an opening.
 8 MR. FINCH: All right.
 9 MR. BICKS: Can he just ask a question?
 10 THE COURT: I'll sustain that.
 11 BY MR. FINCH:
 12 Q Have you reviewed Johnson & Johnson's historical
 13 testing of its baby powder and its talc, including
 14 testing done by its outside laboratories, such as
 15 McCrone?
 16 A Yes.
 17 Q And do you have an opinion as to why there are so
 18 many nondetects or no asbestos detected in the
 19 Johnson & Johnson and McCrone's tests?
 20 A They -- there are a number of reasons. It's kind
 21 of obvious.
 22 Q Well, then could you lay them out for us?
 23 A Well, they didn't -- they didn't do any heavy
 24 liquid separation work.
 25 Q Why is that important?

1 A Again, to detect these low levels and to separate
 2 the talc so that you can count the asbestos. It's
 3 very important. So that's one reason.
 4 Q What's another reason why there were so many
 5 nonasbestos detected or nondetects?
 6 A Their detection limits were high. And that may not
 7 seem like it means something, but understand that
 8 in their TM7024, Johnson & Johnson's own protocol
 9 for testing, if you didn't find at least 5 asbestos
 10 fibers in your analysis, then it didn't exist. So
 11 what that means is that you see the asbestos fibers
 12 that have been counted by the laboratory, and when
 13 you do the calculation to how many per gram, that
 14 kind of thing, five is very significant.
 15 Because of the way that they did this, you
 16 would essentially -- if you just had four fibers,
 17 just four, and you said, well, you know, how many
 18 is that really? That can come to tens of
 19 thousands, if not millions, of fibers in the
 20 product that they would say didn't exist. They
 21 didn't exist. Unless we found five, they didn't
 22 actually exist. And that's actually part of their
 23 testing protocol. So that's one reason why they
 24 didn't find it, and that's what we call very high
 25 detection limits.

1 So MAS's detection limits, because of the
2 heavy liquid separation is in the range, you've
3 seen some of the numbers here, 7,000 structures.
4 If you find just a few of them, 7,000. And so they
5 would have to find, in some cases, tens of millions
6 of them before they'd say yes, there's something in
7 our product.

8 Q There's -- right at the beginning of your direct,
9 it was a couple hours ago now, you talked about
10 x-ray diffraction. I guess it's been longer than a
11 couple of hours.

12 A Yes.

13 Q But how, if at all, did the use of x-ray
14 diffraction play into all these nondetects?

15 A The -- you have to understand that for talc
16 products, because talc is also being used in
17 pharmaceutical applications and cosmetics, that
18 kind of thing, that there was a specification for
19 testing them. And there was a group called the
20 Cosmetic -- CFTA, I believe the cosmetic fragrance
21 association, and what they did was they used a
22 specific testing method which is from the U.S.
23 Pharmacopeia, which is the outfit that sets
24 standards for drug testing. U.S.P., you've seen
25 that if you take a bottle of aspirin or whatever,

1 it has U.S.P. on there.

2 What that means is it tests according to their
3 specification. It tests according to the drug
4 manufacturer's specification, U.S.P. So there's a
5 talc specification for that. And one of the
6 testing parameters was called XRD, x-ray
7 diffraction. Remember that square machine in the
8 one image that we showed earlier on. So x-ray
9 diffraction.

10 The problem with x-ray diffraction is it's
11 very limited. You can maybe see down to a tenth of
12 a percent of asbestos, which is not very much. So
13 if it's less than a tenth of a percent, that's a
14 lot by TEM, and the x-ray diffraction technique
15 would miss that. But that's part of their
16 specification. X-ray diffraction is a part of
17 their specification. So that's why they could miss
18 it.

19 Q Okay. Let's go through a few documents from
20 Johnson & Johnson's files or the CTFA that
21 illustrate this.

22 MR. FINCH: Can I have Exhibit 619?
23 May I approach, Your Honor.

24 THE COURT: Yes. And has this been stipulated
25 to?

1 MR. FINCH: I believe it's been --

2 MR. BICKS: No. We haven't.

3 MR. FINCH: This is a document on Johnson &
4 Johnson letterhead dated February 28, 1975.

5 MR. BICKS: Right. But he's not a witness
6 with foundation to use this unless --

7 MR. FINCH: I can lay a foundation.

8 BY MR. FINCH:

9 Q Doctor --

10 MR. FINCH: First of all, do you have any
11 objection to the admissibility of the document?

12 MR. BICKS: I just don't think he's got a
13 foundation to talk about the document, so it
14 couldn't be admitted here.

15 MR. FINCH: May I lay a foundation, Your
16 Honor?

17 THE COURT: Yes.

18 BY MR. FINCH:

19 Q Dr. Rigler, have you seen Plaintiffs' Exhibit 619
20 before?

21 A I'd have to see it.

22 Q Yeah.

23 A Yes.

24 Q It's a document on Johnson & Johnson letterhead
25 dated February 28, 1975?

1 A Yes.

2 Q It's a discussion of the review of CTFA methodology
3 for the detection of asbestos in talc as well as
4 comments on the TPF methodology?

5 A Yes, sir.

6 Q Is it a document that you have relied on in at
7 least in part for some of your opinions with
8 respect to this case?

9 A Well, in reference to x-ray diffraction as a
10 technique.

11 Q Also, is it a document that on the last page --
12 without showing it to the jury, could you go to the
13 last page. Does it discuss a concentration
14 technique in paragraph 4?

15 A Yes, it does.

16 Q And you're familiar with that part of the document?

17 A Yes, sir.

18 Q Does that inform your opinions, at least in part,
19 in this case?

20 A Yes, sir.

21 MR. FINCH: Your Honor, I would offer at this
22 point Exhibit 619. I don't believe there's any
23 dispute as to its authenticity or that it's a
24 Johnson & Johnson document. And I believe this
25 witness has established the foundation to testify

1 about it.

2 THE COURT: Any objection?

3 MR. BICKS: No objection.

4 THE COURT: All right. The Court shows
5 Exhibit 619 admitted without objection.

6 MR. FINCH: Okay. Can I have the ELMO, Jon.

7 Q All right. So this is Plaintiffs' 619. And am I
8 right, this is sort of the time frame when the CTFA
9 is coming up with the x-ray diffraction as a
10 methodology to test talc?

11 A Yes.

12 Q This is from Robert Rolle at Johnson & Johnson, on
13 Johnson & Johnson letterhead, to Ian Sloan at
14 Johnson & Johnson in Great Britain; right?

15 A Yes.

16 Q And the letter was written by somebody in New
17 Brunswick, New Jersey? You see that? On the ELMO?

18 A Yes, sir.

19 Q Oh, sorry, yes.

20 MR. BICKS: Did you ever --

21 MR. FINCH: I thought you had a copy?

22 MR. BICKS: No, I do. But the foundation with
23 him to narrate through the documents, there's no
24 foundation for that.

25 MR. FINCH: Your Honor, I thought I laid a

1 foundation, and you had overruled the objection.

2 THE COURT: Well, he didn't -- the Court
3 admitted it without objection.

4 MR. BICKS: Right.

5 THE COURT: And you're objecting that there's
6 no foundation for the witness to discuss the
7 document?

8 MR. BICKS: To start saying who wrote the
9 document, where are they from. He won't know any
10 of that.

11 THE COURT: The witness -- I'll overrule that.

12 The witness testified he had viewed the document
13 and was familiar with it.

14 BY MR. FINCH:

15 Q All right. Turning now to paragraph 4 on the last
16 page of the document. First of all, you said that
17 you relied on this in part because there was a
18 description of the CTFA, what eventually became the
19 CTFA J4-1 technique?

20 A Yes.

21 Q And that's the x-ray diffraction?

22 A Yes, sir.

23 Q And that only allows, at least at the time, a
24 detection down to .2 percent or something?

25 A Yeah, .11 percent.

1 Q And then the last page of the document, "We are
2 presently practicing evaluating the Pooley
3 flotation method so we are not in a position to
4 recommend it at this time. Besides, we feel that a
5 detectability limit with our two present methods of
6 0.5 percent to 1 percent is reasonable and provides
7 us a safety margin of 48,300 (see report August 15,
8 1974). Our major problem with the Pooley procedure
9 is that since one can continually recycle tailings
10 (concentrate) given enough time, it is possible to
11 arrive at levels of detectability of asbestos in
12 talc in the parts per million range - at what stage
13 of recycling do you stop? We really want to
14 exclude concentration techniques in any proposed
15 analytical procedure and are really looking at the
16 method very quietly so that we will be informed and
17 up-to-date with this area of technology. We want
18 to avoid promotion of this approach."

19 Did I read that right?

20 A Yes.

21 Q Is that a description of a potential heavy liquid
22 separation method, in your opinion as a
23 professional material scientist?

24 A Yes. It's a concentration method, yes.

25 Q Based on the TEM testing specifications, which is

1 in Plaintiffs' Exhibit 38, was there any -- ever
2 any requirement for Johnson & Johnson or its --
3 anyone who was following 7024 to do a heavy liquid
4 concentration preparation first?

5 A No, they didn't do that.

6 MR. FINCH: May I have Plaintiffs'
7 Exhibit 185.

8 May I approach?

9 THE COURT: Yes.

10 BY MR. FINCH:

11 Q Dr. Rigler, are you familiar with Plaintiffs'
12 Exhibit 185?

13 A Yes.

14 Q This is the final CTFA method J4-1?

15 A Yes.

16 Q Asbestiform amphibole materials in cosmetic talc?

17 A Yes.

18 Q Is that the x-ray diffraction method you were
19 referring to a few minutes ago?

20 A Early on, yes.

21 Q This is a document that came from the files of
22 Johnson & Johnson?

23 A Yes.

24 MR. FINCH: Your Honor, we'd offer Plaintiffs'
25 Exhibit 185.

1 MR. BICKS: No objection.
 2 THE COURT: The Court shows Plaintiffs'
 3 Exhibit 185 admitted without objection.
 4 BY MR. FINCH:
 5 Q This is the method that the talc industry, the
 6 cosmetic talc industry chose to follow to look for
 7 asbestos in talc; is that correct?
 8 A To my knowledge, it is, yes.
 9 Q This chart, or I guess an engineer would call that
 10 a flowchart maybe.
 11 A Yes.
 12 Q Or maybe they wouldn't, but what is this chart on
 13 the bottom? What is it showing us?
 14 A It shows that the process for analyzing talc,
 15 starting with x-ray diffraction, if none is
 16 detected by x-ray diffraction, stop. We won't go
 17 any further. Just stop. If you do find something,
 18 then you move on to optical microscopy and
 19 dispersion staining.
 20 Q And at this stage of x-ray diffraction, if there is
 21 amphibole present below .5 percent, do you just --
 22 what happens?
 23 A Stop. You don't do anything.
 24 Q So if -- and you don't even test to see whether
 25 it's asbestos amphibole or not asbestos amphibole?

1 A You just stop.
 2 Q So if you have a lot of talc -- and by "lot," I
 3 don't mean a big amount, but say a railroad car
 4 full of talc, and there was actually asbestos there
 5 at a level of .01 percent concentration, and you
 6 tested it a million times, using x-ray diffraction,
 7 would you ever detect the asbestos that was there?
 8 A No.
 9 Q Now, you mentioned the high cutoff values in terms
 10 of how many asbestos structures have to be present
 11 before you would call something as testing positive
 12 for asbestos. Do you remember telling the jury
 13 about that?
 14 A For the Johnson & Johnson.
 15 Q And this is Exhibit 38 already.
 16 MR. BICKS: And Your Honor, just so -- when we
 17 keep talking about the Johnson & Johnson method,
 18 are we including TEM as well or are we just talking
 19 about CTFA 41? Because this is kind of misleading.
 20 MR. FINCH: This is -- I'm talking about --
 21 A 7024.
 22 MR. FINCH: -- 7024 is Johnson & Johnson --
 23 Q What is the title of this document, Dr. Rigler?
 24 A "Analysis of Powder Talc for Asbestiform Minerals
 25 by Transmission Electron Microscopy."

1 Q So are we talking about electron microscopes?
 2 A Yes.
 3 Q And this is Johnson & Johnson's --
 4 THE COURT: Is there an objection?
 5 MR. BICKS: No, Your Honor.
 6 THE COURT: All right. Thank you.
 7 Q This is Johnson & Johnson's method, whenever it
 8 used, or McCrone used, an electron microscope, this
 9 is the method they followed from whenever this
 10 began in the '70s, all the way up to whatever the
 11 most recent document we have?
 12 A Yeah, this is the method with, if you didn't count
 13 at least five structures, that it wasn't there.
 14 Q So there's a few things about this document. The
 15 jury's already seen, and they'll have this one
 16 back. The jury's already seen Johnson & Johnson's
 17 definition of a fiber; elongated particle with
 18 parallel sides and an aspect ratio bigger -- longer
 19 than three to one; right?
 20 A Yes.
 21 Q Now, in Section 6.0, "Limit of Quantifiable
 22 Detection."
 23 A Yes.
 24 Q "The detection of five or more asbestiform minerals
 25 of one variety in an analysis constitutes a

1 quantifiable level of detection. When no
 2 asbestiform minerals are detected, a representative
 3 fiber size is used to calculate the detection
 4 limit."
 5 What -- as a scientist, what is your
 6 understanding of a limit of quantifiable detection
 7 when you have a five fibers of one variety limit?
 8 A Well, you've limited yourself to, say, if we don't
 9 find five fibers of one variety, that, you know,
 10 it's not a quantifiable level.
 11 Q Have you done a calculation as to the total
 12 asbestos fiber concentration per gram of Johnson's
 13 baby powder that would be below the limit of
 14 quantifiable detection per this standard?
 15 A Yes.
 16 MR. BICKS: Can I just have the foundation as
 17 to when that was done?
 18 Q When did you do these calculations?
 19 A These calculations were done, I believe, while I
 20 was still at MAS.
 21 Q So --
 22 A It's been quite a while.
 23 Q -- at least prior to May of last year?
 24 A Yeah, somewhere in that amount of time.
 25 MR. BICKS: Can I just have a representation

1 that those were disclosed in his expert report?
2 Because I didn't see them in the case.

3 MR. FINCH: They were in the -- I believe they
4 were disclosed, Your Honor. I don't know if it was
5 in the expert report, but in all of the backup
6 materials that were disclosed, these calculations
7 were disclosed.

8 A Yeah, there's a calculation in there. It's
9 calculated for four fibers of one type of asbestos,
10 four fibers of two types of asbestos, four fibers
11 of three types of asbestos, and the calculations
12 are done like that.

13 Q Can you replicate those calculations now? If you
14 had four fibers of tremolite, would that count
15 as -- in a gram of -- four fibers in a gram, would
16 that count as a detectable level of asbestos under
17 this method?

18 A No.

19 Q And what is -- if there were four fibers in the
20 grid, what would that mean in terms of tremolite
21 fibers per gram of talc?

22 A Well, I don't remember the exact numbers, but it
23 would be -- it would be tens of thousands of
24 fibers, maybe hundreds of thousands. Possibly
25 millions. So again, I don't remember right off the

1 top of my head, but a calculation was done.

2 This particular method that they use does it
3 on a weight percentage, and I don't want to
4 complicate it or confuse you guys, but there --
5 this calculation is done in a different way. What
6 the health authorities believe, the calculations
7 should be done on single fiber, on fibers, on
8 bundles, on single ones.

9 This is done by taking a single fiber,
10 figuring out what the mass of that is, and then
11 basing your counts on that. And that's -- that can
12 be very misleading as to the number of fibers or
13 structures that are actually in it. But this is
14 the way that Johnson & Johnson has chosen to do it,
15 to do it by a weight percentage.

16 So once again, it's a representation, though
17 you can do it this way, but it doesn't give you the
18 impact or the idea of how many actual fibers or
19 structures are in the product. It'll just give you
20 a weight percentage. So those are some of the
21 major differences there.

22 MR. FINCH: Your Honor, I note the time.
23 We're getting close to the end, but we're certainly
24 not going to be done in time for our stopping time.
25 So I'm getting ready to move to a different area.

1 And we will be wrapping up in the next hour or so,
2 but we made a commitment to one of our jurors, and
3 I would request respectfully that we cease for the
4 day.

5 THE COURT: Yes. Thank you. Then we'll
6 conclude for the day. And as always, we'll
7 conclude with our admonition.

8 We are now going to take a break for the day
9 and for the weekend. Please be back at 9 a.m.
10 Monday morning.

11 Before we recess, I have told you not to talk
12 about the case and to keep an open mind concerning
13 it. You are not to discuss the evidence or the
14 case with anyone else, and you are not to permit
15 anyone else to discuss the case with you or to talk
16 about it in your presence on any subject or matter
17 connected with the trial.

18 It is your duty to keep an open mind about the
19 case until it is submitted to you for final
20 deliberation. If anyone tries to discuss the case,
21 get their name and report it to the Court.
22 Furthermore, during a recess, you are not to talk
23 to any of the attorneys, staff, or witnesses about
24 anything, not even to pass the time of day.

25 In addition, jurors are not allowed to read

1 anything concerning any of the parties or products
2 in the case. This includes simply reading the
3 paper, listening to the radio, or internet articles
4 that seem to pop up everywhere. Also, you may not
5 Google anything or anyone concerning the parties or
6 products involved in this case. You may be and
7 must appear to be impartial at all times during the
8 trial.

9 Lastly, there is no -- the Indiana Code of
10 Judicial Conduct prohibits broadcasting,
11 televising, recording, or taking photographs in the
12 courtroom or adjacent areas.

13 So with these admonitions, you are released
14 for the weekend, and we look forward to seeing you
15 Monday morning at 9 a.m.

16 (Whereupon, the jury exited the courtroom.)

17 THE COURT: While we're still on the record,
18 just a few housekeeping matters, and then if there
19 are any matters that the parties would like to
20 discuss with the Court, of course we can do so.

21 This morning the Court permitted a recross,
22 and the Court's not going to do that anymore. So
23 just going forward, so everybody is clear about
24 that, if one party exceeds the scope of cross on
25 redirect, then you just have to object in a timely

1 fashion.

2 And just so that I'm clear, the parties intend

3 to submit briefing on whether the balance of the

4 item that was partially admitted into court today

5 can be admitted in full. So I'll receive that

6 sometime over the weekend?

7 MR. BICKS: Yes.

8 THE COURT: Now, lastly, as to page and line

9 designations, will I be receiving those, and if so,

10 when?

11 MS. FARINAS: Your Honor, what is your -- just

12 to back up to the last one, I wrote down when we

13 were talking about that earlier that you gave

14 defendants till noon on Sunday to file their

15 briefing and us till noon on Monday to file the

16 response on the FDA -- the recall issue, the

17 document.

18 THE COURT: Yes. Because Monday is all

19 Dr. Hopkins, is that correct?

20 MS. FARINAS: I just wanted to be clear that

21 our response was not also due, like, Sunday night

22 at midnight or something like that.

23 And then with respect to the page and lines on

24 Melody's deposition, I was talking earlier with

25 Todd Barnes from our office who has been doing it,

1 and I think perhaps the best option is to have --

2 maybe we can come to an agreement on a highlighted

3 deposition that has the different portions that are

4 at issue, that then for each side to submit written

5 objections to the page and lines that are offered

6 by another party with their objection written out

7 and a column for you to rule on those objections.

8 I think that's going to be the best way to actually

9 get some clarification and some rulings. That

10 would be our suggestion, Your Honor.

11 THE COURT: And you intend to play her video

12 on Wednesday?

13 MS. FARINAS: Yes, Your Honor.

14 THE COURT: Personally I would like to have

15 those over the weekend so I can do it on Sunday,

16 because Monday and Tuesday I would expect to be in

17 court.

18 MS. FARINAS: And we can make that happen.

19 THE COURT: So if you could submit them Sunday

20 at noon.

21 MS. FARINAS: Sunday at noon as well for

22 those?

23 THE COURT: Sunday by noon.

24 MR. FINCH: And with respect to Hopkins, I am

25 advised that there's only a handful of potential

1 objections. I'm going to be in communication with

2 counsel for Johnson & Johnson over the weekend,

3 either Saturday or Sunday, see if we can work that

4 out. If we can't, I don't anticipate we'd need

5 more than, what, 10, 15 minutes of argument time.

6 MS. MALIK: Yes, Your Honor.

7 THE COURT: Yeah, I took a look at them, and

8 there aren't very many.

9 MS. FARINAS: And the only other deposition, I

10 think, that's coming up as well is a deposition of

11 Alice Blount that we would like to enter into

12 evidence as well. I think there could be

13 potentially a few more arguments with respect to

14 that. We can try to reach out and discuss that as

15 well this weekend and get the positions.

16 THE COURT: I don't have her on my list. When

17 did you want to play that deposition?

18 MS. FARINAS: It would be after Hopkins at

19 some point.

20 MR. FINCH: It would be after Hopkins is over,

21 after Rigler is over.

22 THE COURT: So after --

23 MR. GREENE: Later on in the week.

24 MS. MALIK: It's not very long.

25 MR. FINCH: No, it's not.

1 MS. FARINAS: It's very small.

2 MR. GREENE: Some of those objections, Your

3 Honor, to what the plaintiffs want to play are

4 essentially the same objection throughout. So once

5 the Court makes a conclusion, we'll know most of

6 what's in or out.

7 THE COURT: So do that Monday?

8 MS. FARINAS: We can find a hole on Monday.

9 THE COURT: If you want to play it on Tuesday,

10 we can do that Monday sometime.

11 MS. FARINAS: We can talk over the weekend and

12 find a hole on Monday to talk about anything that

13 we need to.

14 THE COURT: Anything else?

15 MR. BICKS: I would just notify the Court and

16 opposing counsel that with Kerr departing and each

17 party reserving the right to call any expert

18 retained by another defendant, that we're inclined

19 to likely call Dr. Roggli and probably likely not

20 call Dr. Chireac. So that's --

21 MR. FINCH: Your Honor, I took Roggli's --

22 Dr. Roggli's deposition and he specifically said he

23 was only working for Kerr in this case, he was not

24 working for Johnson & Johnson. I don't think it is

25 fair or proper for them to now call him as their

1 expert. If they were to have designated him as
 2 their expert, I would have done a somewhat
 3 different deposition of Dr. Roggli.
 4 I don't think -- I mean, yes, you can have a
 5 right to -- reserve the right to call another
 6 side's expert, but that's if the expert is properly
 7 disclosed. And he was not -- in his opinions, he
 8 did not say -- when I asked him, he said he was
 9 giving opinions about Kerr dental tape for this
 10 case and he wasn't working for Johnson & Johnson or
 11 Cashmere Bouquet for that matter.
 12 So I think we would be prejudiced for them to
 13 call him as an expert witness in their case when he
 14 was designated by Kerr and he told me under oath in
 15 his deposition he was only working for Kerr and
 16 wasn't going to be working for Johnson & Johnson.
 17 I think that is prejudicial because I would have
 18 done a somewhat different cross-examination of him
 19 at the deposition.
 20 MR. BICKS: We're using him on the exact topic
 21 that you did depose him on, his finding of an
 22 asbestos body. And we expressly reserved the
 23 right --
 24 THE COURT: I'm sorry, his what?
 25 MR. BICKS: The finding of the asbestos body.

1 It's a very short examination, and it is what you
 2 depose him on. The part about the Kerr exposures,
 3 that's a whole nother issue. We're obviously not
 4 getting into that. And in our filing with the
 5 Court that everybody has, we stated that we
 6 expressly reserved the right to call any expert
 7 witness designated by any other party to this
 8 action, including any defendant subsequently
 9 dismissed from the action. And there's no
 10 surprise. It's the same topic that you examined
 11 him on.
 12 MR. FINCH: Can I confer with my co-counsel
 13 and think over the weekend what our position is
 14 going to be on this, Your Honor?
 15 THE COURT: Yes. And can the Court have --
 16 MR. FINCH: You said somebody is going to be
 17 showing up next week. Is he --
 18 MR. BICKS: I'm just trying to give the Court
 19 a heads-up because, honestly, with all the
 20 deposition stuff, I can anticipate we're going to
 21 have a problem. So I'm focusing on Friday, a week
 22 from today, to make sure that we've got everything
 23 in order for the Court. So I'm focusing a week out
 24 so the Court knows what's going on it.
 25 And Your Honor --

1 MS. MALIK: The same day he was going to come.
 2 MR. BICKS: The same day he had been
 3 previously scheduled to come is on that day.
 4 MR. FINCH: Okay. I will consult with my boss
 5 and my brains over here --
 6 THE COURT: Very good.
 7 MR. FINCH: -- and give you a --
 8 THE COURT: Is there a TID as to the portion
 9 of his deposition, so the Court would like to look
 10 at it so in case there is any argument as to
 11 prejudice?
 12 MR. BICKS: Sure.
 13 MS. FARINAS: I don't think it's filed on the
 14 system, Your Honor. Perhaps J & J could upload it
 15 to the deposition docket for you.
 16 MS. MALIK: Sure.
 17 THE COURT: Or if it's short -- sure. Just do
 18 this. And then if you make an objection, I'll know
 19 where to go. Thank you.
 20 Okay. So anything else?
 21 MS. FARINAS: No, I think that threw us for a
 22 loop before the end of the day.
 23 THE COURT: Well, thanks very much. We can go
 24 off the record.
 25 (The proceedings concluded at 3:50 p.m.)

1 STATE OF INDIANA
 2 COUNTY OF HENDRICKS
 3
 4 I, Debbi S. Austin, a Notary Public in and for
 5 said county and state, do hereby certify that on the
 6 18th day of October, 2019, I took down in stenograph
 7 notes the foregoing proceedings in the aforementioned
 8 matter;
 9 That said proceedings were taken down in
 10 stenograph notes and afterwards reduced to typewriting
 11 under my direction; and that the typewritten
 12 transcript is a true record of the proceedings;
 13 I do further certify that I am a disinterested
 14 person in this cause of action; that I am not a
 15 relative of the attorneys for any of the parties.
 16 IN WITNESS WHEREOF, I have hereunto set my
 17 hand and affixed my notarial seal this 18th day of
 18 October, 2019.
 19
 20
 21
 22
 23 My Commission Expires:
 24 July 13, 2023
 25