

FOIA MARKER

This is not a textual record. This is used as an administrative marker by the William J. Clinton Presidential Library Staff.

Collection/Record Group: Clinton Presidential Records
Subgroup/Office of Origin: Council on Environmental Quality
Series/Staff Member: Kathleen (Katie) McGinty
Subseries:

OA/ID Number: 2615
FolderID:

Folder Title:
Global Climate: Global Change Research

Stack:	Row:	Section:	Shelf:	Position:
S	61	5	7	1

2-6

unedited

Stenographic Transcript of
HEARINGS
Before the

Please return any material for the hearing record now in your possession, including opening statements of, or for, any Senator (which need to be typed caps and lowercase in order to be scanned) along with a xerox copy of any corrected pages of the transcript.

Any material received for the record, that you want printed in the record, should be sent piecemeal. Original copies (with no marks) are required. If for any reason (executive session, floor action, etc.) you need your hearings printed at an accelerated pace, please let me know when you return the xeroxed corrected pages of the transcript.

Any transcript not received within the specified timeframe will placed at the end of the line and worked when time permits.

Any questions, please call Spike at 4-4294.

Thank you.

**HEARING ON
GLOBAL CHANGE RESEARCH
INDICATORS OF GLOBAL WARMING AND SOLAR VARIABILITY**

Thursday, February 27, 1992

Washington, D.C.

**ALDERSON REPORTING COMPANY
1111 14TH STREET, N.W.
WASHINGTON, D.C. 20005-5650
(202) 289-2260**

1
2 Senator Gore: 2, 9, 11, 14, 16, 17, 21, 22, 25, 26, 30, 31,
3 33, 34, 36-38, 42, 43, 45-55, 57, 58, 61, 65, 66, 69, 72-74,
4 76-81, 84-86, 90-94, 97, 98
5 Senator Kerry: 81
6 Senator Pressler: 32, 46, 47, 50, 52, 68, 80
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

ALDERSON REPORTING COMPANY, INC.
1111 FOURTEENTH STREET, N.W.
SUITE 400
WASHINGTON, D.C. 20005
(202) 289-2260
(800) FOR DEPO

A

1	C O N T E N T S	
2	STATEMENT OF:	PAGE
3	HON. AL GORE, U.S. SENATOR FROM TENNESSEE	2
4	DR. ROSEANNE D'ARRIGO AND DR. GORDON JACOBY, TREE RING	
5	LAB, LAMONT-DOHERTY GEOLOGICAL OBSERVATORY,	
6	PALISADES, NEW YORK 10964	10
7	DR. HENRY N. POLLACK, CHAIRPERSON, DEPARTMENT OF	
8	GEOLOGICAL SCIENCES, UNIVERSITY OF MICHIGAN,	
9	ANN ARBOR, MICHIGAN	15
10	DR. LONNIE G. THOMPSON, BYRD POLAR RESEARCH CENTER,	
11	OHIO STATEMENT UNIVERSITY, COLUMBUS, OHIO	18
12	DR. PAUL E. DAMON, PROFESSOR EMERITUS, DEPARTMENT OF	
13	GEOSCIENCES, UNIVERSITY OF ARIZONA	22
14	DR. JUDITH LEAN, CODE 4165L, NAVAL RESEARCH LABORATORY,	
15	DEPARTMENT OF THE NAVY, WASHINGTON, D.C.	27
16	HON. LARRY PRESSLER, U.S. SENATOR FROM SOUTH DAKOTA	32
17	DR. JERRY D. MAHLMAN, DIRECTOR, GEOPHYSICAL FLUID	
18	DYNAMICS LABORATORY, NATIONAL OCEANIC AND	
19	ATMOSPHERIC ADMINISTRATION, PRINCETON UNIVERSITY,	
20	PRINCETON, NEW JERSEY	34
21	DR. DAVID RIND, NASA GODDARD INSTITUTE FOR SPACE	
22	STUDIES, NEW YORK, NEW YORK	39
23	DR. PAUL E. DAMON, PROFESSOR EMERITUS, DEPARTMENT OF	
24	GEOSCIENCES, UNIVERSITY OF ARIZONA,	
25	TUCSON, AZ 85721	85

ALDERSON REPORTING COMPANY, INC.
1111 FOURTEENTH STREET, N.W.
SUITE 400
WASHINGTON, D.C. 20005
(202) 289-2260
(800) FOR DEPO

1 HEARING ON
2 GLOBAL CHANGE RESEARCH:
3 INDICATORS OF GLOBAL WARMING AND SOLAR VARIABILITY
4

5 Thursday, February 27, 1992
6

7 U.S. Senate
8 Committee on Commerce, Science
9 and Transportation
10 Washington, D.C.
11

12 The committee met, pursuant to notice, at 10:05 a.m, in
13 Room SR-253, Russell Senate Office Building, Hon. Al Gore
14 presiding.
15
16
17
18
19
20
21
22
23
24
25

1 OPENING STATEMENT OF HON. AL GORE, U.S. SENATOR FROM
2 TENNESSEE

3 Senator Gore: Ladies and gentlemen, we will get a round
4 table discussion underway here this morning. I would like to
5 thank everybody for coming. This is the third in a series of
6 earth science roundtables to examine the latest findings of
7 global change researchers, and today we are going to examine
8 new evidence of past and present global climate change.

9 There has been so much emphasis on the temperature record
10 as measured by thermometers that many researchers in other
11 fields have been telling the rest of us for years that there
12 is more than one way to take a measurement of what has been
13 happening in the past and what is happening right now, so we
14 are looking forward to hearing from them.

15 For the past several years Dr. Jim Hansen at the Goddard
16 Institute of Space Studies and Dr. Tom Wigley at the
17 University of East Anglia and others have compiled millions of
18 individual air and ocean temperature readings in an effort to
19 determine how mean global temperatures have changed over the
20 last 100 years.

21 Their work indicates that the mean temperature has
22 increased between 0.3 and 0.6 degrees Celsius since 1890.
23 This increase, especially the rapid increase observed over the
24 last 20 years, is consistent with the predictions of computer
25 models of global warming and seems to validate computer

1 predictions of much larger increases in the future -- 1.5 to
2 4.5 degrees Celsius within the next 50 years.

3 The last 2 years have been the hottest 2 years in the
4 recorded record, and that could be just the beginning. If the
5 models are right, it is likely that the 1990's will replace
6 the 1980's as the hottest decade in the recorded record.
7 However, there are those who question the mean temperature
8 data, sometimes for reasons that are understandable, more
9 often, in my view, for political reasons, but in any event
10 critics point out that the temperature record may contain
11 errors due to changes over the years in the procedures and the
12 equipment used to collect the data and due to a lack of data
13 from certain parts of the globe.

14 In addition, they argue that the so-called heat island
15 effect caused by the growth of cities in some of the places
16 where these temperature readings have been taken may be
17 causing erroneously high readings in recent decades. I
18 believe that Jim Hansen, Tom Wigley, and their colleagues,
19 have actually done a meticulous and very good job of
20 accounting for these possible sources of error, and so do the
21 world's most prominent climatologists.

22 The Intergovernmental Panel of Climate Change, a group of
23 more than 300 leading earth scientists, has concluded that the
24 signal is real. There is clear evidence of an increase in
25 global mean temperature of 0.3 to 0.6 degrees Celsius over the

1 last 100 years.

2 Still, as I mentioned at the outset it is important to
3 look for any other data that might corroborate the thermometer
4 data, so today we have a number of prominent scientists who
5 have devoted many, many years to finding other ways with which
6 to determine past temperatures. They have studied glaciers,
7 tree rings, and boreholes drilled in the earth to derive
8 temperature records from sites all over the world.

9 By measuring these proxy indicators they have been able
10 to construct annually averaged temperature data that is almost
11 as good as direct temperature readings and in some cases
12 actually better, and they have been able to extend the
13 temperature record back hundreds and even thousands of years,
14 allowing us to compare the climate changes we are seeing today
15 with those of the past. Past climate changes in turn can give
16 us some idea of what we might be facing in coming years.

17 In the last million years the earth's climate has very
18 dramatically -- during the last Ice Age the earth's mean
19 temperature was about 5 degrees Celsius cooler than it is
20 today. To some people that does not sound like much, but the
21 difference in places like New York City, Seattle, Boston, and
22 Chicago, is the difference between a nice day like today and
23 having 1 mile of ice over your head.

24 The models predict that by the year 2100 temperatures
25 could increase another 5 degrees or more worldwide, very

ALDERSON REPORTING COMPANY, INC.
1111 FOURTEENTH STREET, N.W.
SUITE 400
WASHINGTON, D.C. 20005
(202) 289-2260
(800) FOR DEPO

1 rapidly reaching levels unmatched in more than 60 million
2 years. When you look back in time, it is possible to get some
3 idea of what that could mean.

4 One of the first things noticed is that a change in
5 global mean temperature does not mean that temperatures change
6 by the same amount everywhere. Indeed, a 5-degree increase in
7 global temperature can translate into a 10-degree rise in one
8 region and virtually no change at all in other regions, and
9 even a decrease in still other regions, so even a small change
10 in global mean temperature can have a major impact on the
11 ecosystems that have adapted to the present temperature
12 levels.

13 It can have a major impact on water supply and on human
14 welfare and in certain vulnerable regions, particularly when
15 we take into account the fact that human civilization has
16 configured itself to the global climate balance which has
17 within rough parameters persisted for the last 9,000 years
18 since the first cities appeared.

19 The record of past climate change can also aid
20 researchers seeking to understand the working of the earth's
21 climate system and climate modelers trying to predict future
22 manmade climate change. Past really is prologue, as the
23 saying carved on stone not far from here tells us. We really
24 need to feel confident in our past models of climate change if
25 we're going to have faith in the computer model's predictions

ALDERSON REPORTING COMPANY, INC.
1111 FOURTEENTH STREET, N.W.
SUITE 400
WASHINGTON, D.C. 20005
(202) 289-2260
(800) FOR DEPO

1 of what is likely to happen in the future.

2 A number of today's witnesses will discuss exactly how
3 data on past climate change can be used to test our computer
4 models and expand our understanding of the earth's climate
5 system. Some of what we will hear today will be very
6 technical and there may be a tendency to get lost in the
7 jargon. We cannot afford to do that, and in fact one of the
8 reasons we have shifted to this roundtable format instead of
9 the traditional hearing structure is to invite the various
10 members of the roundtable to jump in to clarify any points
11 that they think need clarification, even when others are
12 presenting their views on the subject.

13 At least five of my colleagues on the subcommittee have
14 indicated that they are going to do their best to break into
15 other scheduling priorities and attend part of this session
16 here today, and so please do not be disconcerted if we jump in
17 at various times to try to clarify something because one of
18 our motives is to try to break through any language that is
19 quite comfortable within the scientific community but seems
20 forbidding to those of us who are not scientists and so
21 occasional interruptions will come just to try to clarify
22 exactly what is being said.

23 But the underlying reason for all of this, of course, is
24 that the research being presented here today has a real and
25 direct connection to the policymaking process in Congress.

1 Without the hard work of researchers like the ones in this
2 roundtable discussion we cannot hope to carry on a dialogue
3 with the public and the administration about what many of us
4 believe is the number 1 crisis facing global civilization
5 today.

6 I for one believe we should move quickly to respond to
7 it, but we will never have all the answers and I know that
8 many of our witnesses will discuss the uncertainties in their
9 data today, but we do have clear evidence that all is not well
10 with the world. We are spilling billions of tons of
11 greenhouse gases into the atmosphere every year, and we are
12 beginning to see the effects right now.

13 I look forward to hearing from our witnesses and let me
14 just briefly discuss the ground rules, and if anybody has a
15 suggested change in the ground rules that is open too, but I
16 want to suggest that we follow the rule that the Senate has
17 found very useful for 200 years, and that is if you would
18 direct your questions and comments -- if you direct your
19 statements and comments through the Chair, that will minimize
20 the direct conflict that can sometimes drown out the exchange
21 of views.

22 Secondly, if we can start with brief opening statements
23 that are relatively short, just to start of kind of a preview
24 of what you think needs to be said, and then I have a road map
25 that I am going to follow to try to direct the discussion in a

1 way that will make certain every one of you will have a full
2 opportunity to say what you want to get across during the
3 discussion itself, so do not feel like you have to get it all
4 said in the opening statement.

5 [The prepared statement of Senator Gore follows:]

6

7

8

9

10

11

12

13

14

15

16

17

18

19

20

21

22

23

24

25

ALDERSON REPORTING COMPANY, INC.
1111 FOURTEENTH STREET, N.W.
SUITE 400
WASHINGTON, D.C. 20005
(202) 289-2260
(800) FOR DEPO

1 Senator Gore: Now, let us begin with some opening
2 statements in this order. First of all, Dr. Gordon Jacoby --
3 excuse me. I have started off with a mistake right off the
4 bat. Dr. Gordon Jacoby -- I knew better than to pronounce it
5 wrong -- with the Tree Ring Lab at Lamont-Doherty Geological
6 Observatory in Palisades, New York, who will be directly
7 followed by Dr. Roseanne D'Arrigo -- I knew that one, but I
8 wanted to check it -- also at Lamont-Doherty, and I appreciate
9 the visit I had at Lamont-Doherty recently with a number of
10 researchers up there, and if you could start off with your
11 opening statement, Dr. Jacoby.

12
13
14
15
16
17
18
19
20
21
22
23
24
25

ALDERSON REPORTING COMPANY, INC.
1111 FOURTEENTH STREET, N.W.
SUITE 400
WASHINGTON, D.C. 20005
(202) 289-2260
(800) FOR DEPO

1 STATEMENT OF DR. ROSEANNE D'ARRIGO AND DR. GORDON
2 JACOBY, TREE RING LAB, LAMONT-DOHERTY GEOLOGICAL OBSERVATORY,
3 PALISADES, NEW YORK 10964

4 Dr. D'Arrigo: I am going to be discussing indicators of
5 climactic and biospheric change evidence from tree rings and
6 annual growth rings of old-age trees are used to develop high
7 resolution, precisely dated time series of climactic and
8 biospheric change over recent centuries to millennia. These
9 records can help assess whether temperature increases over the
10 past century exceed the natural variability of the climate
11 system.

12 The quantitative tree growth information can also be used
13 to determine if natural vegetation is being influenced by
14 direct carbon dioxide fertilization or nutrient change along
15 with climate-induced growth variation. We find a general
16 tendency for tree rings from temperature-sensitive trees at
17 high latitude and high elevation sites in both hemispheres to
18 show enhanced growth over the recent period of increasing
19 trace gases.

20 Although there are, of course, exceptions for individual
21 time series the combined records for sub-arctic North America
22 show a warming trend which exceeds growth of previous
23 centuries. That is reflected in the following graph.

24 [Chart.]

25 Dr. D'Arrigo: This graph shows a reconstruction of

ALDERSON REPORTING COMPANY, INC.
1111 FOURTEENTH STREET, N.W.
SUITE 400
WASHINGTON, D.C. 20005
(202) 289-2260
(800) FOR DEPO

1 Northern Hemisphere annual temperatures. It is based on tree
2 ring data from across the northern boreal tree line and is
3 shown for the common period of 300 years from the 1670's
4 through the 1970's. We have also shown the instrumental record
5 for the more recent period indicated by the green dashed line.
6 The main feature of this graph is that the recent warming of
7 the past century appears to exceed the reconstructed
8 temperatures back to the 1670's.

9 Now, I would like to show a more recently sampled site
10 which we sampled just this past summer in the northern Brooks
11 Range of Alaska.

12 Senator Gore: I am sorry that the audience -- we have
13 got this turned in a way that makes it impossible for many of
14 the audience to see it. If it will not disrupt things too
15 much and --

16 Dr. D'Arrigo: This graph as I mentioned is a recently
17 sampled site from the northern Brooks Range in Alaska which
18 shows that the trees recover from a relative cooling in the
19 Sixties and Seventies and show warming in the 1980's.

20 In Northwestern Alaska northern tree ring records and
21 observations of tree line movements indicate that the present
22 warming may exceed events for the last 1,000 years. Sub-
23 Arctic records from the northern Ural Mountains of Russia,
24 however, show equally warm precedents over the past millennia.
25 High elevation mid-latitude record from the western U.S. show

1 enhanced growth which is at least partly attributable to
2 warming.

3 One high elevation millennia-long record from the
4 Southwestern U.S. shows only one positive growth period equal
5 to that of the present at the beginning of the proposed
6 Medieval warm epoch around the late 900's.

7 For the Southern Hemisphere, a 1,000-year tree ring
8 record from Tasmania indicates anomalous warming since 1965.
9 That is shown in the following graph.

10 [Chart.]

11 Dr. D'Arrigo: This indicates a recent growth increases.
12 This is a tree ring chronology from Tasmania indicating a
13 recent growth increase and inferred warming which is anomalous
14 in relation to the entire 1,000-year record. A tree ring
15 series from Southern Tierra del Fuego in Argentina,
16 representing some of the southernmost forest in the world,
17 indicates recent warming which does not, however, exceed
18 previous warm periods in the last several centuries.

19 Whether or not these tree growth increases are
20 representative of large components of the biosphere and of
21 enhanced carbon sequestering is not known. Also presently
22 uncertain is whether natural vegetation is responding directly
23 to anthropogenic CO₂ or nutrient fertilization effects.
24 Improved understanding would require additional tree ring and
25 other records from both hemispheres.

1 The inherent value of forest as sinks for carbon, and
2 hence as potential buffers for increasing greenhouse gases,
3 cannot be overestimated. Although some trees are presently
4 increasing in growth, it is misleading to conclude that the
5 biosphere will benefit in part because forest may have
6 insufficient time to adapt to the unprecedented rate and
7 magnitude of projected anthropogenic climate change.

8 Warming over the past century in the Northern Hemisphere
9 is less supported by the tree ring as well as other proxy and
10 instrumental data. The relatively sparse records from the
11 Southern Hemisphere, middle to higher latitudes, also indicate
12 some unusual warming, particularly in recent decades. These
13 warmer conditions are in many cases unusual in the context of
14 the past 200 to 400 years, but may or may not have precedent
15 during the past millennium, depending on the region or
16 individual data set.

17 Overall and unusual warming appears certain. Whether it
18 is unique in the context of the past 1,000 years is possible
19 but presently uncertain, and will require more research.

20 Thank you.

21 [The prepared statement of Drs. Jacoby and D'Arrigo
22 follows:]

23
24
25

ALDERSON REPORTING COMPANY, INC.
1111 FOURTEENTH STREET, N.W.
SUITE 400
WASHINGTON, D.C. 20005
(202) 289-2260
(800) FOR DEPO

1 Senator Gore: Let me just say by way of preview, that
2 some of the anomalous readings in certain parts of the world
3 will be addressed by the modelers on the panel later in the
4 presentation.

5 Dr. Jacoby --

6 Dr. Jacoby: That was the statement --

7 Senator Gore: Was that the statement on behalf of both
8 of you? Okay, I have it now.

9 Now an opening statement from Dr. Henry Pollack,
10 Chairperson of the Department of Geological Sciences at the
11 University of Michigan in Ann Arbor.

12

13

14

15

16

17

18

19

20

21

22

23

24

25

1 STATEMENT OF DR. HENRY N. POLLACK, CHAIRPERSON,
2 DEPARTMENT OF GEOLOGICAL SCIENCES, UNIVERSITY OF MICHIGAN, ANN
3 ARBOR, MICHIGAN

4 Dr. Pollack: Good morning, Senator. Thank you for the
5 opportunity to testify at this committee hearing and to
6 participate in this round table discussion.

7 My research focuses on the reconstruction of the Earth
8 surface temperature history over the past five centuries, a
9 period of time spent in both the pre-industrial and the
10 industrial era, and a period of time in which the population
11 of the Earth has increased tenfold.

12 I analyze present day sub-surface temperatures as
13 measured in boreholes to recover information about past
14 temperature variations at the Earth's surface.

15 The method is based on the fact that temperature changes
16 at the surface propagate into the sub-surface, but are
17 filtered in the process. The filter removes short-term
18 variations at shallow depths, but allows longer term
19 variations to propagate to greater depths, thus the geothermal
20 record preserves information about long-term trends in the
21 surface temperature history but does not record short-term
22 fluctuations.

23 This method is complementary to other methods that we
24 will be discussing today, such as tree rings, ice cores, lake
25 settlements and historical records, each of which provides

ALDERSON REPORTING COMPANY, INC.
1111 FOURTEENTH STREET, N.W.
SUITE 400
WASHINGTON, D.C. 20005
(202) 289-2260
(800) FOR DEPO

1 information on some aspect of climate over the same time
2 period. The borehole record provides a direct temperature
3 record.

4 Preliminary analyses of the geothermal record from
5 several regions in North America show that for the period of
6 time, basically the 20th Century for which there are
7 widespread meteorological records, that the boreholes tracked
8 the atmosphere quite well, in particular the regional
9 variability which you had mentioned earlier that shows that
10 some areas are warming more than the global average and some
11 less and some are actually cooling.

12 This also appears in the sub-surface record and indicates
13 that this tracking is with some fidelity and gives us
14 confidence that we can use this method to go backward in time
15 into the period prior to the meteorological record.

16 Senator Gore: Now just to clarify that point, what you
17 are saying there is that for the last 120 years or so, you can
18 compare the readings you get from your borehole analysis with
19 what was called a minute ago the instrumental record meaning
20 the thermometer readings that Jim Hansen and Wigley and others
21 have come up with and when you get an exact correlation, that
22 gives you confidence that you can then project farther back
23 into the past than the thermometer readings go and reconstruct
24 an older temperature record?

25 Dr. Pollack: That is correct, sir. Borehole temperature

1 logs exist for many continental areas around the world and I
2 should emphasize that this methodology works only on the
3 continents and not in the ocean basins. The records that
4 exist around the world have the potential to provide
5 significant information about climate change in the centuries
6 prior to the establishment of the instrumental meteorological
7 observatories, and as well from areas where observatories have
8 never been deployed, in particular, the criticism that is
9 sometimes leveled at the meteorological record, that is it the
10 urban heat island effect because most observatories are in
11 urban areas.

12 That can be overcome with the borehole record because the
13 Earth's crust is recording these temperatures everywhere and
14 one can drill in remote areas quite removed from urban
15 disturbances.

16 Thank you.

17 Senator Gore: Thank you very much. We will come back
18 to that, and look forward to it.

19 Dr. Lonnie Thompson is with the Byrd Polar Research
20 Center at Ohio State University in Columbus and we welcome
21 you, Dr. Thompson.

22

23

24

25

1 STATEMENT OF DR. LONNIE G. THOMPSON, BYRD POLAR RESEARCH
2 CENTER, OHIO STATEMENT UNIVERSITY, COLUMBUS, OHIO

3 Dr. Thompson: Thank you, Senator Gore, members of the
4 committee.

5 My testimony today is going to concern evidence for
6 recent and rapid warming in the tropics as derived from the
7 analysis of ice cores and also by the changes in positions of
8 the margins of these glaciers in recent past.

9 The ice sheets and ice caps of the Earth has long been
10 recognized as excellent archives of the atmospheric history
11 from which we can reconstruct the Earth's climate and the
12 environmental changes of the past.

13 Much of the climate information which is important to man
14 occurs at the lower latitudes, variations in El Nino,
15 monsoons, so it is very important to have a global perspective
16 in these ice core records.

17 So the records I am going to be concentrating on come
18 from high elevation, low latitude areas on the Earth. I would
19 also state that these programs were not undertaken to look for
20 evidences for global warming. They were undertaken to create
21 high resolution records of our past in these low latitude
22 areas to compare with the records that we are creating for the
23 polar regions.

24 Some of the evidence that we have found from three sites
25 that we have studied in the Central Asian area in Tibet, in

1 one area in the tropical Andes of Peru are as follows: We
2 find in the oxygen isotopes which is our paleoindicator of
3 temperature there is a 1 to 2 part per million enrichment over
4 the last 50 years. This would indicate a warming.

5 Meteorological observations, these are from
6 meteorological stations and they are very rare in these very
7 remote parts of the world, but they show in the areas of these
8 ice caps a .5 to 1.2 degree C warming.

9 On the Gregoriev Ice Cap in the Soviet Union or the
10 former Soviet Union, we did borehole temperature measurements
11 in 1990. In 1962 a Soviet expedition to this ice cap also did
12 borehole temperatures. In 1962 20 meter temperatures which
13 are equivalent to mean annual temperatures in a glacier were
14 -4.2 degrees C. In 1990 they were -2 degree C, indicating a
15 significant warming in this area.

16 We have been working on a tropical ice cap down in the
17 Andes of Peru since 1974 and so we have a very long database
18 for that ice cap. We revisited this ice cap about 5 months
19 ago in 1991 and we found that there had been very significant
20 retreat on the margins of this ice cap and also the loss of
21 the annual isotope signal which had been preserved up until
22 1983, and led to the drilling of this ice cap in 1983 which
23 produced a record which is 1,500 years in length.

24 That record is no longer being preserved in the annual
25 oscillations in those isotopes. Over the period of the study

1 of that ice cap we have monitored, actually measured the
2 retreat of the margin of the ice cap and if we look at the
3 rate of retreat, what we find is in the period from 1963 to
4 1978, the rate of retreat was 5 meters per year.

5 From 1978 to 1983 it was 8 meters per year. From 1983 to
6 1991 it was 14 meters per year, almost triple what it was in
7 the initial measurement period.

8 Other researchers who are looking at tropical glaciers
9 also find that those in the Ruwenzori in African, on Mt.
10 Kenya -- I know of no tropical glacier that is advancing under
11 present day climate conditions.

12 Thank you.

13 [The prepared statement of Dr. Thompson follows:]
14
15
16
17
18
19
20
21
22
23
24
25

1 Senator Gore: Thank you. We will be hearing more about
2 that and look at some slides in a moment.

3 Now let me turn to Dr. Paul Damon, Professor Emeritus at
4 the Department of Geosciences at the University of Arizona in
5 Tucson and let me preface the introduction of our next couple
6 of opening statements by saying that when one looks at
7 temperature indicators that come not from thermometers but
8 from parts of the natural system, one has to look at other
9 factors that also have an effect on these natural indicators.

10 So we are very grateful for the fact that two of the
11 leading experts in the world are able to join us to look at
12 the solar variability role in interpreting a lot of this data,
13 and we will get into it in more detail later, but for an
14 opening statement, I want to recognize Dr. Paul Damon.

15

16

17

18

19

20

21

22

23

24

25

1 STATEMENT OF DR. PAUL E. DAMON, PROFESSOR EMERITUS,
2 DEPARTMENT OF GEOSCIENCES, UNIVERSITY OF ARIZONA

3 Dr. Damon: Thank you, Senator Gore.

4 I am an isotope geochemist and we in particular have been
5 studying cosmogenic isotopes. These are isotopes produced by
6 cosmic rays, radioactive isotopes such as carbon 14 which you
7 are all familiar with and beryllium 10. Well, it so happens
8 that these isotopes, the production of these isotopes is
9 modulated by solar activity, by the plasma from the sun, the
10 magnetic field from that, also by the Earth's magnetic field,
11 but we can de-convolute those two parameters.

12 So we have now a record of solar activity that goes back
13 800,700 years and just to take the last 1,000 years, for
14 example, we have a clear signal for the Dalton Solar Minimum,
15 and that would be a cosmogenic isotope maximum with a longer
16 minimum, the Wolf Minimum, the Medieval Solar Maximum, I refer
17 to it as the Medieval Solar Maximum. It is also referred to as
18 the Medieval Ethic and the Oort Minimum, that carries you back
19 1,000 years.

20 So we have that complete record.

21 Senator Gore: Now just to interject briefly, what you
22 are describing with all of those phrases are periods of
23 unusual activity on the sun which has an effect on the
24 radiation received by Earth from the sun and there are certain
25 well-known periods that scientists have studied and named,

ALDERSON REPORTING COMPANY, INC.
1111 FOURTEENTH STREET, N.W.
SUITE 400
WASHINGTON, D.C. 20005
(202) 289-2260
(800) FOR DEPO

1 going way back and now by using your measurement techniques,
2 you have been able to rather precisely map the contours of
3 these periods going back a thousand years?

4 Dr. Damon: That is correct. And of course, we have
5 quantitative data, the various astronomers that we refer to
6 had more qualitative information, the sort of solar activity
7 everybody agrees on.

8 For example, at the present time we have solar flares
9 which disrupt communication networks, disrupted the Ontario
10 electrical distribution system. I believe these isotopes
11 demonstrate that for the first time in 700 years, we have now
12 entered a period of solar activity like the Medieval Solar
13 Maximum which if the Medieval Solar Maximum is a good
14 indicator, a good analog, we will continue for 150 years, that
15 is until the middle of the next century.

16 Now there is -- it has been my working hypothesis since
17 the 1967, the last 25 years, has been that the sun is not a
18 perfectly governed heat engine as far as solar radiance is
19 concerned.

20 If it were, it would be surprising, even unnatural. We
21 now know of course that during the last solar cycle, from the
22 solar max experiment, that there has been a one-tenth percent
23 change in the total solar irradiance and however, and my
24 working hypothesis is that along with this modern solar
25 maximum there will be a change in solar irradiance. The past

1 record indicates that it would probably not exceed 8/10th
2 degree centigrade, 1.4 degrees fahrenheit.

3 Now this can be misconstrued. It is in the same
4 direction as the greenhouse effect, and will be until the
5 middle of this century, and I personally have no doubt that
6 there is -- a greenhouse effect is important. For example,
7 the Earth would be about 34 degrees centigrade cooler, 61
8 degrees fahrenheit cooler if it were not for the greenhouse
9 effect, and if the Earth had the very dense CO2 atmosphere of
10 Venus, it would be 260 degrees centigrade which is 500 degrees
11 fahrenheit.

12 So what I am saying is that the sun which has been
13 ignored should not be ignored, that it is a factor, but it
14 will -- if the past record is a guide to the future, it should
15 not exceed about 8/10th degrees centigrade and will be in the
16 same direction as the greenhouse effect.

17 This could mean however one of two things, that the
18 present warming -- it would indicate if there is a solar
19 component that the estimates at double of the CO2 content of
20 the atmosphere might be at the low end of the estimates or
21 that there is a longer lag time between the greenhouse forcing
22 and the atmospheric response which of course might be due to
23 the role of the oceans producing a longer lag time than people
24 have estimated. Thank you.

25 [The prepared statement of Dr. Damon follows:]

ALDERSON REPORTING COMPANY, INC.
1111 FOURTEENTH STREET, N.W.
SUITE 400
WASHINGTON, D.C. 20005
(202) 289-2260
(800) FOR DEPO

1 Senator Gore: Just to clarify one point. According to
2 your calculations these natural periodic fluctuations in the
3 amount of energy coming from the sun have entered a period,
4 now, where we are at the beginning of a warming cycle where
5 that particular phenomena is concerned, that you believe could
6 last a century and a half.

7 Dr. Damon: Yes. I want to state that this is a working
8 hypothesis, that the longer periods -- I have transparencies
9 we could show later if it is pertinent -- would involve a
10 change of about a half a percent in the solar constant. This
11 is a matter of contention, of course.

12 It is a matter of contention whether or not the medieval
13 warm epic was really such a warm epic. At a recent conference
14 on the medieval warm epic, the only point of agreement was
15 that it certainly was not a little ice age. And so I think I
16 can say with some confidence that if my hypothesis is correct,
17 that the maximum warming before it starts decreasing, the
18 solar effect would not be above eight-tenths degree
19 centigrade.

20 Senator Gore: And so one of the conclusions that you
21 reach is that while these regular variations in solar
22 intensity have been neglected and need to be studied more
23 intensively in order to improve our understanding of some of
24 these natural indicators and how the models are working, it is
25 your opinion that the range of the effect to be expected there

1 would likely be swamped by the range of the effect due to the
2 kind of forcing from greenhouse gases?

3 Dr. Damon: Eventually, yes. At the present time it
4 would confuse matters, in that part of the six-tenths degree
5 centigrade warming would be solar warming. Now for some
6 reason, I think because of excesses during the 1920's and
7 1930's of the 11 year cycle, cycle freaks, et cetera, there
8 has been great resistance in the scientific community to the
9 concept of the sun, as I say, as an imperfectly governed heat
10 engine as far as irradiance is concerned.

11 As a consequence the funding in global change for -- in
12 seven categories, the solar category has been placed seventh
13 of seven categories, at the bottom. I think that is a great
14 mistake which should be remedied.

15 Senator Gore: At the end of our round table discussion
16 today, after we look at these other questions we are going to
17 look at the implications of what we have heard for the
18 allocation of research funding in various areas. And we will
19 come back to that point. Next, Dr. Judith Lean from the Naval
20 Research Laboratory with the Department of the Navy here in
21 Washington. Welcome.

22

23

24

25

1 STATEMENT OF DR. JUDITH LEAN, CODE 4165L, NAVAL RESEARCH
2 LABORATORY, DEPARTMENT OF THE NAVY, WASHINGTON, D.C.

3 Dr. Lean: Thank you. I am working at the Naval Research
4 Lab on two topics that are relevant to this, to the hearings
5 of solar influences on climate change. Firstly, I am involved
6 in making measurements of solar radiation. And currently we
7 have an experiment on the Upper Atmosphere Research Satellite,
8 which is right now looking at the sun.

9 I also have been working for the past decade or so in
10 developing models to explain why the sun's radiation varies.
11 And I think the key is the sun is a great object of
12 fascination, but it is really quite a common middle aged star.
13 But it is a variable star, and that is the key. We have known
14 for a long time that the sun varies. For example, if we look
15 at measurements of sun spots over the past 300 years, there
16 has been an overall increase in solar activity according to
17 these indicators.

18 But what has happened in the last decade that has put
19 this whole concept of solar activity on a new footing is that
20 we have had measurements from satellites that have measured
21 the radiation from the sun, spectrally integrated radiation
22 from the sun comes down to earth. And so although this
23 radiation was at one point called the solar constant, implying
24 that it was constant, we know it is not constant.

25 And furthermore, it changes with the sun's 11 year

ALDERSON REPORTING COMPANY, INC.
1111 FOURTEENTH STREET, N.W.
SUITE 400
WASHINGTON, D.C. 20005
(202) 289-2260
(800) FOR DEPO

1 activity cycle. So, for example in 1980 the radiation from
2 the sun was at a maximum, and now in 1991 it is at a maximum
3 again, and it went through a minima. Now it changed by about
4 a tenth of a percent, which is very small. But if you compare
5 that solar forcing from 1980 to 1986 with the forcing of
6 greenhouse gases, then it essentially compensated over that
7 time period.

8 And so really on the short term, we really do need to see
9 how the sun's radiation is modulating and climate forcing.
10 But for the longer term issues, for example 50 to 100 year
11 time scales, then we need to know what is the sun's radiation
12 doing over those time scales. The measurements do not exist
13 for that and so we have to look at proxies, and we can do that
14 in a number of ways.

15 One of the things we can do is look at other stars like
16 the sun. And as I said the sun is very garden variety. There
17 are other stars like the sun. Observations have shown that
18 these stars can vary more, a little bit more than the sun.
19 And in particular the concept of the morning minimum when the
20 sun goes into a period where its activity cycle goes away, it
21 is not uncommon in stars like the sun. And at that time the
22 radiation appears to be decreased below what it is in a
23 regular cycle.

24 And so these observations that we have now, over the past
25 decade, together with observations of stars like the sun, tell

1 us that, yes, the sun's radiation varies, and tells us that
2 there is a very strong possibility that it has varied by more
3 than we have observed it in the past decade. But we can take
4 our understanding of the origin of the variations of the sun
5 in terms of active region sunspots et cetera, and couple that
6 into solar observations. And when we do that we come up with
7 numbers like limits on the variability of maybe three-tenths
8 of a percent.

9 And David Rind is probably going to talk more about this,
10 but this will translate into a temperature change of maybe
11 .47, .5 degrees. And so our current understanding is that the
12 sun varies over the longer time scale, but the limits on that
13 variability would imply these numbers like three-tenths of a
14 percent, certainly not the 1 percent to 2 percent that is
15 being suggested. You would need to have the sun varied to
16 counter something like the greenhouse warming.

17 [The prepared statement of Dr. Lean follows:]
18
19
20
21
22
23
24
25

1 Senator Gore: Okay. Well we will come back to this, but
2 if I can summarize.

3 Dr. Lean: I have some few graphs.

4 Senator Gore: Well we may have a chance to look at them,
5 depending upon how this goes, but thank you very much. Both
6 of you are making it clear that the range of temperature
7 change associated with solar variability is significantly
8 smaller than the range of temperature variability discussed in
9 connection with the thickening of the greenhouse gas layer.
10 And there is a very real possibility that if Dr. Damon's
11 calculations are correct, this expected upcoming increase will
12 exacerbate rather than mitigate the warming projected in the
13 models from greenhouse gas increases.

14 Dr. Lean: I was just going to say that I agree with you
15 saying that in the long term the solar changes are smaller
16 than what is projected for greenhouse gases. But in the
17 concept of early detection of global warming, it is very
18 important to know what the sun is doing now.

19 Senator Gore: Absolutely. Because right now in the
20 early stages of the increased temperatures associated with
21 greenhouse gas thickening, the amount of forcing expected from
22 greenhouse gases and the amount expected from solar
23 variability are maybe running neck and neck. And in order to
24 interpret the greenhouse gas effect at this stage, one has to
25 have a more accurate understanding of the effect coming from

1 solar variability.

2 Dr. Lean: That is right, because you could make a
3 measurement of temperature over the period 1980 to 1986 and
4 see that it was flat, and you would think that there were no
5 greenhouse gas effects. But that is because the radiation has
6 been decreasing.

7 Senator Gore: So you have to be prepared to look at both
8 causes during the range of variability that we are currently
9 in. But as the greenhouse gas blanket gets much thicker, then
10 it just overwhelms.

11 Dr. Lean: But that is projected to be in the next 50 to
12 100 years, so the question is do we have that long to wait.

13 Senator Gore: That is right. Well let me say we have
14 two more opening statements, but I want to acknowledge the
15 presence of our distinguished ranking Republican, Senator
16 Larry Pressler, who has been my partner on this subcommittee
17 and the work we have done. And if you have an opening
18 statement of any kind, Senator Pressler, we would entertain
19 it.

20

21

22

23

24

25

1 STATEMENT OF HON. LARRY PRESSLER, U.S. SENATOR FROM
2 SOUTH DAKOTA

3 Senator Pressler: Mr. Chairman, I will place my opening
4 statement in the records.

5 [The prepared statement of Senator Pressler follows:]

6 [COMMITTEE INSERT]

7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

ALDERSON REPORTING COMPANY, INC.
1111 FOURTEENTH STREET, N.W.
SUITE 400
WASHINGTON, D.C. 20005
(202) 289-2260
(800) FOR DEPO

1 Senator Gore: Thank you very much. Two other opening
2 statements. Dr. Jerry Mahlman is well known to the committee
3 as director of the Geophysical Fluid Dynamics Laboratory at
4 NOAA at Princeton. And we appreciate your help in the past,
5 and thank you for helping us make sense of these disparate
6 fields here today, Dr. Mahlman.

7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

ALDERSON REPORTING COMPANY, INC.
1111 FOURTEENTH STREET, N.W.
SUITE 400
WASHINGTON, D.C. 20005
(202) 289-2260
(800) FOR DEPO

1 STATEMENT OF DR. JERRY D. MAHLMAN, DIRECTOR, GEOPHYSICAL
2 FLUID DYNAMICS LABORATORY, NATIONAL OCEANIC AND ATMOSPHERIC
3 ADMINISTRATION, PRINCETON UNIVERSITY, PRINCETON, NEW JERSEY

4 Dr. Mahlman: Thank you, Senator. I would like to point
5 out that neither Dr. Rind nor myself will be speaking from
6 prepared testimony, because of the clearance barrier. And so
7 I will be speaking extemporaneously in response to some of the
8 comments that have already been made.

9 First, I would like to congratulate you and the
10 subcommittee for choosing this subject. I was involved in a
11 climate model and climate research planning effort, and we
12 were trying to identify those aspects of understanding climate
13 that had the highest probability of producing a substantial
14 improvement in our knowledge within the next couple of years.
15 And the overwhelming winner was the attempt to provide
16 increased synthesis of disparate data sets in the context of
17 what theory and modeling are saying. And so I regard this as
18 a first step toward that all important process where I think
19 we really can make some contribution.

20 Senator Gore: Well I want you to know the staff has
21 nothing to do with this, it is all me.

22 [Laughter.]

23 Dr. Mahlman: That is what they told me.

24 [Laughter.]

25 Dr. Mahlman: I would also like to make a comment or two

1 on the modeling perspective, about that we can except on the
2 natural variability of the climate irrespective of external
3 forcing of one kind or another. That thanks to the advent of
4 class 7 computers for the first time, we have been able to use
5 coupled atmosphere ocean mathematical models of the climate to
6 look at the role on the natural variability of the unstable
7 interactions between the ocean and the atmosphere.

8 And what we find is that the natural variability on the
9 system on decade to centuries time scales can produce an
10 envelope of temperature change for the global mean surface
11 temperature on the order of half a degree Celsius. And,
12 again, that is an number that is large enough to confound the
13 record, but is very consistent with some of the bumps and
14 wiggles we see in the ascending temperatures of the past
15 century. But we see that it is not a simple monatomic
16 increase. And so we now begin to realize that we, even
17 irrespective of external solar and other types of climate
18 forcing, that we have to interpret that in the context of
19 natural variability.

20 The other thing I would like to add to the discussion
21 about the natural record is that there is another very
22 important temperature record that is often ignored in policy
23 circles, and that is what is happening away from the surface
24 of the atmosphere. From the so-called radio-sun record, that
25 is careful worldwide effort mainly to improve weather

1 forecasts, and that record shows very interesting structure.
2 That it shows over the past 25 years noticeable warming, and
3 that warming over this interim period is higher in the tropics
4 than at high latitudes.

5 Originally appearing to be contradictory to what the
6 models were saying, but now that we have models that are not
7 looking at equilibrium climate but transient climate change
8 relative to gradual greenhouse forcing in the context of an
9 active ocean, this is the signal we expect to see.

10 Senator Gore: Let me just clarify that point briefly, to
11 make sure that I understand it. When you talk about
12 equilibrium change and transient change, what you are saying
13 is that -- well, it is kind of similar to what Dr. Pollock was
14 saying earlier in connection with his borehole data, that is
15 you can have short-term changes that have an effect that looks
16 different in different parts of the world. But over time, as
17 in the case of these boreholes, as it sinks lower into the
18 earth, that short-term fluctuation is washed out and you get a
19 longer term, steadier pattern of change. The equilibrium
20 change is the stored up steady change, but the transient
21 change is that short term.

22 Dr. Mahlman: Transient change in the context of climate
23 modeling. We think of how the climate system will react to
24 the changed greenhouse forcing over the next century, and so
25 we are not talking about fluctuations over years, we are

1 talking about fluctuations over decades to a century.

2 And in this case what we are finding is that the climate
3 sensitivity to change greenhouse forcing is highest in high
4 latitudes, as we have all learned, but the response rate is
5 faster in the low latitudes. So, again, theory is giving a
6 different perspective as to how to interpret the signal, and
7 so what we are finding is that is the case.

8 The other thing we are finding that is quite remarkable
9 in the atmospheric record, is that the upper part of the
10 troposphere and lower stratosphere are cooling, and cooling
11 much more than the original theory would have predicted. And
12 the reason that is so is because the ozone is decreasing there
13 much faster than theory had predicted. And so that is a
14 characteristic signal in the record that is already showing
15 up, that is now consistent with what we will call more
16 enlightened theoretical perspectives.

17 The other thing, I think, that is remarkable in the
18 atmospheric record is that the water content of the atmosphere
19 is increasing in a way that is quite consistent with the
20 temperature increases, and are consistent with what climate
21 models have predicted. And that has been a debate of
22 considerable ferocity and amplitude lately.

23 Senator Gore: It even worked its way into one of these
24 roundtables.

25 Dr. Mahlman: Indeed it has, and I think the

1 observational record is speaking fairly clearly on that
2 subject.

3 Senator Gore: Just recently.

4 Dr. Mahlman: Yes.

5 Senator Gore: Okay, well may we will come back to that.

6 Dr. David Rind is our final opening speaker here, from the
7 NASA Goddard Institute for Space Studies in New York.

8 Welcome.

9

10

11

12

13

14

15

16

17

18

19

20

21

22

23

24

25

1 STATEMENT OF DR. DAVID RIND, NASA GODDARD INSTITUTE FOR
2 SPACE STUDIES, NEW YORK, NEW YORK

3 Dr. Rind: Thank you, Senator. I guess we have heard from
4 some people who work with data and have indicated what the
5 variability they see in their record is, and in particular the
6 degree of warming they have noticed recently. We have also
7 heard from people who have observed the sun, and noted that
8 the sun is an inconstant star.

9 And obviously the question that everybody is interested
10 in addressing, particularly in this particular setting, is how
11 much of the variability that we have seen is due to the sun's
12 variation. And what we have been doing is trying to model
13 that. Because presumably if you can put in the forcing that
14 the sun presumably has, or the change in that forcing, and get
15 the records that people actually see in a model, you not only
16 verify the concept you also verify the model. And these are
17 the same models being used for climate change assessment.

18 So we have been looking at solar variability in the model
19 on a wide range of time scales. And I will just briefly
20 address some of the issues that have come up recently in the
21 popular press.

22 One has to do with this question of whether warmer sea
23 surface temperatures at high latitudes would actually lead to
24 a new ice age. The sun is involved in this sort of picture
25 because it is the variations in the orbit of the earth around

ALDERSON REPORTING COMPANY, INC.
1111 FOURTEENTH STREET, N.W.
SUITE 400
WASHINGTON, D.C. 20005
(202) 289-2260
(800) FOR DEPO

1 the sun that people believe lead to the initiation of ice
2 ages. And I think it can be shown fairly clearly that the
3 likelihood of warmer sea surface temperatures in conjunction
4 with solar variations leading to a new ice age within the next
5 20,000 years is minuscule, if not nonexistent.

6 On a relatively shorter time scale, the question arises
7 what about the little ice age, how much has the solar
8 variation during that time period led -- what proportion of
9 the cooling that was seen at that time is associated with
10 solar variation. Some of us are part of a project that is
11 trying to combine modeling of different forcing functions for
12 the little ice age with data acquisition by a wide variety of
13 techniques for the little ice age, and see if one can produce
14 a match. Can you get the patterns of change from this
15 particular type of forcing?

16 And Judith Lean has an estimate of about .25 percent to
17 .3 percent variation in solar constant, which has been modeled
18 and a pattern has been produced for the temperature changes
19 that would result in the model from that variation. And that
20 pattern can then be compared with data for the little ice age.
21 At this point in time the jury is still out as to whether that
22 is the likely forcing function, but it certainly is -- as
23 Judith mentioned, it gives you about a half a degree Celsius
24 change in the model, with our current sensitivity.

25 So at this point in time it is certainly not an

1 inconsequential forcing function. As you go further and
2 closer in time to the present, what about the last century,
3 how much has solar variability contributed to the warming that
4 we have seen during the last century?

5 Well, it certainly is not impossible that warming such as
6 the warm peak during the 1930's is associated with solar
7 fluctuations. There are empirical techniques that people
8 have, of trying to reproduce what the sun was doing at that
9 time. None of these are known very well. Some of them
10 correlate with the temperature changes, and in particular
11 people have looked at things like the length of change of the
12 solar cycle.

13 Do these things really work, or are they just correlation
14 techniques? Well, we can get into that a little bit more, but
15 one thing we know is, during the last solar cycle we know what
16 the magnitude of the solar radiance variation was. And if the
17 solar radiance variation is only of that magnitude, then
18 probably a lot of the subsequent temperature changes we will
19 see will not be affected by the sun. But as Judith
20 emphasized, we do not know that for sure, and other stars give
21 us .3 percent or .4 percent. And if that does happen in the
22 foreseeable future, that could certainly confuse the record.

23 Finally, going out to the latter part of the next century
24 when you are into a doubled carbon dioxide world, models
25 generally show that the double carbon dioxide warming is

1 equivalent to an impact of about 2 percent solar constant
2 change. 2 percent is very large and it is beyond our
3 expectations. And therefore under those circumstances I think
4 the first order assumption would be that solar -- as you get
5 farther out during the next century the solar impact will not
6 be significant enough to offset greenhouse gas warming.

7 Senator Gore: Are you familiar with the information Dr.
8 Mahlman referred to about water vapor occurring in the
9 atmosphere in conjunction with temperature increases?

10 Dr. Rind: Actually I do have a couple of vugraphs to
11 show you.

12 Senator Gore: Well, maybe we had better halt that if it
13 is not right on point, but since we had a round table
14 discussion that got into that earlier I think it is
15 significant and because what I hear you saying is that -- and
16 this comes from the SAGE II satellite, correct?

17 Dr. Rind: SAGE II, and even the radiosonde data,
18 maligned as it is, actually shows somewhat of an agreement
19 with it.

20 Senator Gore: And again what it shows is that water
21 vapor at high altitudes actually does, as predicted, increase
22 with warmer temperatures. Some have speculated that water
23 vapor at those altitudes might decrease with warmer
24 temperatures, but the observational evidence now indicates
25 that is wrong.

1 Dr. Rind: Yes, and this is, when you get right down to
2 it, this is if not the most important point, one of the top
3 two important points in terms of understanding climate
4 sensitivity, because if water vapor truly increases throughout
5 the atmosphere as climate warms, then you have really
6 amplified the greenhouse effect to magnitudes that are
7 considerable.

8 Senator Gore: Well, I do not think we will spend a lot
9 of time on it because I think with maybe one or two important
10 exceptions, nobody else is really surprised by that finding.
11 I am going to suggest now that we go back through roughly
12 according to the order that we started the opening statements
13 with, and I want to encourage a dialogue, but the subjects
14 that I am going to suggest we deal with will follow this road
15 map.

16 I am going to suggest we talk in a little bit more detail
17 now about the implications of these tree ring findings and
18 then building upon that and in the effort to make the
19 synthesis that Dr. Mahlman referred to, talk a little bit more
20 about Dr. Pollack's borehole data and then talk a little bit
21 more about Dr. Lonnie Thompson's mid latitude glacier data --
22 or low latitude glacier data, excuse me. And then try to
23 relate that to the solar variability and the models in the
24 overall effort to get the sort of synthesis that will give us
25 another way of looking at the temperature record and its

1 relationship to man-made changes.

2 I invite any of my colleagues to jump in whenever they
3 want.

4 Dr. Jacoby, and Dr. D'Arrigo, you referred to temperature
5 sensitive trees. Now, let me just clarify this in my mind.
6 Tree rings vary according to the availability of moisture, the
7 prevailing temperature, the availability of nutrients, et
8 cetera, but what I guess you are saying is that you carefully
9 selected the trees that you studied from those areas of the
10 world where the main factor which causes variation in the
11 growth rate of trees is temperature.

12 Areas where there is almost always enough water, and
13 nutrients do not play the role enforcing variable growth that
14 they might in some places, but you have looked at areas where
15 the factor which really causes a change in the growth rate and
16 in the density of cells, and in the width of the trees rings,
17 is the temperature, have I got that right?

18 Dr. D'Arrigo: That is right.

19 Dr. Jacoby: That is correct, Senator. We deliberately
20 go to the extreme northern tree line in North America. We
21 have gone down to Tierra Del Fuego, in Tasmania, areas where
22 you are at the very limits of tree survival. In those areas
23 there actually is, especially in the North Hemisphere, nitrate
24 limitation because of cold soils and poor nutrient turnover.
25 However, that is a constant limitation throughout time. The

1 varying limitation is the year to year temperature changes
2 that the trees are very sensitive to.

3 Senator Gore: Put that microphone a little bit closer to
4 you.

5 Dr. Jacoby: We deliberately select these sites to find
6 areas where this sensitivity is magnified so the trees are
7 very closely tuned to temperature for their very survival.
8 And these trees, contrary to some opinions, are actually
9 dependent upon year round temperatures, not just temperature
10 during the growing season, because photosynthesis can take
11 place anytime say above around 4 degrees centigrade. And so
12 they are integrating long, seasonal effects and not just the
13 actual growing season.

14 Senator Gore: All right now, do your overall results,
15 and you are familiar with other researchers in your field,
16 correct?

17 Dr. Jacoby: Yes.

18 Senator Gore: Do your results, and those of the others
19 with whom you are familiar, create a pattern that is
20 consistent with general global warming over the last century
21 and especially during the last 20 years?

22 Dr. Jacoby: Yes they do. They match that very well.
23 The general tendency is for tree-growth increase say since
24 around the late 1800's, increased rapidly earlier this
25 century. There is a decrease in recorded temperatures in the

1 sixties and seventies. The tree ring data matches that. A
2 recurving upwards of the temperature and the tree ring data
3 coinciding during the last decade and a half.

4 And breaking away from sort of a scientific mumbo jumbo
5 and jargon, I have actual physical specimens here that one can
6 readily look at and see wider rings responding to the
7 temperature.

8 Senator Gore: Can we see them?

9 Dr. Jacoby: Yes. I also have a section here a bit more
10 dramatic. This is from a tree that died in 1931 and so the
11 outer rings are narrower because the tree is dying, but you
12 can see a 300 year old tree suddenly growing wider rings over
13 the last century.

14 Senator Gore: Now, wait a minute, before you get to
15 that, tell Senator Pressler and me what we are looking at.

16 Dr. Jacoby: Each one of those lineations that you see,
17 the darker lines, are the wood formed at the end of the
18 growing season. The lighter portion is, it is called early
19 wood, the wood growing in the early portion of the growing
20 season and the ring width, that is our fundamental measured
21 unit that we measure to detect what the tree's health and
22 growth rate was for that year.

23 Senator Gore: And we are looking at 1800 to 1990?

24 Dr. Jacoby: That is correct.

25 Senator Pressler: And the assumption is that if the tree

1 grows more that year the temperatures are what?

2 Dr. Jacoby: That is warmer, wider ring means warmer
3 temperature.

4 Senator Pressler: But as the tree gets older, it slows
5 in its growth?

6 Dr. Jacoby: That is true in these particular trees.
7 Usually a younger tree will grow wider rings and grow and the
8 rings will more or less be constant in thickness so it is very
9 unusual to see trees 300 and 400 years old suddenly growing
10 wider rings.

11 Senator Gore: Now you take these 400 year old trees and
12 you bore into them to get the sample, right?

13 Dr. Jacoby: That is correct. It is not a destructive
14 sampling.

15 Senator Gore: How long does it take them to die after
16 you do this procedure?

17 [Laughter.]

18 Dr. Jacoby: I have revisited sites that I cored 15 years
19 ago.

20 Senator Gore: I am just kidding, Doctor.

21 [Laughter.]

22 Dr. Jacoby: You struck a nerve with that one.

23 [Laughter.]

24 Dr. Jacoby: But as a followup there also are long time
25 series of moisture sensitive trees where people including

1 ourselves have deliberately looked for trees that are
2 sensitive to moisture stress, and from trees in those
3 locations we can get long term records of precipitation
4 variation.

5 Senator Gore: What are we seeing here?

6 Dr. Jacoby: The same thing. You can see the rings are
7 very, very narrow in the center of that piece and in about 40
8 or 50 rings they started growing wider rings. That is from
9 the northern Alaska.

10 Senator Gore: Okay, now that is very interesting. Is
11 there a lot of disagreement in your field of specialty about
12 this?

13 Dr. Jacoby: Within the field of people studying
14 temperature sensitive trees, some of the publications we cited
15 are from other researchers, like within the contiguous U.S.,
16 the western U.S., those are other people's data. I do not
17 have a vugraph, but there are data from another researcher in
18 Canada again showing this warming, so there is a general
19 consensus that the growth is increasing in these areas.

20 One thing I would like to add, that these are not
21 representative forest growth in general. These are trees
22 deliberately sought out for particular locations as being
23 temperature sensitive so these should not be used for carbon
24 budget modeling. These are separate special trees.

25 Senator Gore: Okay. That is why I wanted to establish

1 that early on these are trees that are especially selected as
2 ones of a kind and in locations where you can accurately
3 identify the sensitivity to temperature change. Now, what
4 does your tree ring data tell us about regional climate change
5 when there have been changes in the global mean temperature in
6 the past?

7 We heard earlier from Dr. Mahlman and Dr. Rind that the
8 models predict a change in global mean temperature, will be
9 accompanied by a different pattern in some regions than
10 others. Does your tree ring data tell us anything about that?

11 Dr. Jacoby: Yes it does. As Dr. D'Arrigo mentioned in
12 her presentation, in Scandinavia, and also in northern Russia,
13 it appears that there were equally warm periods within the
14 last 1,000 years. It appears tentatively in the Alaska record
15 that we are possibly warmer.

16 Senator Gore: Equally warm periods just in those
17 regions?

18 Dr. Jacoby: In those regions. Those are single time
19 series for those regions. In Alaska, in that particular
20 region, the Brooks Range of Alaska it appears that it may be
21 warmer than it has been before for the past 1,000 years.
22 Also, as Dr. D'Arrigo mentioned, the tree line is evidently
23 moving to higher latitudes and farther north in Alaska, and so
24 you can key out these regional differences. And one thing you
25 may have seen in the curve, there was a warming in the middle

1 to late 1700's, that is very regional.

2 Overall it is somewhat muted when you put all of them
3 together. The present warming is more ubiquitous across all
4 of these regions in the northern hemisphere and so there is a
5 difference in the regional variations versus a consensus
6 assembling all of these data into one unified temperature
7 trend.

8 Senator Gore: Dr. Mahlman, are you familiar with the
9 regional results they are talking about here?

10 Dr. Mahlman: I am not familiar with the regional
11 patterns.

12 Senator Gore: We will invite you to comment for the
13 record, if you will, on whether or not that is in fact
14 precisely consistent with the effect in the models you talked
15 about, but I want you to have a chance to look at it in more
16 detail.

17 Senator Pressler: Let me just ask if I could a question.
18 Does the mountain glacier activity, and the bore hole
19 temperatures, parallel this information? I mean, is this a
20 way of checking one temperature during a period against
21 another? For example, I do not know how much deeper drilling
22 we are doing now. I know the Russians have done some, maybe
23 they have stopped. I note in this material I have that there
24 has been mountain glacier activity in Kenya checked. Does
25 that corroborate with the tree data?

1 Dr. Jacoby: I cannot address Kenya because tropical
2 trees are a very difficult, very separate thing. We do look
3 at ice core information from, say, Devon, Ireland, north of
4 where our trees are in northern Canada and that corroborates
5 our tree ring data. We look at borehole measurements by
6 Lockenbrook and Marshall in the U.S. Geological Survey in
7 Alaska. The tree ring data matched their records.

8 In eastern Canada the tree ring data and the bore hole
9 temperatures also corroborate and so it is not just one
10 particular data set uniquely standing alone. It is
11 corroborated by other information, from other types of proxy
12 records.

13 Senator Gore: Well, let us invite Dr. Pollack and Dr.
14 Thompson to respond to Senator Pressler also. We will give
15 you a chance in a moment to show your slides and what-not.
16 But what about his question?

17 Dr. Pollack: I certainly would agree with the response
18 from Dr. Jacoby. The borehole temperature records from arctic
19 Alaska, on the north slope, show a pronounced warming of 2 to
20 4 degrees over the past century, and that is consistent with
21 the glacier warming effect taking place in the polar regions.
22 Further south in Canada, the effect is diminished and in parts
23 of the great plains of the U.S.A., there is almost no change
24 at all.

25 So we are seeing regional variability that is consistent

1 with, at least in broad pattern, with regional variability
2 described by the tree rings.

3 Dr. Thompson: The glacier evidence from Mount Kenya and
4 also from the Ruwenzoris in central or equatorial Africa, in
5 those areas where observations have been made, Hastenrath and
6 Kruss have shown that on Mount Kenya since 1963, between 1963
7 and 1987, the total area of ice on Mount Kenya have decreased
8 by 40 percent. A recent work by Kruss on the Speke glacier in
9 the Ruwenzoris, it has been extensively studied, and that
10 glacier shows that the retreat from 1958 to 1977 was 35 to 40
11 meters, but from 1977 to 1990 that retreat has been
12 150 meters.

13 Senator Gore: Can you show us some of that? Why do we
14 not go straight to that, because Senator Pressler has honed in
15 on this. Can you show us some of that? I know you brought
16 some slides. And we will come back to you, Dr. Pollack.

17 Senator Pressler: Anything you can tell us about Kenya,
18 I am going there in a month or so. I want to impress them with
19 my knowledge.

20 Dr. Thompson: What I have prepared is kind of an
21 overview of the tropical records that are on slides.

22 Senator Gore: Why do we not look at that if you can do
23 that relatively briefly, and we will see some pictures of
24 these glaciers you are talking about.

25 [Pause.]

1 Dr. Thompson: What I would like to do is to give a very
2 rapid global view of what we know about --

3 Senator Gore: And make sure Senator Pressler can see
4 what you are doing there. Why do you not stand on the far
5 corner there?

6 Dr. Thompson: If you look at the global map here, we are
7 looking at sites. Most of the ice core work has been done in
8 Greenland and down in Antarctica, but at the high elevations
9 are the mountains of the Andes and Peru and over in central
10 Asia, there are a number of sites that do contain high
11 resolution climate records.

12 [Slide.]

13 Dr. Thompson: In these low latitude sites because the
14 climate is monsoon there is a very distinct annual variation
15 and you can see this on the margin of Quelccaya ice cap. The
16 snow falls about 10 feet per year and there is a very marked
17 dry season so it is very easy to date these cores.

18 Senator Gore: So each one of those layers is another
19 year's worth of ice?

20 Dr. Thompson: That is right. And by taking the drilling
21 system, and to get into some of these remote aread we need
22 special --

23 Senator Gore: It looks sort of like tree rings.

24 Dr. Thompson: Exactly like tree rings.

25 [Slide.]

1 Dr. Thompson: To get into these very remote areas we
2 cannot use the general drilling technology. For this ice cap
3 we use solar power. These cores --

4 [Slide.]

5 Senator Gore: That is ecologically responsible, Dr.
6 Thompson.

7 [Laughter.]

8 [Slide.]

9 Dr. Thompson: The cores are then brought back to a cold
10 room where they are stored and can be processed in a clean
11 room, and the ice cores arc out many different parameters in
12 the environment.

13 [Slide.]

14 Dr. Thompson: This gives a list of some of those
15 parameters. One of the very important ones is a record of the
16 atmospheric variations of CO₂ and methane trapped within the
17 bubbles. This allows us to look at the long term variations
18 in these gases long before the anthropogenic impact. We can
19 look at those layer thicknesses and tell something about how
20 precip has changed through time. We can look at atmospheric
21 temperatures through stable isotopes, either hydrogen or
22 oxygen.

23 We can also look at the chemistry of the atmosphere. We
24 can look at the solubles and the insolubles. We look at past
25 volcanic activity, we can look at cosmogenic nucleons such as

ALDERSON REPORTING COMPANY, INC.
1111 FOURTEENTH STREET, N.W.

SUITE 400
WASHINGTON, D.C. 20005

(202) 289-2260

(800) FOR INFO

1 Beryllium tin, look at how the isotopes or approximate
2 temperature responds to variations in these types of isotopes.

3 [Slide.]

4 Dr. Thompson: This is a record from Siple Station in
5 Antarctica which really gives you the perspective of CO₂
6 increase. The triangles in the squares are based on the gas
7 bubbles in the ice, in the overlap of the actual observations
8 in Mauna Loa. So you can see the impact of the industrial
9 revolution on these CO₂ concentrations in the earth's
10 atmosphere.

11 Now, of course, when we look at the projections into the
12 future of increases in these gases, CO₂, methane,
13 chlorofluorocarbons, nitrous oxides, then we get this picture
14 of the earth warming as we go from -- this is from the NASA.

15 Senator Gore: That is from a computer model?

16 Dr. Thompson: This is a computer model projections into
17 the future 1980's, 1990's, 2010. But as you look at these
18 what you will see is that the warming is not uniform, and that
19 there are as Senator Gore said areas where there is actually
20 cooling taking place in this transition period.

21 But I want to point out these two sites. This is central
22 Asia, central Asia where these ice core records are coming
23 from is the center of the largest land mass on earth and
24 therefore these models would suggest that these should be
25 sensitive areas to look for change.

1 [Slide.]

2 Dr. Thompson: If we look at the sites in western China
3 and the Soviet Union, the Dundee ice cap is in the northeastern
4 part of the Tibetan plateau. The Gulea ice cap is in the
5 western part of the Tibetan plateau, and the Gregoriev ice cap
6 is up in the Tien Shan with the Soviet Union. These records
7 are coming from between the 600 and the 340 millibar level in
8 the earth's atmosphere.

9 [Slide.]

10 Dr. Thompson: This is the Dundee ice cap in the northern
11 part of the Tibetan plateau. We see some horses up here for
12 scale.

13 [Slide.]

14 Dr. Thompson: The summit of this ice cap is 5,325
15 meters. Again, we are at the 500 millibar level in the
16 earth's atmosphere.

17 [Slide.]

18 Dr. Thompson: If we look at the glacier, the length of
19 record that we can get depends upon three basic things, how
20 thick the glacier is -- this ice cap is 140 meters, what the
21 annual accumulation rate is, which is 400 millimeters of water
22 per year, and most importantly about the ice, temperature at
23 the ice bedrock contact, if it is below freezing. The
24 temperature here is -4.7 degrees centigrade, and
25 theoretically, you can get a record of the very first snow

ALDERSON REPORTING COMPANY, INC.
1111 FOURTEENTH STREET, N.W.

SUITE 400
WASHINGTON, D.C. 20005

(202) 289-2260

(800) FOR DEPO

1 fall in the formation of this ice cap.

2 [Slide.]

3 Dr. Thompson: Now the records that we are talking about
4 here today is one part of the items we measure and that is the
5 isotopes, and the first thing we have to do is determine
6 whether the isotopes in the region are giving a proxy of the
7 temperature, and the only way we can do that is to compare it
8 to temperature records that exist in these regions. And the
9 records we are looking at here is the winter temperatures from
10 Shanghai, China. China has very long historical records.
11 From about 1800 to the present, it is based on instrument.
12 From here back it is phenological data.

13 Senator Gore: What is that?

14 Dr. Thompson: Historical documents, freezings of lakes,
15 freezings of rivers. And then this is the isotope curve over
16 this period. Now, if you assume these relationships hold back
17 in time, then the ice cores can give you a very long-time
18 perspective.

19 [Slide.]

20 Dr. Thompson: Here we are looking at 50-year averages
21 for the last 12,000 years for this part of the world. The
22 reds are isotopically enriched, warmer. The blues are
23 isotopically cooler periods. And if you look at these
24 variations you will see the warm and the cool, but if you look
25 at the most recent period, that is 1937 to 1987, isotopically

1 it is more enriched than the other period in this 12,000 year
2 record.

3 Senator Gore: Now, wait a minute. The last 50 years
4 according to this record is the warmest 50-year period in the
5 entire 12,000 years you have studied?

6 Dr. Thompson: That is correct. And we always take two
7 cores. Now, when you look at it like this, you have to be
8 aware of the fact that when you look back in time where time
9 is being compressed and, therefore, on this profile we are
10 looking at the analysis of 3,250 samples.

11 Senator Gore: Now, wait just a second. One other
12 interruption, forgive me. 12,000 years ago, we were just at
13 the end of the last ice age, correct?

14 Dr. Thompson: This is coming out of the last ice age in
15 this part of the world.

16 Senator Gore: And so is it unreasonable to extend your
17 analysis to say that probably that means it is the warmest
18 50-year period in the last 120,000 years at least?

19 Dr. Thompson: Well, I think --

20 Senator Gore: You do not have the evidence, but since
21 the last ice age ended at the time your record begins and
22 extended back beyond that for another, what -- I am taking you
23 farther than you are comfortable in going, so you just keep
24 saying what you --

25 Dr. Thompson: I am looking at the record for which we

1 have the most confidence in the time scale, and we always take
2 two records, because we want to look at the reproducibility.
3 This was based upon water samples brought from the field. The
4 second core was brought back frozen, and because it was
5 brought back frozen, we could sample it in more detail, and
6 there were 7,045 analyses done on that core.

7 [Slide.]

8 Dr. Thompson: This is the record from that core, and
9 again, it tells us the same thing, that the last 50 years,
10 1937 to 1987, is the warmest period of record. Now, if you
11 want to get the best record from this core, it is better to
12 combine these.

13 [Slide.]

14 Dr. Thompson: Where we now have a record based upon
15 10,000 analyses. And if we do this we still see the same
16 relationship, and that is that this last 50-year period has
17 been the warmest period in this part of the earth. This is
18 the northern part of Tibet.

19 Now, in order to find out whether this is unique, we have
20 to look at some other areas.

21 [Slide.]

22 Dr. Thompson: And we have done that in a preliminary
23 way. One of these is to look at the Gregoriev ice cap in the
24 Tien Shan, where we are 42 degrees north. The Dundee ice cap
25 was 38 degrees north. This ice cap is at about the 600

1 millibar level in the earth's atmosphere.

2 [Slide.]

3 Dr. Thompson: We had Soviet support to get the drilling
4 equipment in here.

5 [Slide.]

6 Dr. Thompson: And we have, from this site, three lines
7 of evidence of recent warming. One is based on borehole
8 temperatures, which I mentioned. This is the curve from 1962.
9 The Soviets work on the glacier. This is the 1990 curve that
10 we produced, showing a 2 degree C. warming, and this is in
11 actual measured temperatures.

12 These are meteorological stations -- the temperatures
13 from the Tien Shan stations, which starts in 1930 and goes up
14 to the present, and you can see again about a .5 degree
15 warming.

16 The nearest Met station to the Dundee ice cap in China is
17 Dellingha, and that record goes from 1956 up to the present.
18 You will see a very sharp increase, about 1 degree C in
19 instrumental data for that site. And if you look at the
20 isotopic record from these two cores from the Gregoriev and
21 the Dundee core, you see about a 1 part per mil enrichment over
22 this period of time.

23 [Slide.]

24 Dr. Thompson: Now, we are in the process of looking at
25 what is the largest glacier ice cap in China. It is the Gulea

1 ice cap located in the western Qualoong. This ice cap covers
2 over 200 square kilometers. It is part of an ice mass that
3 covers 8,000 square kilometers. The ice cap is at an
4 elevation of 6,707 meters, 22,000 feet.

5 [Slide.]

6 Dr. Thompson: So it puts you at the 350 millibar level
7 in the earth's atmosphere.

8 [Slide.]

9 Dr. Thompson: Right now, we only have shallow records
10 coming from this glacier. The core was drilled in 1990, and
11 in 1991. And what these cores show is a very striking
12 increase in delta values, shown by the reds, around 1985, and
13 so very recent change there. Now we can look at --

14 Senator Gore: Wait a minute. When you say delta values,
15 what you are saying is that since in this glacier, consistent
16 with the others, but with a more detailed record, you see a
17 striking increase in warming during the period since 1985?

18 Dr. Thompson: A 1.2 part per mil increase in delta
19 values, but very abrupt, and this is unusual and we do not
20 know why this is, but if we look at a Met station on the
21 plateau, on the north side of the plateau, just down wind, the
22 same latitude --

23 [Slide.]

24 Dr. Thompson: -- and compare the mean delta values for
25 Gulea, which you see here, to the actual temperature

1 measurements at that station, you will see that there is a
2 very sharp increase in 1985, in the observed temperatures
3 here. We do not understand why it is so abrupt, and why it is
4 just very recent in this part of the world.

5 [Slide.]

6 Dr. Thompson: Probably the most convincing record of
7 change comes from the Quelccaya ice cap in the Andes of
8 southern Peru. This was the subject of very intense
9 investigation for 10 years.

10 [Slide.]

11 Dr. Thompson: And it led to the drilling in 1983, of two
12 cores through this ice cap, and in these cores, you can
13 actually see the annual layers, and the annual dust layers.
14 So we had very accurate dating of the cores, and these go back
15 to 470 A.D.

16 [Slide.]

17 Dr. Thompson: And when you look at the analysis of the
18 samples from these cores, this is the visible stratigraphy,
19 these are the annual variations in dust. You can see the dry
20 season dust layers giving very distinct layers, and I want to
21 point out these. These are annual oscillations in isotopes.
22 They are annual, they allow for very precise dating of the
23 sequence, but notice how distinct they are in this record.

24 [Slide.]

25 Dr. Thompson: We were able to reconstruct a very good

1 record. These are the decato-values of particles, both
2 insoluble, accumulation of those layer of thicknesses, and the
3 delta, the oxygen isotopes, and these are for the last 1,000
4 years for this part of the world. You see the little ice age
5 stands out here, a period of lower isotope values, cooling,
6 and increases in dust occurring during this period of time.

7 The event here is the eruption of Winapatina. This
8 eruption occurred over a period of 2 weeks, it is in the
9 church records in southern Peru, and it gives us a very
10 precise dating, and confirmation of the dates on this core.

11 [Slide.]

12 Dr. Thompson: This is the way we relate these isotope
13 records to temperature records. This is from Lansburg's
14 reconstruction of temperatures for the Northern Hemisphere.
15 These are based on instrumental records from about 1740 to the
16 present, and this is based again, on phenological data in the
17 earlier part.

18 If we look at the two isotope curves from Qori Kalis, you
19 will see the very high reproducibilities in these, and also,
20 there is very high correlation between what we see in the
21 isotope and what we think we know about the Northern
22 Hemisphere temperature over this period.

23 This is from the Dunde ice cap over in China, and we see
24 again the little ice age period here, but a much stronger
25 warming taking place in that record.

1 [Slide.]

2 Dr. Thompson: Now, because we do have annual values, we
3 can actually look at the year-by-year changes in these
4 parameters. And I am not going to dwell on this except to say
5 that if you look at the year-to-year variations, we look at
6 the onset of the Little Ice Age, you will see that it abruptly
7 starts in 1490 A.D. in the dust record, and abruptly ends in
8 1880, within only a few years, when you go from conditions
9 that characterize the Little Ice Age, to the conditions that
10 characterize the 20th century.

11 [Slide.]

12 Dr. Thompson: Now we went back to this ice cap 5 months
13 ago. What we wanted to do was bring the record up to the
14 present. In 1970 -- and let me focus this -- in 1976, when we
15 were doing the survey for this glacier, we took cores across
16 from this ice cap, and in 1976, the three profiles here, you
17 can see that the lower elevation sites, the annual signal was
18 lost at depth, and the reason it was lost is because we had
19 the movement of water through the snow pack.

20 At the summit, we have these annual oscillations being
21 preserved, and based on this, we chose to drill this ice cap
22 in 1983. In 1991, we took another core from the summit, the
23 same place that this core came from, and you can see that now
24 that annual signal is lost, because there is now movement of
25 water vertically at the summit of this ice cap.

1 You can see the mean isotope values went from -19.4 in
2 1976, and now they are -17.4, indicating an enrichment which
3 is our indicator of warming. But what happened to the ice
4 cap?

5 [Slide.]

6 Dr. Thompson: This is a margin of this ice cap as
7 viewed, a boulder about the size of the middle of this circle
8 here, being pushed by the glacier in 1977.

9 [Slide.]

10 Dr. Thompson: This is the same boulder in 1978. You can
11 see the wall pulling back from the ice cap.

12 [Slide.]

13 Dr. Thompson: This is in 1979. This wall is about 15 --

14 Senator Gore: Is that the same boulder?

15 Dr. Thompson: That is the same boulder. This wall was
16 about 15 to 20 meters high.

17 [Slide.]

18 Dr. Thompson: This is 1983.

19 [Slide.]

20 Dr. Thompson: And this is 1991. It is very difficult to
21 get the boulder and the ice into the same frame.

22 [Slide.]

23 Dr. Thompson: And if you look at the wall, if you go
24 down to where the wall is, this is the boulder back here. You
25 can see it is only about 2 feet thick under present

1 conditions.

2 [Slide.]

3 Dr. Thompson: Now we have surveyed the Qori Kalis
4 glacier. This is the largest outlet glacier coming off of
5 this ice cap. This is what it looked like in 1983. It is a
6 convex glacier. There is no lake in the front of it. In
7 1991, 5 months ago, you can see that it has retreated. There
8 is a vertical wall here. There is now a lake in front of this
9 glacier, and it is now a concave glacier.

10 Senator Gore: Now, wait a minute. That is just in 8
11 years?

12 Dr. Thompson: That is 8 years, and if we look at the
13 actual record --

14 Senator Gore: That is not complicated to interpret that.

15 Dr. Thompson: It is very obvious the change that is
16 taking place on this ice cap. This was the position of the
17 glacier in 1963, based upon aerial photographs. This is the
18 position in 1978. We set up a survey line, took terrestrial
19 photographs so that we could actually map that position. This
20 was the position in 1983. This was the position in 1991, and
21 you can see this lake has formed.

22 If you look at the rate of change, we see that in this
23 period from 1963 to 1978, it is 5 meters per year. 1978 to
24 1983, it is 8 meters per year. 1983 to 1991, it is 14, almost
25 triple what it was in the earlier part.

1 Now glaciers change for many reasons. One is temperature
2 and the other is precip, but because we have annual layers on
3 this -- in this ice cap, we can look at the long-term precip
4 variations.

5 [Slide.]

6 Dr. Thompson: We can do that here. These are the
7 averages of precip for the entire -- going back to 470 A.D.
8 And if you look at these long-term variations, you can see
9 there are natural dry periods, wet periods, dry periods, wet,
10 dry. But within the last century, the precip has been normal
11 or slightly above normal, so given study state conditions, no
12 change in temperatures, this glacier should have stayed or
13 maybe slightly advanced under these conditions. So I think it
14 is very clear evidence that it is temperature.

15 [Slide.]

16 Dr. Thompson: Now how do these records in the tropics
17 compare to the records coming from the polar regions? I am
18 going to show that the last 1,000 years from Greenland, and
19 from the Dundee ice cap in Central China, from Qori Kalis, and
20 compare it to two records in Antarctica.

21 [Slide.]

22 Dr. Thompson: And if we do that, these are decato
23 averages of isotopes. Again, reds are warmer and blues are
24 colder. As you can see, the little ice age period running
25 through these four records -- this is a period that when

ALDERSON REPORTING COMPANY, INC.
1111 FOURTEENTH STREET, N.W.

SUITE 400
WASHINGTON, D.C. 20005

(202) 289-2260

(800) FOR DEBO

1 temperatures were colder, glaciers advanced in Europe and
2 China, and North America. In the Antarctic peninsula, we find
3 that the isotope record says it was warmer in that part of the
4 world.

5 If we come into the 20th century, we see warming taking
6 place, except in the Antarctic peninsula, where there is an
7 apparent cooling. But if we look at all of these records, we
8 will see the highest correlations coming between the two
9 northern hemisphere sites, and between the Quelccaya and the
10 South Pole site, as far as the warm periods and the cold
11 periods. But the maximum change is taking place in Central
12 Asia, as far as the warming taking place there.

13 Senator Pressler: Why do you not have Africa or Kenya up
14 there?

15 Dr. Thompson: We went to Lewis glacier on Mount Kenya in
16 1978 to see if we could get annual records from that glacier,
17 and the problem is in 1978, there was already melting taking
18 place on Lewis glacier, so that you got this smooth profile,
19 because water was already moving vertically through that
20 glacier. Only if the glacier is cold do you get the annual
21 layers preserved.

22 Senator Pressler: I would like you to send me personally
23 anything you have on Kenya and the studies there. You
24 mentioned that there was this 40 percent melt in what, a year.
25 I do not want to throw this off as you are presenting here,

ALDERSON REPORTING COMPANY, INC.
1111 FOURTEENTH STREET, N.W.

SUITE 400
WASHINGTON, D.C. 20005

(202) 289-2260

(800) FOR DEFO

1 but you can send that to me later.

2 Senator Gore: Let me say that I will ask the
3 subcommittee staff to collect that information and make sure
4 that it is done thoroughly.

5 Dr. Thompson: I have two reprints with me, one for
6 Kenya, and one for the Ruwenzori glacier, which show, in these
7 two tropical locations -- there is a paper by Hastenrath and
8 Kruss, 1992, last month in Nature, which documents the retreat
9 that has taken place on the glaciers on Mount Kenya, and one
10 in 1991, by the German group, showing the retreat of the Speke
11 glacier in Ruwenzori. We are getting a consistent story on
12 these tropical glaciers.

13 Senator Gore: Is that pretty much it? This is so
14 thorough and well documented. Just to clarify this, you have
15 a paper that is now in press, correct? This has not been
16 printed yet, but it is in the process of coming out?

17 Dr. Thompson: It is under review, yes, on this most
18 recent work.

19 Senator Gore: Well, we are very grateful to your
20 willingness to come and present it today. I do not know of a
21 more thorough study on these ice cores. It is absolutely
22 fantastic. I want to come back to Dr. Pollack, but just
23 because you raised this question on the regional effects, I
24 wanted to ask Dr. Mahlman and Dr. Rind whether or not the
25 kinds of temperature changes we have heard about in the low

1 latitudes and at the poles -- he combined the poles with the
2 low latitudes, whether those changes are consistent with what
3 the models would lead us to look for?

4 And we are going to have to move quickly through this
5 material, just because of time constraints.

6 Dr. Mahlman: The question that Senator Gore asked is to
7 what degree can mathematical modeling provide perspective to
8 this kind of data.

9 [Vugraph.]

10 Dr. Mahlman: This is a tutorial example taken from an
11 actual model calculation just recently completed for more than
12 the 200 years that I show here, but this is a couple
13 atmosphere ocean calculation with a full dynamical ocean
14 below, and this is the natural variation of the global average
15 surface temperature in the model that has no solar forcing
16 changes, no greenhouse forcing changes, no nothing changes
17 other than the natural interactive character of the climate.

18 And the thing that makes this such a vexing problem is
19 that the ocean has incredible memory in it and the skinny
20 little atmosphere that interacts with the ocean can produce
21 changes in the ocean that feed back to the atmosphere.

22 And for this model time period we are getting sort of
23 peak to trough variations naturally, about a half degree, and
24 that is for the global average.

25 I do the same thing for the Northern Hemisphere, mean,

1 for exactly the same model calculation.

2 [Vugraph.]

3 Dr. Mahlman: You see the splatter is larger already than
4 what you saw in the previous graph and that it is now peak to
5 peak, almost .6 degrees and if you take that, I do not have a
6 graph to show that, but if you take it for say the continental
7 United States, then the amplitude of natural variation would
8 be larger and if you take -- here is the catch that you have
9 to be concerned with with this kind of uninterpretation of the
10 data -- is that the shorter the period of record you look at,
11 or the smaller the region you look at, the more you are
12 confounded by the natural variability in the system.

13 We call this a signal-to-noise problem, and this is one
14 of the reasons why we scientists have a fondness for looking
15 at the global average surface temperature because the people
16 who do policy get very frustrated because they say, I do not
17 live in the global average. I live in South Dakota or
18 wherever. My wife is South Dakota, so she made me say that.

19 [Laughter.]

20 Dr. Mahlman: The simple point is that if you are looking
21 at a particular place and you have to either argue that this
22 is an integrator of the climate system or that you average
23 over long periods of time, so for example, the question of
24 interpreting the glacial data from 1983 to 1991 is
25 fundamentally a tricky one as I think all of us would agree.

1 And so, the challenge to us is how do we integrate all of
2 this disparate information to begin to have more confidence
3 about whether or not what we see is due to the greenhouse. As
4 many of us suspected, it is indeed the case, but because of
5 the complexity and the natural variation of the system, it is
6 very difficult to point to. Yes, this is the smoking gun, and
7 this is unambiguously due to the greenhouse effect. We think
8 so, but the case is still being assembled.

9 Senator Gore: Now one of the other indicators, just
10 briefly, Dr. Rind, we talked about and we are going to talk
11 some more about the borehole data and we talked about glaciers
12 and we talked about tree rings, and solar variability and we
13 just talked about what the models show.

14 Another indicator is the way the atmosphere reacts to
15 warming, and you indicated earlier than these recent findings
16 show that the stratosphere is cooling and the degree of
17 moisture at high altitudes is increasing in proportion to the
18 warming that has taken place.

19 Could you briefly tell us about that or do you have those

20 --

21 Dr. Muhlman: I have a picture that acts as a leading --
22 to what David Rind would say and I think together we add up
23 to something coherent here.

24 [Vugraph.]

25 Dr. Muhlman: This is a lousy slide, but I just took it

1 off yesterday and this is a picture of the atmosphere from the
2 South Pole to the North Pole and from the ground to about 20
3 kilometers in the lower stratosphere, and this is a difference
4 map between the average of the 1978 to 1988 temperature from
5 the 1963 to 1973 temperature and the dotted lines are those
6 regions for which we are statistically confident that these
7 are actual changes and not just random variations.

8 And what we see is that in the warming in the order of .4
9 degrees in the lower troposphere, with centering around the
10 tropics, and very substantial cooling in the lower
11 stratosphere and this cooling is much larger than you can
12 ascribe to carbon dioxide only --

13 Senator Gore: Now that is complicated of course, I do
14 not want to complicate it further, but it is complicated by
15 the destruction of ozone, is it not?

16 Dr. Mahlman: It is dominated by the destruction of ozone
17 because the destruction of ozone has been quite large,
18 particularly here in the southern hemisphere.

19 Senator Gore: And that is because the ozone layer
20 normally absorbs heat and when it is thinned out it cannot
21 absorb as much heat.

22 Dr. Mahlman: That is correct, and so it absorbs less
23 irradiation and so we see the transition between heating and
24 cooling having a more complicated pattern than we originally
25 thought.

1 The other point that I wanted to make that David Rind
2 that can amplify on is this warming region here in the lower
3 troposphere in the balloon record shows that the increase in
4 water vapor is consistent with the simplest equilibrium
5 thermal dynamics arguments and that also is consistent with
6 what the models are saying.

7 In my view, the radiosonde record is not quite good
8 enough to describe what is happening in the upper troposphere
9 which is a large point of contention and that is why this SAGE
10 satellite is there to bail us out and that is what David could
11 speak to.

12 Senator Gore: Okay, Dr. Rind, we will hold the record
13 open on this particular point for other views to be expressed
14 to the subcommittee, and there is at least one other view. I
15 did not know when this hearing was put together that this new
16 data was going to show up at this point.

17 And out of fairness I do want to give an opportunity to
18 respond to these one scientist who has disagreed with this,
19 but just to set the context the question of whether warming
20 will lead to more moisture in higher altitudes or less
21 moisture is significant really only because one of the few
22 critics of the theory of global warming has based his
23 criticism on the assertion that when warming occurs it will
24 lead to or it is likely to lead to less moisture in higher
25 altitudes.

1 This is Dr. Richard Lindsen and we had an earlier round
2 table on this point, and without going back into that, he
3 still believes that it is likely warming will lead to less
4 moisture in the upper altitudes, but in putting together this
5 hearing we discovered that all of a sudden, with these new
6 satellite measures we have the data now to look at whether
7 that is right or wrong.

8 So, Dr. Rind.

9 [Vugraph.]

10 Dr. Rind: What I am showing here first is reconstruction
11 that I just got from Jim Hansen of the surface air temperature
12 change from 1880 through 1991, and you can see as Senator Gore
13 said previously, 1990 was the warmest year in this particular
14 data set. 1991 was second, and obviously the slope here is a
15 very strong slope.

16 There was warming here and one of the questions that
17 presumably we could address is how much this had to do with
18 solar variability and how much of this has to do with solar
19 variability. Nevertheless, this is a very distinctive feature
20 of all sorts of temperature reconstructions, very extensive
21 warming from the late 1970s on.

22 As a brief aside, before getting into the question of
23 water vapor, I thought you might like to see the monthly
24 pictures.

25 [Vugraph.]

1 Dr. Rind: In particular, in 1991 you will notice that
2 1991 was pretty warm up until the middle of year and then
3 there has been cooling, very strongly centered in the tropics
4 subsequent to that, and of course, Mt. Pinatubo went off in
5 June and it is expected that Mt. Pinatubo could bring the
6 temperature down temporarily for up to about a centigrade,
7 although that is somewhat uncertain at this point.

8 Senator Gore: Occurring at the time of El Nino also, so
9 that is also complicating it.

10 Dr. Rind: Yes. What we did was take out of the last 4
11 years the years actually, 1986 through 1989.

12 [Vugraph.]

13 Dr. Rind: We looked at in both the radiosonde data and
14 the SAGE 2, water vapor data, what the difference in water
15 vapor was at various levels of the atmosphere. This is near
16 the surface of the atmosphere. This is upper levels in the
17 troposphere and this is the difference in the humidity in the
18 atmosphere between the warm years and the cold years.

19 So if these numbers are positive it implies that the
20 humidity has increased in the atmosphere and basically in both
21 the SAGE data and the radiosonde data, you see increases in
22 water vapor up through the troposphere basically.

23 Senator Gore: Okay. Is that it?

24 Dr. Rind: As far as that point goes, yes.

25 Senator Gore: Well, we need to move on so if you can get

1 to your other points in time for me to get to the other
2 witnesses.

3 Dr. Rind: Do you want the other points now?

4 Senator Gore: If you can do them quickly.

5 [Vugraph.]

6 Dr. Rind: Another point that people have asked is about
7 the impact of solar variability and Gerry Muhlman brought up
8 the point that when you run these coupled ocean atmosphere
9 models you get variability throughout the record, and we saw
10 in that temperature reconstruction that you did get warming in
11 the 1930s and then it cooled off and now you are getting
12 substantial warming in the 1980s, is this real? Is this a
13 greenhouse effect? Is this natural variability? What is it
14 associated with?

15 If you plot the temperature changes in the 1930, this
16 brown line as a function of latitude, from the equator to high
17 latitudes, notice that high latitudes warmed tremendously in
18 the 1930s where the tropics actually had very little warming.

19 In comparison, the 1980s show warming across the whole
20 wide range of latitudes. The 1980s look like a very different
21 signal from the 1930s, at least at far as this latitudinal
22 changes goes, and one of the estimates we had done was that
23 actually if you increase the ocean circulation a little bit,
24 you can get a temperature change that looks a lot more like
25 the 1930s, whereas, the double CO2 temperature change looks a

1 lot more like the 1980s.

2 So just from the standpoint of the latitudinal signature
3 of the response, there is a chance we may be able to separate
4 the different types of forcing.

5 Finally, in terms of this question of what is happening
6 in the record, Dave Robinson at Rutgers has produced a
7 reconstruction of snow cover which is the top picture here.

8 [Vugraph.]

9 Dr. Rind: For the Northern Hemisphere from mid-1970 up
10 through 1990, snow cover increases this way and temperature
11 increases that way. As temperature has been increasing in the
12 Northern Hemisphere the hemisphere-wide snow cover has been
13 decreasing, very correlation and therefore any significant
14 effort that people are making to try to imply that as you warm
15 the sea surface temperatures, you may actually cause new
16 glaciers to grow on land and snow to stay on land.

17 At least up to this point in time, the data is very
18 counter to that, and this actually goes hand in hand with
19 borehole records and glacial records. The snow cover record
20 also seems to verify that snow cover has actually been
21 strongly decreasing as the climate warms.

22 Senator Gore: Well, the point of all of this, as I
23 understand what Dr. Mahlman and Dr. Rind are saying is that
24 these other indicators greatly buttress the confidence we can
25 have in the computer models and strongly imply that a policy

1 of business as usual will in fact likely lead to increasing
2 temperatures of 1.5 to 4.5 degrees centigrade with CO2
3 doubling or a rate of increase 10 times faster than anything
4 we have seen in these climate records for the last 10,000 to
5 12,000 years, and perhaps longer.

6 Dr. Rind: Finally, the last point I would like to make
7 which would be a lead in actually what Judith Lean could
8 discuss is the solar impact on all of this and the impact of
9 solar variability.

10 [Vugraph.]

11 Dr. Rind: Jim Hansen and Any Lasis produced an estimate
12 of what the solar change, the solar radiance change in the
13 1980s was relative to the greenhouse gas change during the
14 1980s. And you can see that if you add the solar decrease
15 that occurred in the mid-1980s to the greenhouse forcing in
16 the mid-1980s you get essentially a flat forcing.

17 Then when the solar went up, they added together and you
18 got a positive impact.

19 Senator Gore: This is the point you were getting to
20 earlier, why do you not lead that up and Dr. Lean, do you want
21 to comment on that?

22 Dr. Lean: Sure, I can comment on that, in a broader
23 context or just on that specifically?

24 Senator Gore: Well, he showed an earlier graph also, the
25 implication of what these modelers are telling us is that your

1 warmer temperatures associated with variations in the solar
2 output have to be included in our analysis of the temperature
3 record because as this graph shows, the temperature record,
4 and you actually indicated this early, the temperature record
5 can appear to be a flat at a time when solar radiation is
6 declining.

7 And even though the temperature record is flat, that
8 would mean that the greenhouse effect is pushing temperatures
9 up compared to what they would be if solar radiation was not
10 going down, and then the further implication is that when the
11 natural variation in solar output turns back up again, it will
12 exacerbate the warming due to increases in greenhouse gas
13 concentrations, correct?

14 Dr. Lean: Yes. Actually, I have an extension of that
15 where I have extrapolated for the next few solar cycles that I
16 could show you so that you would see how the sun might
17 modulate the greenhouse gases.

18 Dr. Damon: They can extrapolate for centuries using
19 other cycles.

20 Senator Gore: Well, we are running out of time and I
21 want to get to Dr. Pollack, so you all decide what the most
22 relevant of those presentations might be. Do you each want to
23 present one to two?

24 Senator Pressler: Mr. Chairman, while they are walking
25 up, I am going to have to depart, but I would also request

1 some information on the Ruwenzori Range in Uganda, some of the
2 information if anybody has that, just to send to me
3 personally.

4 Thank you very much.

5 Senator Gore: We will make absolutely certain that you
6 get that, Senator Pressler, and thank you very much for your
7 work in this area.

8 I want to acknowledge the presence of my colleague,
9 Senator John Kerry who has been one of the most active members
10 of the Senate in this issue and invite you to jump in at any
11 point you want, Senator Kerry.

12 Senator Kerry: Appreciate it, Mr. Chairman. I am,
13 unfortunately, one of those who has a schedule conflict, but I
14 did want to get a sense of the texture of this, and I am
15 impressed the manner in which you are proceeding.

16 Senator Gore: Well, thank you very much, Senator Kerry.
17 We have a specialist on tree ring data and borehole data
18 in the tundra and ice core work in the tropical glaciers at
19 high altitudes, all of which validate the thermometer
20 temperature record which has been attacked as possibly having
21 some weaknesses in it. Now we see exactly the same pattern
22 from all of these other indicators and a synthesis of
23 information from a whole different group of scientific fields
24 that all produce exactly the same results, a result which
25 importantly corresponds also what the computer models show

ALDERSON REPORTING COMPANY, INC.
1111 FOURTEENTH STREET, N.W.

SUITE 400

WASHINGTON, D.C. 20005

(202) 289-2260

(800) FOR DEPO

1 which like the thermometer record have been subject to some
2 attack, and so this synthesis is one that I think is extremely
3 important.

4 And what we are looking at again here now is information
5 on solar variability and Dr. Judith Lean and Dr. Damon in just
6 a moment, have told us that this is a complex field and in
7 order to really interpret it responsibly, we have to take into
8 account that these indicators from natural systems like the
9 ones I mentioned are affected by variations in what the sun
10 has been doing.

11 But we are delving into this complex area and what we are
12 finding is that it presents us with the same overall message.

13 Dr. Lean: This is an extension of what David's shown
14 where you project future solar cycles, and remember I said the
15 sun has an 11-year cycle, and this is based upon observations
16 we have now.

17 The point that I would like to make is we have
18 observations over one solar cycle only, and that is what this
19 is referring to.

20 [Vugraph.]

21 Dr. Lean: The sun has an activity, as indicated by this
22 long-term record. These are sunspots. It is not irradiance
23 that has been continually increasing over the past 300 years,
24 so you have seen in some of the climate records the existence
25 of the Maunder Minimum. This is a period when the 11-year

1 cycle died away, and so things like this complicate the record
2 and just -- this is sort of playing Devil's Advocate a little
3 bit.

4 [Vugraph.]

5 Dr. Lean: You can take things like global sea surface
6 temperature records and you can correlate them with the
7 sunspot number so again you have correlations that perhaps
8 suggest the sun is playing more of a role than the
9 measurements would indicate. We do not know the answer to
10 this, but nor can we dispense with it entirely and in part on
11 the longer-term scale we really need to be careful of that as
12 well.

13 [Vugraph.]

14 Dr. Lean: Historical solar activity records such as
15 Dr. Damon can talk about indicate that the sun has a series of
16 minima and maxima, so that the 11-year cycle as we know it is
17 something that is a contemporary thing and in fact
18 historically we believe that in terms of these minima the 11-
19 year cycle goes away and the irradiance decreases, and you can
20 see here the correlation between the Maunder Minima and the
21 lowest temperatures of the Little Ice Age, which you can see
22 in the ice core records and the winter severity indices.

23 Now, if the sun -- these are some calculations from Tom
24 Wigley who is going to come here. If the sun went into a
25 Maunder Minima period starting in the year 2000, went down

ALDERSON REPORTING COMPANY, INC.
1111 FOURTEENTH STREET, N.W.

SUITE 400
WASHINGTON, D.C. 20005

(202) 289-2260

(800) FOR DEPO

1 into a minimum, what could we expect to happen in terms of
2 greenhouse forcing?

3 [Vugraph.]

4 Dr. Lean: These are the results of that. This is the
5 greenhouse gas and this is different sensitivities, and so
6 even taking the historical record and combining it of what we
7 know now of the sun we can say yes, there is an effect, but
8 presumably according to these calculations it is not going to
9 moderate the greenhouse effects, but there is a possibility
10 that it is going to be significant.

11 Senator Gore: Thank you very much. Dr. Damon.

12
13
14
15
16
17
18
19
20
21
22
23
24
25

1 STATEMENT OF DR. PAUL E. DAMON, PROFESSOR EMERITUS,
2 DEPARTMENT OF GEOSCIENCES, UNIVERSITY OF ARIZONA, TUCSON, AZ
3 85721

4 Dr. Damon: I will make it brief.

5 Senator Gore: Yes, please do, and while you are walking
6 up there we had a report from a group called the Marshall
7 Institute some time ago -- and I was not going to get into
8 this because they are not here, and I will consult with the
9 Republicans on the committee because we may want to have a
10 follow-up hearing to give them a chance to say whatever they
11 wish on this and maybe look at this a little more closely.

12 But you are an expert in this field and you are familiar,
13 I am sure, with the conclusion reached by the Marshall
14 Institute that the sun is likely to cool off and it is
15 actually lucky, according to them, that we are putting all
16 these greenhouse gases up there, because it will negate a
17 period of cooling that we are entering into. Did you find
18 their report persuasive?

19 Dr. Damon: No. They make two errors in their
20 calculations?

21 Senator Gore: Errors? I made one of those once and I
22 was very disconcerted.

23 [Laughter.]

24 Senator Gore: You reported their errors to them?

25 Dr. Damon: Yes. They put in a lag time where it should

1 not have been and made an error in calculation.

2 Senator Gore: It was a simple mathematical mistake?

3 Dr. Damon: Yes, and also a conceptual mistake, and I
4 think what I show here will demonstrate that.

5 Senator Gore: But the effect is that it led them to
6 predict cooling when actually they should have predicted
7 warming?

8 Dr. Damon: That is right.

9 Senator Gore: That is rather significant.

10 Dr. Damon: I will do what they did but do it correctly.

11 Senator Gore: But do it briefly, too, and I want to
12 emphasize that we will hold the record open, without
13 objection, for any comment or response from them, because
14 again if I had known that we were going to get into this I
15 would have invited them. I will invite them to a subsequent
16 session and give them a chance to respond if they wish to do
17 so.

18 Go ahead.

19 Dr. Damon: Here you have the record of both carbon 14 --
20 and I will not go through the means of removing the
21 geomagnetic field data from this, and also beryllium 10. The
22 C14 is from the cellulose tree rings measurement and the
23 beryllium 10 data is from the South Pole. This is data of
24 Dr. Stiver from the University of Washington and Risenbeck, et
25 al., from France.

1 The South Pole is an ideal place to get this data, and
2 you can see starting from over here you have this minimum that
3 occurred at the end of the 19th Century. You have the Dalton
4 Minimum, the Maunder Minimum, the Storey Minimum, the Wolf
5 Minimum, and what I call the Medieval Solar Maximum, where
6 historical records show that aurora were seen at very low
7 latitudes, such as in recent time there were two sightings of
8 aurora in Tucson, and people called the police or the fire
9 department because they thought there was a huge fire and the
10 aurora had been seen as far south as Central Mexico, and then
11 this is the Oort Minimum, known from historical data.

12 Well, you will notice that this Medieval Solar Maximum,
13 which is also referred to as the Medieval Warm Epic --
14 although the agreement is not really very good as to just
15 exactly how warm it was. It certainly was warmer than the
16 Little Ice Age -- lasted for about 150 years.

17 Now, this is the result of the combustion of fossil
18 fuels, and so we cannot see the effect of the sun in the
19 carbon 14 because of industrial activity, but beryllium 10 is
20 not affected by industrial activity and so you can see that
21 solar activity which is the inverse of the -- the isotopes are
22 the inverse of the solar activity, has now returned after 700
23 years to the level of the Medieval Solar Maximum.

24 Well now, these variations are not random. There is a
25 complicated periodicity related to them.

1 [Vugraph.]

2 Dr. Damon: This is particularly important for long-term
3 changes and most of these are due to the shape of that signal,
4 but the two that I want to point out which are most important
5 is this which we have defined better at 212 years and we call
6 this the Seuss period, this frequency of 212. It is well-
7 known from the sunspot cycle. It is the Gleisberg period, and
8 that shows up in the data that Dalton Minimum is the effect of
9 the Gleisberg.

10 [Vugraph.]

11 Dr. Damon: Now we have done sort of an experiment, a
12 thought experiment here. We have taken the past climactic
13 record as a boundary condition and we have assumed that the
14 temperature change from the Medieval Solar Maximum to the
15 Little Ice Age is only about 8/10ths of a degree centigrade.
16 Looking at all of the climactic indicators of the past it
17 cannot be much more than that, and so we have assigned to the
18 Gleisberg a change in the solar constant of 0.23 percent, and
19 to the Seuss 0.23 percent, or about 6/10ths maximum change in
20 the solar constant.

21 Here you see Reid's smoothing of the sunspot cycle, which
22 you can see has been increasing as mentioned by Judith Lean.
23 Here are the two sets of data, Jones et al. and Hansen and
24 Lebedeff, and here is our simulated model here, and you see
25 that it increases rapidly and you have to put a lag time in

1 here for mixing with the surface of the ocean. It flattens
2 off and then it starts up again, and if this is correct then
3 you will see what will happen in the future.

4 [Vugraph.]

5 Dr. Damon: Now we are simply projecting those cycles
6 into the future, and you see here is the 88 Gleisberg here,
7 and here you have the 212 and the 208 here, the Seuss cycle,
8 and here is the combined effect. The temperature would reach
9 a maximum somewhere just before the middle of the next
10 century. The total change would be about 8/10ths of a degree
11 centigrade. We have put that in as a boundary condition.
12 Then the temperature would decrease, but would not reach the
13 level of the modern solar minimum here.

14 Now, notice that we have done this in two different ways.
15 We can take that period 1100 to 1250 and say that the modern
16 maximum, if that is a good analogue, would last for 150 years,
17 and here it is 150 years, but independently we have taken the
18 two cycles and projected them into the future, and you see we
19 get the same effect, which I think gives some credence to what
20 I call a Gadonkin experiment, a thought experiment, on the
21 effect of solar energy in the future.

22 Notice, however, that we do not get a cooling, we get a
23 warming in the middle of the century, and even when the
24 cooling occurs it does not become as cold as the beginning or
25 the end of the 19th Century. I called Jastrow immediately and

ALDERSON REPORTING COMPANY, INC.

1111 FOURTEENTH STREET, N.W.

SUITE 400

WASHINGTON, D.C. 20005

(202) 289-2260

(800) FOR DEPO

1 told him about that and he has seen this data. I think he
2 must by now have changed his mind.

3 Senator Gore: Do you think so? Well, we will ask him.

4 Dr. Damon: Well, if he has not, he will have to argue
5 with the facts.

6 Senator Gore: Well, I draw three conclusions from what
7 you are saying: first, we have to get more information about
8 the work that the field that you and Dr. Lean are specializing
9 in in order to improve our ability to decipher the signals we
10 are seeing in these temperature records, but secondly the
11 total magnitude of the temperature change associated with
12 these cycles is far less than the magnitude of the changes
13 predicted in the models on global warming.

14 Third, the cycles that you study show that the smaller
15 magnitude temperature changes will actually for the next 60
16 years or so exacerbate the warming that is predicted as a
17 result of extra greenhouse gases in the atmosphere and then
18 after the middle of the next century the anticipated decline
19 in the amount of temperature due to this solar variability
20 will by that time be completely swamped by the magnitude of
21 change associated with the greenhouse gases.

22 Dr. Damon: That is right, but it does complicate
23 matters.

24 Senator Gore: It complicates the interpretation of it,
25 and in order to improve our understanding of it we need to

1 have a better data record and more extensive research in the
2 field that you all specialize in.

3 Dr. Rind: I think the distinction you drew between your
4 points two and three is just the right one, that for the next
5 several decades we are talking about a potential solar
6 influence that may be comparable to greenhouse gas influence
7 in one sense or another, but on the longer term doubled CO₂
8 two times the CO₂ in the atmosphere's equivalent in models to
9 a 2 percent solar constant change, and that is way outside the
10 range of the variables that Judith referred to, or the
11 estimates that people are making from solar models.

12 Senator Gore: Not just the sun, but other stars in the
13 same category as the sun.

14 Dr. Damon: It would be four times.

15 Senator Gore: Dr. Mahlman.

16 Dr. Mahlman: A follow-up point to that that some have
17 argued, as was even implied in Reid and Gage's research, is
18 that the current observational temperature record over the
19 last century can be possibly explained by solar cycle
20 arguments.

21 The problem with that assertion is that it is an implicit
22 or tacit claim that the system is extraordinarily sensitive to
23 small variations in solar constant, and equally
24 extraordinarily sensitive to equivalent greenhouse forcing,
25 and anyone who makes that argument must come up with a

1 mechanism as to how to get around that logical fact.

2 Senator Gore: Well, if in fact we do have another
3 roundtable on this particular point of solar variability
4 perhaps we could get into that as well, and we will certainly
5 consult with Dr. Damon and Dr. Lean and your colleagues on
6 that. We have a number of other earth science roundtables
7 that we want to get to, but perhaps in the future we can look
8 at this in more detail.

9 Dr. Pollack, your work is just as impressive and detailed
10 as what we have been looking at. Because of the time
11 constraints I fear that we have not done justice to it, but
12 let me ask you a few questions.

13 Your borehole data is best gathered on continents,
14 obviously, because water interferes with the heat transport
15 mechanism that you rely upon for your temperature record, and
16 as a result you, just as Dr. D'Arrigo and Dr. Jacoby, have
17 found it sensible to look at trees that are especially
18 sensitive to temperature.

19 You found it sensible to concentrate your borehole work
20 in tundra and permafrost regions, among others, because that
21 gives you the ability to get more accurate measurements of
22 this heat transport down into the earth, is that correct?

23 Dr. Pollack: Your comments are correct, but I would like
24 to add, the initial success of the methods in the permafrost
25 regions of Alaska because of the freezing of the water and not

1 having that perturbation, that success has now been achieved
2 in other settings in temperate and tropical areas, obviously
3 not due to permafrost. But there are other geological
4 settings where ground water perturbation is insignificant.

5 Senator Gore: So you began in the permafrost and the
6 tundra, but now you have got it elsewhere as well

7 Dr. Pollack: That is right. And it has the potential
8 for application in all continental settings.

9 Senator Gore: Is there a lot of controversy about the
10 measurements you have made? It seems pretty straightforward.

11 Dr. Pollack: I do not think there is controversy. The
12 initial feeling was that perhaps the success in the Arctic
13 might not be achieved in temperate and tropical areas. But
14 since that time of that puzzling or wondering, we have now
15 made measurements in the other settings and find that
16 technique is quite robust.

17 Senator Gore: So you have a pretty high degree of
18 confidence that you can measure warming of the earth in the
19 last century. Is that correct?

20 Dr. Pollack: Well that is right. Beyond the last
21 century, in fact, we think we have signal that takes us back
22 two or three centuries.

23 Senator Gore: So you have got a record that goes back
24 prior to the thermometer records. And when you look at the
25 temperature change over that entire period of time, does the

1 pattern correspond with -- you answered Senator Pressler's
2 question earlier briefly, but does the pattern correspond with
3 that predicted by the models, by that found in the tree ring
4 data, and that analyzed by Dr. Thompson in the glacial record?

5 Dr. Pollack: I know that we are pressed for time, but if
6 I could show a few illustrations.

7 Senator Gore: We are pressed for time, but I want to see
8 it. If you could run through it relatively quickly.

9 Dr. Jacoby: One brief comment, while he is going over
10 there, relevant to Dr. Damon's presentation. In one location
11 in Alaska we have a 1,000 year tree ring record, and the
12 maxima in growth and minima in growth generally tend to
13 coincide with his solar variations.

14 Senator Gore: Is that right? Well that is very
15 interesting, and I want it to add to the list of those
16 measurements that all correspond with each other, the
17 thermometer record compiled by Hansen and Wigley et cetera.
18 It seems to me that any controversy about Hansen and Wigley's
19 record has been pretty much demolished by the synthesis of all
20 of these other records here. It is just such an overwhelming
21 set of conclusions that all correspond with each other. Go
22 ahead, Dr. Pollock.

23 [Vugraph.]

24 Dr. Pollack: Thank you. First, I just want to quickly
25 show what this can and cannot do. What we have here is in the

1 strong Wigley line record. That is the tree ring record for
2 the northern hemisphere that Dr. Jacoby and D'Arrigo
3 presented. And if we let that drive as a temperature driving
4 function at the earth's surface, then it would imprint the
5 temperature record in the subsurface, and we could recover
6 from that this bold line. And you can see that is what the
7 borehole would reconstruct as a surface temperature history,
8 as compared to what the tree rings would suggest from the
9 surface.

10 But the main point I want to make is that it is really
11 quite good back to a century, and if there is any doubt that
12 the Hansen and Wigley analyses are somehow contaminated by
13 urbanization and the like, one could take borehole records
14 from non-urbanized areas and analyze them. And I think this
15 will give you confidence that we would be able to recover that
16 last century quite well.

17 For earlier times, one can see that you get a smooth
18 record of what has taken place at the surface.

19 [Vugraph.]

20 Dr. Pollack: Let me just show some results quickly.
21 Here is a series of boreholes from Southern Canada, of which
22 the clusters shown with stars down here were inverted for a
23 temperature history.

24 [Vugraph.]

25 Dr. Pollack: And this is the temperature history, shown

1 also with a carbon dioxide record, but I am not going to
2 discuss that. The temperature history shows a strong warming
3 from about the early part of the nineteenth century. That is
4 shown here, and it includes, of course, the twentieth century
5 warming that we are aware of. But this shows that the
6 twentieth century warming is actually a continuation of a
7 longer term trend there.

8 And about half of this, roughly 2 degrees of warming --
9 in the past, oh, 180 years, about half of it seems to be a
10 recovery from an earlier cold spell, probably associated with
11 the little ice age, and half is an overshoot from a longer
12 term average that existed in the past. So the borehole record
13 does confirm the twentieth century warming that we see in the
14 meteorological record, as well as giving us some insight into
15 the past history of the surface temperature in that area.

16 The borehole studies are relatively new compared to many
17 of these other investigations. Most of the work has taken
18 place in North America, and I will give you just a quick
19 summary of the types of results that are occurring. The
20 Lockenbrook and Marshall record from Arctic Alaska shows about
21 a 2 to 4 degree warming over the past century.

22 The Southern Canada region which I just discussed shows a
23 similar warming trend, but of lesser amplitude, only 1 to 2
24 degrees. And this seems to have started over -- the warming
25 has been occurring over a longer interval, about half of which

1 is due to the twentieth century warming and half in nineteenth
2 century.

3 The New England area and Eastern Canada likewise shows a
4 similar rise in temperature, but confined to essentially the
5 past century. And I am sorry that Senator Pressler is not
6 here to see South Dakota, but it has not had too much of a
7 change in the last century. Some work in the great basin of
8 Utah shows very modest warming in this century. The main of
9 this is that the regional variability that we see in the
10 atmospheric record is also tracked well in the subsurface
11 record.

12 Senator Gore: And corresponds with that predicted in the
13 models.

14 [Vugraph.]

15 Dr. Pollack: This is the Hansen and Lebedeff summary for
16 the 1980 to 1985 period, and while it does not reproduce real
17 well on this screen, we all know and recognize that the polar
18 regions have shown the greatest change in the meteorological
19 record. And, of course, the Arctic Alaska borehole work
20 confirms that, and some of the regional variation that one
21 sees here.

22 Although I have shown you mainly North America, this
23 region of Eastern Canada and New England is what has a signal
24 well above average and it has tracked well. And the boreholes
25 work in Africa, in some of these areas that actually show

1 cooling, are also verified by the subsurface temperatures.
2 And so I have a great deal of confidence in the Hansen and
3 Lebedeff meteorological record.

4 And in areas that are far from urbanization and far from
5 the effects of heat islands and the like, the subsurface
6 record tracks this quite nicely. And so I think it adds
7 confidence to it, and offers a methodology that could extend
8 the record into areas that have not been monitored by weather
9 stations.

10 [Vugraph.]

11 Dr. Pollack: Lastly, I just would point out the density
12 of borehole records that exist because of other geophysical
13 studies that have gathered temperature profiles over the last
14 2 decades. And it is not surprising, of course, that the
15 density in North America and Europe and in the Unified Team
16 and Japan and Australia and in parts of the Southern
17 Hemisphere, one can see that the potential for apply this
18 method in already existing records is high. And, of course,
19 if one wants to find particular areas or identify particularly
20 sensitive spots in model calculations, one can drill holes and
21 examine its past history over the past few centuries.

22 Senator Gore: That is really an extremely impressive
23 presentation, and I want to thank you. And I would like to
24 say that I draw some lessons from this roundtable about our
25 research priorities. Just as ecology involves the study of

1 the whole and not just a few parts, and even where the parts
2 are studied, they are studied in relation to the whole, it
3 seems to me that when we go about the task of research, we
4 might make more progress by looking at the kind of synthesis
5 that this roundtable has hinted at.

6 And the implication for research funding, it seems to me,
7 is that we must pay more attention to the work of scientists
8 in fields as disparate as borehole analysis, tree ring
9 analysis, glacier analysis, and solar variability and computer
10 modeling. Because when we bring the evidence from different
11 fields together in a careful way, the synthesis which results
12 can save us an enormous amount of time as we seek firmer
13 conclusions about what in fact is the likely consequence of
14 the anthropogenic or manmade changes to the global
15 environment.

16 And so I will be recommending, as chairman of this
17 subcommittee, in consultation with my colleagues, that we
18 insist that the agencies pay adequate attention to the
19 importance of the work in these fields we have heard about
20 here today. And finally, in conclusion -- well on that point,
21 may I just ask each of our participants here today to respond
22 for the record, and not orally but for the record, on what you
23 believe the priorities in research activity and funding ought
24 to be in the coming several years. Because I would like to be
25 able to understand your conclusions with respect to that, and

1 use them in my dialogue with the executive branch as well.

2 In conclusion on the substance we have discussed here
3 today, the record compiled from this widely disparate fields
4 all correspond. The measurements from tree rings, from
5 boreholes, and from ice cores, present exactly the same
6 pattern which, when understood with the sophistication that we
7 are given from the solar variability specialist, enabled us to
8 compare them to what the computer models predict and in the
9 process validate the thermometer record, increase the
10 confidence we have in the computer modeling, and increase the
11 confidence we have in what those computer models tell us about
12 the likely consequence of a so-called business as usual
13 approach to the relationship between human civilization and
14 the global environment.

15 I would like to thank the staff of the subcommittee on
16 both sides of the aisle and my personal staff, for the
17 enormous amount of work that has gone into pulling these
18 various fields together. And in conclusion I would like to
19 again thank each of our participants for sharing their
20 enormous expertise in a kind of unusual format which I hope
21 has been as interesting as productive for you as it has been
22 for the subcommittee. And with that we will stand adjourned.
23 Thank you.

24 [Whereupon, at 12:30 p.m., the hearing was adjourned.]
25