

FOIA MARKER

**This is not a textual record. This is used as an
administrative marker by the William J. Clinton
Presidential Library Staff.**

Collection/Record Group: Clinton Presidential Records
Subgroup/Office of Origin: Council on Environmental Quality
Series/Staff Member: Kathleen (Katie) McGinty
Subseries:

OA/ID Number: 2613
FolderID:

Folder Title:
Ozone Depletion Hearing Transcript (Part 1) November 15, 1991

Stack:	Row:	Section:	Shelf:	Position:
S	61	5	6	2

UNITED STATES SENATE

**HEARING ON
GLOBAL CHANGE RESEARCH:
OZONE DEPLETION AND ITS IMPACTS**

Friday, November 15, 1991

Washington, D.C.

**ALDERSON REPORTING COMPANY
1111 14TH STREET, N.W.
WASHINGTON, D.C. 20005-5650
(202) 289-2260**

1 Senator Exon: 77-82
2 Senator Gore: 3, 9, 12, 15, 16, 18, 21, 25-29, 33-36,
3 42-45, 49-54, 58, 59, 61, 64, 66, 68, 71, 72, 75, 76, 82,
4 84, 86, 96-103
5 Senator Gorton: 9, 28, 29
6 Senator Lott: 28, 29
7 Senator Pressler: 83, 84, 86-89, 96
8 The Chairman: 103-111, 113-123
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

C O N T E N T S

1		
2	STATEMENT OF.	PAGE
3	HON. AL GORE, U.S. SENATOR FROM TENNESSEE	2
4	HON. SLADE GORTON, U.S. SENATOR FROM WASHINGTON	9
5	DR. ROBERT T. WATSON, BRANCH CHIEF, UPPER ATMOSPHERE	
6	RESEARCH TROPOSPHERIC CHEMISTRY, EARTH SCIENCE AND SPACE	
7	APPLICATIONS DIVISION, NATIONAL AERONAUTICS AND SPACE	
8	ADMINISTRATION HEADQUARTERS, WASHINGTON, D.C.	10
9	DR. DANIEL L. ALBRITTON, DIRECTOR, AERONOMY LAB, NATIONAL	
10	OCEANIC AND ATMOSPHERIC ADMINISTRATION, BOULDER, COLORADO	13
11	DR. JAN C. VAN DER LEUN, INSTITUTE OF DERMATOLOGY,	
12	UNIVERSITY HOSPITAL UTRECHT, UTRECHT, THE NETHERLANDS	17
13	DR. SUSAN WEILER, EXECUTIVE DIRECTOR, AMERICAN SOCIETY OF	
14	LIMNOLOGY AND OCEANOGRAPHY, DEPARTMENT OF BIOLOGY,	
15	WHITMAN COLLEGE, WALLA WALLA, WASHINGTON	22
16	DR. STEPHEN ANDERSEN, DIRECTOR, TECHNOLOGY TRANSFER AND	
17	INDUSTRY PROGRAM, U.S. ENVIRONMENTAL PROTECTION AGENCY,	
18	WASHINGTON, D.C.	30
19	DR. MARGARET L. KRIPKE, CHAIRPERSON, DEPARTMENT OF	
20	IMMUNOLOGY, M.D. ANDERSON CANCER CENTER, UNIVERSITY OF	
21	TEXAS, HOUSTON, TEXAS	37
22	ALAN H. TERAMURA, PROFESSOR AND CHAIRMAN, DEPARTMENT OF	
23	BOTANY, UNIVERSITY OF MARYLAND, COLLEGE PARK, MARYLAND	47
24		
25		

ALDERSON REPORTING COMPANY, INC.
 1111 FOURTEENTH STREET, N.W.
 SUITE 400
 WASHINGTON, D.C. 20005
 (202) 289-2260
 (800) FOR DEPO

1	C O N T E N T S (Continued)	
2	STATEMENT OF	PAGE
3	DR. ARI PATRINOS, DIRECTOR OF THE ENVIRONMENTAL SCIENCES	
4	DIVISION, OFFICE OF HEALTH AND ENVIRONMENTAL RESEARCH,	
5	OFFICE OF ENERGY RESEARCH, U.S. DEPARTMENT OF ENERGY	56
6	SHELBY TILFORD, DIRECTOR OF EARTH SCIENCE AND APPLICATION	
7	DIVISION, NATIONAL AERONAUTICS AND SPACE ADMINISTRATION,	
8	WASHINGTON, D.C.	67
9	ROBERT WORREST, PROGRAM MANAGER, STRATOSPHERIC OZONE	
10	RESEARCH PROGRAM, U.S. ENVIRONMENTAL PROTECTION AGENCY,	
11	WASHINGTON, D.C.	72
12	HON. J. JAMES EXON, U.S. SENATOR FROM NEBRASKA	77
13	HON. LARRY PRESSLER, U.S. SENATOR FROM SOUTH DAKOTA	83
14		
15		
16		
17		
18		
19		
20		
21		
22		
23		
24		
25		

ALDERSON REPORTING COMPANY, INC.
1111 FOURTEENTH STREET, N.W.
SUITE 400
WASHINGTON, D.C. 20005
(202) 289-2260
(800) FOR DEPO

1 HEARING ON GLOBAL CHANGE RESEARCH:
2 OZONE DEPLETION AND ITS IMPACTS
3

4 Friday, November 15, 1991
5

6 U.S. Senate
7 Committee on Commerce, Science,
8 and Transportation
9 Washington, D.C.
10

11 The committee met, pursuant to notice, at 9:33 a.m. in
12 Room SR-253, Russell Senate Office Building, Hon. Al Gore,
13 Jr., presiding.
14
15
16
17
18
19
20
21
22
23
24
25

ALDERSON REPORTING COMPANY, INC.
1111 FOURTEENTH STREET, N.W.
SUITE 400
WASHINGTON, D.C. 20005
(202) 289-2260
(800) FOR DEPO

1 OPENING STATEMENT OF HON. AL GORE, U.S. SENATOR FROM
2 TENNESSEE

3 Senator Gore: Well, let us get this under way. Let me
4 welcome all of our witnesses and tell you how grateful I am to
5 all of you for arranging your schedules to be here today.

6 This is another in a series of earth science roundtables,
7 and the difference between a roundtable and a hearing is that
8 this proceeding is a little more informal in the flow of
9 discussion. I will have a few brief opening comments and will
10 call upon my colleague Senator Gorton for any that he might
11 have, and then what we will do is we will take very brief
12 opening statements, and I would like to limit everybody to
13 only three minutes in the opening comments.

14 I assure you that you will have plenty of time during the
15 proceeding this morning to come back and make whatever points
16 you wish to emphasize during the discussion. But during the
17 discussion that will follow these opening statements, I want
18 to encourage give and take and disagreement if it is
19 necessary, the elucidation of subtleties that you think are
20 important.

21 I would like, however, to suggest that you follow this
22 rule, the same one that we follow over on the Senate floor,
23 where over the past 200 years they have discovered that it is
24 best to direct all statements through the chair. That has
25 minimized fist fights during the past two centuries on the

1 Senate floor. Not that we expect any here this morning, but
2 if you could direct your comments through the chair that would
3 be helpful.

4 I might also, before I give my opening comments, note
5 that we are honored to have in attendance in the audience the
6 Ozone Secretariat for the United Nations Environment Program,
7 Dr. K.M. Sarma from Nairobi. Dr. Sarma --

8 Voice: I think he may be late.

9 Senator Gore: He may be a little late, okay. Well, we
10 will be honored when he arrives.

11 And Dr. Steve Lee-Babty, we will be honored later by his
12 presence, and the Co-Chair of the UN Ozone Technology -- oh,
13 he was the Co-Chair, all right.

14 We are honored to have with us as part of the panel the
15 Co-Chairs of all three of the UN ozone panels: Doctors Watson
16 and Albritton on the science of ozone depletion and the
17 synthesis of the UN ozone report, Dr. van der Leun from The
18 Netherlands, who was the Co-Chair of the impacts panel along
19 with Dr. Manfred Tevini of Germany; and Dr. Steven Andersen,
20 Co-Chairman of the strategies for reducing emissions panel.

21 One other thing. Senator Gorton has reminded me that we
22 are going to have some votes on the Senate floor this morning
23 in connection with unemployment. So at various times,
24 unfortunately, I will have to recess the proceeding for 7, 8
25 minutes at a time, race over and vote, and then come back. So

1 I will just warn you about that ahead of time.

2 Now, just by way of my opening comments, we are here
3 today to examine the latest information on the damage that we
4 are doing to the stratospheric ozone layer and what it means
5 to us, our health, our food supply, and the global ecological
6 system.

7 On October 22nd the United Nations Environmental Program
8 released the executive summary of a report entitled "A
9 Scientific Assessment of Stratospheric Ozone, 1991." That was
10 the first of three parts of a UNEP assessment of ozone
11 depletion due to be released in its entirety early next year.
12 Many of the scientists involved in the study felt that the
13 gravity of the findings was such that advanced word of the
14 nature of the findings ought to be available to peoples and
15 governments throughout the world in advance of the normally
16 slower process by which such information gets published.

17 That has been done with the first of the three panels.
18 The other two will be presented in essence here this morning.
19 The information contained in the other two will be heard
20 publicly for the first time in this session this morning. We
21 are very interested in hearing that.

22 Overall, this was the second such assessment done since
23 the Montreal Protocol was signed in 1987, and the protocol
24 itself called for these international assessments so that
25 policymakers would have the information needed to revise and

ALDERSON REPORTING COMPANY, INC.
1111 FOURTEENTH STREET, N.W.
SUITE 400
WASHINGTON, D.C. 20005
(202) 289-2260
(800) FOR DEPO

1 update the protocol as new scientific data becomes available.

2 Like that first assessment in 1989, this new one includes
3 very disturbing new data, indicating that ozone radiation is
4 even more serious than we thought. Many of our witnesses
5 today were involved in writing the 1989 assessment concluding
6 that ozone depletion was occurring very much faster than most
7 models predicted.

8 As a result of that report, of course, the Montreal
9 Protocol was amended to require more rapid phaseout of CFC's,
10 halons, and other ozone deleting chemicals.

11 According to the report released on October 22nd, ozone
12 depletion is occurring even faster than thought in that 1989
13 update and it is occurring over more of the globe, everywhere
14 except a narrow band along the Equator, apparently, and
15 throughout most of the year. And one of the most troubling
16 findings, of course, was that it is occurring in spring and
17 summer, when the sun angle is such that the rays are not
18 scattered over a larger swath of the earth's surface and are
19 able to do more damage.

20 Depletion is occurring, according to the report, not only
21 in the Antarctica ozone hole, but also in the Arctic and mid-
22 latitudes, over our heads here in the United States for
23 example. And worst of all, it appears from the new findings
24 that the depletion is accelerating. According to the data,
25 the ozone layer above the United States is thinning between 3

1 and 5 percent per decade.

2 According to Sherwood Rowland, who testified before our
3 committee in April, that means the ozone layer has thinned
4 about 10 percent since the 1960's. We often hear a shorthand
5 description that there has been a 4 to 5 percent depletion,
6 but that is just since the monitoring began in 1978, and when
7 you extrapolate that rate back to the time when the actual
8 depletion started it is about a 10 percent cumulative loss
9 above the mid-latitudes here since the 1960's, according to
10 Dr. Rowland.

11 That is significant, it is widespread, and it will have
12 major impacts on the environment and on people worldwide. We
13 are only now determining what those impacts will be.

14 We will hear some testimony today that will be very
15 surprising to many people, I imagine, because the evidence now
16 coming in is in some cases really startling. Unfortunately,
17 however, there has been too little research on the impacts of
18 ozone depletion and the impact specifically of increased
19 ultraviolet radiation which results from the depletion. Until
20 recently, much of the data was preliminary and incomplete.

21 But fortunately there have been a number of good solid
22 studies completed in the last year or two that give us a much
23 clearer picture. The new UN report effectively summarizes
24 that new research and it provides the most thorough assessment
25 to date of the impacts of ozone depletion.

ALDERSON REPORTING COMPANY, INC.
1111 FOURTEENTH STREET, N.W.
SUITE 400
WASHINGTON, D.C. 20005
(202) 289-2260
(800) FOR DEPO

1 The news is not good. Researchers here today have
2 discovered that increased UV radiation will reduce the
3 quantity and quality of crops, increase skin cancer and
4 cataracts, suppress the human immune system, and disrupt
5 marine ecosystems, with effects on the entire oceanic food
6 chain.

7 According to the report, a 10 percent decrease in ozone
8 will lead to between 1.6 and 1.75 million additional cases of
9 cataracts per year worldwide and at least 250,000 additional
10 cases of skin cancer per year. There are indications that
11 increased UV radiation suppresses the human immune system that
12 protects us from disease, and it is now clear that ozone
13 depletion will directly affect the health of many, many
14 millions of people worldwide.

15 The impact on ecosystems, trees, plants, phytoplankton,
16 all seem vulnerable to these increased levels of ultraviolet
17 radiation. No doubt there will be other serious consequences,
18 many of which cannot yet be anticipated, due in part to a lack
19 of research.

20 Last year the Federal Government spent almost one billion
21 dollars on global change research, most of it at agencies
22 under the jurisdiction of this committee. I personally feel
23 that we have to do more in researching the impacts of this
24 extra dosing of ultraviolet radiation. I think it is very
25 shortsighted that we have neglected this as much as we have.

ALDERSON REPORTING COMPANY, INC.
1111 FOURTEENTH STREET, N.W.
SUITE 400
WASHINGTON, D.C. 20005
(202) 289-2260
(800) FOR DEPO

1. Well, with that let me turn to Senator Gorton for any
2 opening remarks he might make, and then we will have our
3 opening statements from the witnesses.

4 [The prepared statement of Senator Gore follows:]

5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

ALDERSON REPORTING COMPANY, INC.
1111 FOURTEENTH STREET, N.W.
SUITE 400
WASHINGTON, D.C. 20005
(202) 289-2260
(800) FOR DEPO

1 STATEMENT OF HON. SLADE GORTON, U.S. SENATOR FROM
2 WASHINGTON

3 Senator Gorton: Mr. Chairman, at 10:00 o'clock or
4 immediately after our vote I have a markup of the supplemental
5 appropriations bill, so I will only be here until that first
6 break. Therefore, I would much rather try to hear the
7 presentations of each of these people, except to welcome a
8 constituent, Dr. Weiler.

9 Senator Gore: Very good.

10 Let us start off with Robert Watson, Branch Chief of the
11 Upper Atmosphere Research Tropospheric Chemistry Program at
12 NASA. Dr. Watson, if you could lead off we would appreciate
13 it.

14

15

16

17

18

19

20

21

22

23

24

25

ALDERSON REPORTING COMPANY, INC.
1111 FOURTEENTH STREET, N.W.
SUITE 400
WASHINGTON, D.C. 20005
(202) 289-2260
(800) FOR DEPO

1 STATEMENT OF DR. ROBERT T. WATSON, BRANCH CHIEF, UPPER
2 ATMOSPHERE RESEARCH TROPOSPHERIC CHEMISTRY, EARTH SCIENCE AND
3 SPACE APPLICATIONS DIVISION, NATIONAL AERONAUTICS AND SPACE
4 ADMINISTRATION HEADQUARTERS, WASHINGTON, D.C.

5 Dr. Watson: Thank you, Senator Gore and Senator Gorton.

6 The key findings of the scientific assessment included
7 the following. Based on ground-based and satellite data,
8 ozone losses are now occurring in both hemispheres, not only
9 in the winter but spring and summer. The only area of the
10 globe we have not observed ozone loss is in the tropics.

11 During the period of the 1980's, we saw a 3 percent ozone
12 loss in the summer over populated areas in the USA and Europe,
13 and effectively the trends, as Senator Gore stated, during the
14 1980's are faster than the trends observed in the 1970's by
15 about 2 percent. In other words, ozone depletion is
16 accelerating.

17 In Antarctica ozone losses continue in the springtime,
18 they continue on into the early summer. That is December down
19 in Antarctica. And for the last 5 years we have had very deep
20 and extensive ozone holes, so that the biennial modulation
21 that we used to see in the early 1980's has disappeared at
22 least during the last 3 years.

23 The ozone loss is primarily occurring in the lower
24 stratosphere, below 20 kilometers. This has significant
25 ramifications for the radiative forcing of the earth's

ALDERSON REPORTING COMPANY, INC.
1111 FOURTEENTH STREET, N.W.
SUITE 400
WASHINGTON, D.C. 20005
(202) 289-2260
(800) FOR DEPO

1 climate. Dr. Albritton will touch upon that particular
2 subject.

3 Clearly, the Antarctica ozone hole is due primarily to
4 manmade chlorine and bromine. We now believe the weight of
5 evidence strongly suggests that the observed mid-latitude
6 ozone losses are largely due to chlorine and bromine.

7 Even if we have the amended Montreal Protocol ratified
8 and complied with by all nations of the world, the amount of
9 chlorine in the earth's atmosphere today, which is about 3.5
10 parts per billion, will increase to about 4 parts per billion
11 or so by the year 2000. We therefore believe there will be
12 additional ozone losses during the 1990's, at least comparable
13 to those of the 1980's.

14 There are, however, approaches to limit future ozone
15 depletion. It means we will need additional controls on
16 CFC's, halons, methyl chloroform, carbon tetrachloride, and
17 controls on the HCFC's that are proposed as potential
18 substitutes in some uses.

19 In addition, our report points out that if methyl bromide
20 is partly anthropogenic in nature then we can have a significant
21 savings on the amount of bromine in the atmosphere, hence
22 saving future ozone depletion by controls on methyl bromide.

23 The last point I would like to make is we now have for
24 the first time direct evidence that we have significant ozone
25 depletion. There is the increase of ultraviolet radiation at

1 the ground. Each time in spring time in Antarctica when there
2 have been massive ozone depletions we have seen increases in
3 ultraviolet radiation at the ground to the level we would have
4 predicted from theoretical calculations.

5 Thank you.

6 Senator Gore: Thank you very much.

7 I might note parenthetically, we will get back to this
8 later but methyl bromide is used for preventing misshapen
9 fruit and for preserving grain, is that correct?

10 Dr. Watson: Correct.

11 Senator Gore: We are going to be hearing a lot more
12 about that, so I wanted to just throw that in.

13 Dr. Dan Albritton is Director of the Aeronomy Lab at NOAA
14 in Boulder, Colorado. Dr. Albritton.

15

16

17

18

19

20

21

22

23

24

25

1 STATEMENT OF DR. DANIEL L. ALBRITTON, DIRECTOR, AERONOMY
2 LAB, NATIONAL OCEANIC AND ATMOSPHERIC ADMINISTRATION, BOULDER,
3 COLORADO

4 Dr. Albritton: Thank you, Mr. Chairman, Senator Gorton.

5 In addition to the request that our scientific group
6 summarize the new findings on stratospheric ozone depletion,
7 the United Nations has also asked us to summarize the present
8 state of understanding of how ozone depleters and ozone
9 changes may relate to the heat budget of the planet, in
10 particular how this heat budget change may relate in fact to
11 the CFC's and other compounds.

12 There have been two major findings of the UN panel that
13 relate to trace gases and the heat budget. As Dr. Watson
14 indicated, the ozone loss appears to be concentrated in the
15 lower stratosphere, and this for the first time raises the
16 possibility that ozone losses are indeed related to changes in
17 the heat budget in the lower atmosphere, and I will provide
18 some details for that finding.

19 The second emphasis of our report was to examine very
20 carefully the indices that the scientific community placed on
21 the trace gases, in an attempt to show the relative measure
22 that each of the trace gases contributes to the heat budget of
23 the planet. And there have been some very significant
24 findings in terms of how well those indices can be calculated,
25 and I will try to summarize those points.

ALDERSON REPORTING COMPANY, INC.
1111 FOURTEENTH STREET, N.W.
SUITE 400
WASHINGTON, D.C. 20005
(202) 289-2260
(800) FOR DEPO

1 First of all, the relation between ozone loss and the
2 heat budget of the atmosphere. As Dr. Watson indicated, the
3 loss being in the lower stratosphere raises the possibility
4 that it cools that lower part of the atmosphere, and a cooler
5 lower stratosphere means that less heat is radiated from that
6 slab of the atmosphere down to the lower part of the
7 atmosphere where the climate system actually functions.

8 So ozone loss in this region caused in fact by the
9 chlorine- and bromine-containing compounds may in fact mean
10 that less heat is radiated to the lower part of the
11 atmosphere.

12 Now, the direct effect of the CFC's and the other
13 greenhouse gases has been a tendency toward warming. So now
14 for the first time there appears to be an indirect effect on
15 the heat budget due to these same compounds. In fact, the
16 preliminary calculations of this group indicate that this
17 tendency toward a reduction in radiation may in fact roughly
18 offset in many latitudes the direct effect that these
19 compounds are having.

20 So here for the first time we have to more closely
21 examine the connections between ozone loss and the climate
22 system. There is still considerable research to do in this
23 regard.

24 What our group did was examine the change in the heat
25 budget, and now climate scientists must apply that change in

1 the heat budget to asking the questions of how it actually
2 changes patterns on the surface, like temperature or rainfall.

3 The second point that I mentioned our group examined is
4 how well scientists can compare greenhouse gas A to greenhouse
5 gas B. Many of these gases also have direct and indirect
6 components. What our group found was that perhaps our
7 understanding in calculating the indirect effects are not as
8 solid as in fact had originally been thought a few years ago.

9 Let me give you an example. A gas like methane can
10 directly trap earth's radiation and directly contribute to the
11 heat budget, but it also creates water vapor, which is itself
12 a greenhouse gas. It also influences other lower atmospheric
13 greenhouse gases and those can contribute. Our ability to
14 calculate in the full impact of those molecules, both the
15 direct effects and the indirect effects, will require
16 considerable more research.

17 Senator Gore: Thank you.

18 We will come back to a lot of that, because of course if
19 the cooling partly offsets the warming from CFC's, that places
20 even more emphasis on CO2 as a greenhouse gas, and that has
21 provoked a reaction in many quarters. So I am sure we will
22 have some discussion of that here today.

23 Dr. van der Leun, as I mentioned, is from The
24 Netherlands. He is with the Institute of Dermatology at the
25 University Hospital of Utrecht in Heidelberglaan in The

1 Netherlands. Did I get that close?

2 Dr. van der Leun: Close.

3 Senator Gore: Close.

4 I hope our other witnesses will not think it
5 inappropriate for me to suggest that Dr. van der Leun and
6 after him Dr. Andersen, since they are providing the first
7 information on these other two UN assessment panels, take a
8 couple of extra minutes in addition to the 3 that we are
9 allocating to others.

10 So Dr. van der Leun, please proceed with your findings on
11 the impact of this extra ultraviolet radiation.

12

13

14

15

16

17

18

19

20

21

22

23

24

25

1 STATEMENT OF DR. JAN C. VAN DER LEUN, INSTITUTE OF
2 DERMATOLOGY, UNIVERSITY HOSPITAL UTRECHT, UTRECHT, THE
3 NETHERLANDS

4 Dr. van der Leun: Thank you, Mr. Chairman, for the
5 opportunity to contribute to this hearing.

6 My testimony is based on the work of the panel on
7 environmental effects, and today we released the summary of
8 the update 1991. I will take the opportunity to highlight a
9 few key elements of our report.

10 Our work starts with the relationship between
11 stratospheric ozone and ultraviolet radiation. Stratospheric
12 ozone is the main limiting factor for the penetration of solar
13 ultraviolet radiation to the earth, and it is for that reason
14 that we are worried about release of ozone that would be
15 expected to lead to increased ultraviolet radiation, and that
16 is indeed confirmed now by measurements in our Antarctic
17 region, as Dr. Watson already told.

18 It is also confirmed by surrounding areas. During the
19 breakup of the ozone hole, branches of it go over inhabited
20 areas of southern Australia and scientists in Melbourne have
21 measured that there are actual increases of ultraviolet-B
22 radiation, in full agreement with the decrease of ozone
23 overhead.

24 Now, there are also measurements suggesting negative
25 trends in ultraviolet-B radiation, especially in this country,

ALDERSON REPORTING COMPANY, INC.
1111 FOURTEENTH STREET, N.W.
SUITE 400
WASHINGTON, D.C. 20005
(202) 289-2260
(800) FOR DEPO

1 measurements over a long period of time, and that would
2 indicate a decrease of ultraviolet radiation while ozone was
3 also decreasing, and that has been puzzling for some time.

4 Senator Gore: That is because of air pollution.

5 Dr. van der Leun: I think that that is the main cause of
6 this other shield, consisting of tropospheric ozone, aerosols,
7 clouds, and most measurements are done in industrialized
8 areas.

9 Our report emphasizes that there is no guarantee whatever
10 that these factors will continue to work in the same
11 direction. Indeed, we are spending a lot of effort to reduce
12 these factors, and in some cases with success, with initial
13 success.

14 So if these factors reverse, the increase of ultraviolet-
15 B radiation by ozone radiation will be amplified, rather than
16 reduced. So we have many reasons to consider the effects.

17 Our report first starts with human health. Increase of
18 non-melanoma skin cancer is confirmed by new observations and
19 the present estimate is that a 10 percent loss of ozone, if
20 sustained over a long period of time, will lead to an increase
21 of the incidence of this type of skin cancer by 26 percent.
22 That is a smaller number than in the previous report. That is
23 due to new information.

24 This type of cancer is not only caused by UVB radiation,
25 but also to some extent can be caused by UVA radiation, which

1 is not affected by ozone. So that decreases the sensitivity
2 of the incidence for ozone change.

3 Eye problems. We have new experimental data implicating
4 ultraviolet-B even more than before in eye problems. We have
5 no new quantitative estimates, but the new data amplify the
6 estimates given earlier. If we would have 10 percent less
7 ozone over the past decades, there would now be more than a
8 million additional cases of blind people in the world due to
9 cataracts.

10 Ultraviolet radiation has influences on the immune
11 system. Dr. Kripke will certainly tell more about this. The
12 concern for our committee and for all of us is that this might
13 lead to increase of infectious diseases.

14 Plants and animals are also sensitive to ultraviolet
15 radiation. Many of them grow less under increased ultraviolet
16 radiation. One of the concerns is that this will lead to
17 smaller production in agriculture and fisheries, but there is
18 a lot between the individual organisms and the production of
19 agriculture and fisheries and we need a lot more knowledge to
20 come to real predictions there.

21 In the wild ecosystems one species will be more sensitive
22 than another, and this may lead to shifts in the competition
23 ratios and even to loss of species. Again, that is a real
24 loss for the earth.

25 We need a lot more data. In many places our report says

1 there is good reason for concern, but we have no solutions, no
2 final answers. So that is the reason we need much more data,
3 and I am glad you emphasized that point.

4 Thank you, Mr. Chairman.

5 [The prepared statement of Dr. van der Leun follows:]

6

7

8

9

10

11

12

13

14

15

16

17

18

19

20

21

22

23

24

25

ALDERSON REPORTING COMPANY, INC.
1111 FOURTEENTH STREET, N.W.
SUITE 400
WASHINGTON, D.C. 20005
(202) 289-2260
(800) FOR DEPO

1 Senator Gore: Thank you.

2 I am going to suggest that we change the order slightly,
3 with your indulgence, Dr. Andersen. Since Senator Gorton has
4 another committee that he is going to have to attend, I would
5 like to take his constituent, Dr. Susan Weiler, out of order
6 and introduce her by saying she is the Executive Director of
7 the American Society of Limnology and Oceanography, with the
8 Department of Biology at Whitman College in Walla Walla,
9 Washington, and is also empowered to represent here today some
10 of the work that has been done and is in progress by Dr. Ray
11 Smith's team.

12 Dr. Smith is in the Antarctic right now. He and his
13 colleagues have also worked on this problem of ultraviolet
14 radiation's impact on the oceanic food chain.

15 We are very honored that you could be here, Dr. Weiler.
16 If you can proceed.

17
18
19
20
21
22
23
24
25

1 STATEMENT OF DR. SUSAN WEILER, EXECUTIVE DIRECTOR,
2 AMERICAN SOCIETY OF LIMNOLOGY AND OCEANOGRAPHY, DEPARTMENT OF
3 BIOLOGY, WHITMAN COLLEGE, WALLA WALLA, WASHINGTON

4 Dr. Weiler: Thank you very much, and I would also like
5 to applaud you for calling for more research. We know so
6 little about biological systems in comparison with the ozone
7 and other things, which we have already heard we do not know
8 much about, either. So it really is something where we do not
9 really know enough to say too much at this stage.

10 What we can say is that it is already very well known
11 that current levels of UVB radiation affect phytoplankton
12 cellular processes, including primary production, DNA
13 integrity, which is likely to affect future generations, and
14 also cell viability.

15 Because species vary greatly in their sensitivity to UVB
16 radiation, it is possible that increased UVB would change
17 phytoplankton species competition and abundance, as well as
18 total primary productions. And again, as we heard before,
19 this is likely to have impacts beyond the primary producers.
20 These effects will ripple through the food chain and have
21 impacts that we really cannot predict right now.

22 Okay, so we know a fair amount about what happens at
23 current levels of UVB. It has been extremely difficult to
24 measure or predict what is going to happen with increased
25 levels of UVB, because the current generation of lamps,

ALDERSON REPORTING COMPANY, INC.
1111 FOURTEENTH STREET, N.W.
SUITE 400
WASHINGTON, D.C. 20005
(202) 289-2260
(800) FOR DEPO

1 artificial lamps, bear no relation to the solar spectrum that
2 is observed in the UVB regime and we know that biological
3 consequences are extremely dependent on individual wavelengths
4 of radiation.

5 So you cannot just say: Okay, we are going to increase
6 UVB by this much and it is going to have that effect. You
7 have to say: We are going to have so much of this wavelength,
8 a little more of this, a little less of whatever. And you
9 have to integrate over that. And you simply cannot do that by
10 artificially augmenting radiation with lights.

11 The one advantage of the ozone hole, if you could call
12 anything an advantage, is that we are now seeing severely
13 increased levels of UVB radiation in that region resulting
14 from the ozone hole. So for the first time we can actually
15 look over the short scale at the effect of natural levels of
16 UVB radiation.

17 Because of this, there has been a lot of research since
18 around 1987, when the first programs went down there. There
19 has been a lot of research concentrating on impacts in the
20 Antarctic, and I think one thing to point out to people is I
21 think we have a tendency to say: Well, if something happens
22 in the Antarctic, what does that mean to us here in temperate
23 latitudes?

24 This is a natural laboratory and we can learn things
25 about even temperate species that we cannot learn by studying

1 them at this latitude because of the limitation on light.

2 Anyway, the major Antarctic biological research findings
3 today are: Number one, that, like present levels, increased
4 levels of UVB also adversely affect marine phytoplankton
5 through decreases in primary production, DNA damage, and
6 presumably other factors that have not been studied yet.

7 Measurements by state of the art instruments confirm that
8 UVB penetrates to significantly, ecological significant depths
9 in the water column. I think most people tend to think as you
10 get down 5 inches or so you do not have to worry about it
11 because it is absorbed out of the water column, and it is
12 simply not true.

13 Measurements of UVB radiation have now been observed as
14 deep as 70 to 80 meters and ecologically significant levels
15 have been found at depths greater than 30 meters in the water
16 column. So this is something that we really do have to worry
17 about in aquatic systems as well as in terrestrial systems.

18 Antarctic phytoplankton species do have adaptive
19 mechanisms, such as the production of UVB blocking compounds,
20 that are similar to those found in tropical latitudes. There
21 are adaptive mechanisms in these organisms. There was some
22 question as to whether this was the case earlier.

23 And it has also been found that, like at temperate
24 latitudes, species vary significantly in their sensitivity to
25 UVB radiation. Again, this is going to have a dramatic effect

1 potentially on the type as well as the availability, the total
2 availability, of food to higher levels of the ecosystem, such
3 as fish and commercially important species.

4 Because species vary in their response to UV, ozone
5 depletion might cause changes in species composition or
6 nutritional state and changes in the abundance, size,
7 distribution, or nutritional value of primary producers could
8 affect higher trophic levels by reducing feeding efficiency,
9 potentially causing nutritional deficiencies and other things
10 that could reduce food availability to higher trophic levels,
11 even if total primary production did not decrease. And again,
12 everything suggests that it will decrease. It already has
13 based on the short-term experience.

14 Thank you.

15 [The prepared statement of Dr. Weiler follows:]
16
17
18
19
20
21
22
23
24
25

1 Senator Gore: Just to draw this out for a minute before
2 Senator Gorton has to leave, the findings indicate, do they
3 not, that the photosynthesis and the growth in production of
4 phytoplankton has decreased by 14 percent in the areas with
5 the higher dosage of UVB in the Antarctic?

6 Dr. Weiler: Around 6 to 12 percent when integrated over
7 the entire water column. The effects at surface are much
8 higher, and of course that is where the primary productivity
9 is, generally higher near the surface. It has been reduced by
10 as much as, I think, around 20 percent.

11 Senator Gore: At the surface?

12 Dr. Weiler: At the surface.

13 Senator Gore: Now, the phytoplankton production zone is
14 about 30 meters deep, 50 meters deep?

15 Dr. Weiler: Again, it varies with season, with water
16 type, a variety of other factors. Depending on the clarity of
17 the water, it could occur down to no more than a couple of
18 meters or it could occur, in the clearest water, down to about
19 150 meters.

20 But in the Antarctic it is probably around 40 meters.

21 Senator Gore: Is it accurate to say that most of the
22 zone where phytoplankton productivity occurs is penetrated by
23 ecologically significant levels of ultraviolet radiation?

24 Dr. Weiler: This is particularly true in the Antarctic.
25 Yes, that would be true generally speaking, because in the

1 Antarctic the phytoplankton concentrate in the upper water due
2 to the melting of ice in the springtime, because this water is
3 less dense, so it forms a lens on top, so it confines mixing
4 to shorter, smaller depths than would be found otherwise.

5 So these organisms are confined to the upper levels, even
6 though at other times of the year they might be going down
7 further.

8 Senator Gore: Now, the blooming, the blooming of
9 phytoplankton, is in the same time of the year when the ozone
10 hole is over it, right?

11 Dr. Weiler: That is increasingly true. The major bloom
12 occurs around October through November, and the ozone hole has
13 been most pronounced around the first week or so in October.
14 But now as the ozone hole is getting larger and also lasting
15 longer, it's penetrating more and more into that time of
16 maximum growth.

17 Senator Gore: Now, my final question before turning to
18 Senator Gorton is -- well, one note. You mentioned DNA. I
19 have heard it said that over the billions of years of
20 evolution DNA has evolved in a way that the radiation which
21 penetrates it harmfully is the radiation blocked by the ozone
22 layer, and I believe Sherwood Rowland said this, and that for
23 this reason the UV that is coming in extra amounts as the
24 ozone layer is depleted does have an effect on DNA.

25 You said that a suppression of phytoplankton productivity

1 would ripple through the food chain. Is that a linear or
2 arithmetic rippling effect, or is there some non-linear effect
3 that we do not know about?

4 Well, that is a hard question to ask. Is there likely to
5 be a non-linear effect, in your best judgment?

6 Dr. Weiler: I would like to clarify. We do not know for
7 certain, but our best guess is that there will be changes
8 because of the differential sensitivity. It is likely that
9 these effects may be non-linear simply because there are so
10 many confounding species.

11 You have got the producers and some consumers are
12 differentially sensitive to those, and then you have got
13 secondary producers that are selective on some of the primary
14 consumers. So we will see impacts, but we cannot predict how
15 much that will happen.

16 Senator Gore: We will turn to Senator Gorton.

17 Senator Gorton: I am not going to ask any questions, so
18 let us go on to the next one.

19 Senator Lott: Mr. Chairman, I do have a statement I
20 would like to submit for the record.

21 Senator Gore: Sure.

22 [The prepared statement of Senator Lott follows:]

23 [COMMITTEE INSERT]

24

25

1 Senator Lott: And depending on what happens with this
2 vote and the subsequent votes, I do have some questions
3 specifically for Dr. Watson to put in the record, if you could
4 respond in writing.

5 Senator Gore: Sure, absolutely. We want to encourage
6 that. I appreciate that.

7 I took Dr. Weiler out of order and I apologize for doing
8 this out of order.

9 Senator Gorton: Thank you. I thank you for that.

10 Senator Gore: Let us move on. Dr. Stephen Andersen is
11 Director for the Technology Transfer and Industry Program at
12 the EPA and is a Co-Chair, as I said earlier, of that third
13 part of the UN assessment panel.

14

15

16

17

18

19

20

21

22

23

24

25

1 STATEMENT OF DR. STEPHEN ANDERSEN, DIRECTOR, TECHNOLOGY
2 TRANSFER AND INDUSTRY PROGRAM, U.S. ENVIRONMENTAL PROTECTION
3 AGENCY, WASHINGTON, D.C.

4 Dr. Andersen: Thank you, Senator Gore, Senator Gorton,
5 and Senator Lott.

6 The 1991 UNEP technical and economic assessment was
7 undertaken by over 240 experts from 48 countries, and they
8 produced six separate reports that are not only quite large,
9 but also quite detailed, and I think their findings are
10 impressive.

11 The assessment will not be final until December of this
12 year, but I am able to report consensus findings and
13 conclusions. I will report to you on the progress at
14 eliminating these chemicals, about the technical feasibility
15 of a faster phaseout, and some of the special circumstances of
16 developing countries and their phaseout.

17 Since 1986 there has been a 40 percent worldwide decrease
18 in the consumption and production of CFC's. This is faster
19 than predicted by the 1989 assessment and it is faster than
20 the controls of the Montreal Protocol as amended in London.
21 At that rate of progress, we will meet the 1995 Montreal
22 Protocol goal next year.

23 It is also impressive to look at the work that has been
24 part of this progress. Already by the end of this year,
25 several multinational companies will eliminate their use of

ALDERSON REPORTING COMPANY, INC.
1111 FOURTEENTH STREET, N.W.
SUITE 400
WASHINGTON, D.C. 20005
(202) 289-2260
(800) FOR DEPO

1 ozone-depleting solvents in all of their worldwide operations.
2 By next year, the first automobiles will have ozone-safe air
3 conditioners and the first domestic refrigerators will be
4 commercially available. Many other ozone-safe technologies
5 are fully commercial.

6 We also had progress in the area of fire protection. The
7 international fire protection community has virtually halted
8 the emissions caused by discharge testing, training, and
9 accidental discharges.

10 The second point I would like to make is that, based on
11 this elaborate evaluation, we have concluded that it is
12 technically feasible in developed countries to phase out the
13 production of CFC's and halons by 1997 or earlier, methyl
14 chloroform as early as 1995, and carbon tetrachloride in the
15 vast majority of its applications by 1995.

16 These rapid schedules require the use of transitional
17 HCFC's and HFC's for the important applications of
18 refrigeration, air conditioning, insulating foam, and for some
19 aerosol, sterilization and minor solvent uses. Toxicity
20 studies and risk assessments are not yet complete for some of
21 these important transitional substances.

22 The halon phaseout is contingent on the use of recycled
23 halons as the primary supply, and I think it is a real credit
24 to the fire protection industry that what was first considered
25 in 1987 as essential for all applications we now are finding

ALDERSON REPORTING COMPANY, INC.
1111 FOURTEENTH STREET, N.W.
SUITE 400
WASHINGTON, D.C. 20005
(202) 289-2260
(800) FOR DEPO

1 that an adequate supply will carry essentially fire protection
2 uses well into the next century.

3 The final important technical conclusions of the
4 technical and economic assessment is that there are efforts
5 that can be made in the developing countries to accelerate
6 their phaseout. It is important to developing countries that
7 the technologies are available, that technical assistance,
8 including information and training, be provided, and that
9 there be an accelerated effort at financing.

10 There has also been some impressive progress in the
11 developing countries and I will just cite one, which is the
12 case of Mexico, that has announced to the world that they will
13 make it a goal to phase out the ozone-depleting substances on
14 the same schedule as the developed countries if adequate
15 financing is available.

16 The final point I would make is that part of this great
17 progress with developing countries is due to some innovative
18 partnerships with multinational corporations and associations,
19 and I think we can look forward to more progress if that
20 cooperation continues.

21 Thank you.

22 [The prepared statement of Dr. Andersen follows:]

23

24

25

1 Senator Gore: I think it ought to be noted for the
2 record that Mexico was also the first nation to sign the
3 Montreal Protocol.

4 I wanted to make one point before we go to vote. Wearing
5 your EPA hat, section 606 of the Clean Air Act, the
6 anniversary of the signing of which is today, legally requires
7 the President through his agent, the head of the EPA, to
8 accelerate the phaseout schedule to bring the deadline from
9 the year 2000 much nearer to the present time.

10 You have said in your statement that it is
11 technologically feasible to do that. The requirement I refer
12 to is contingent upon the availability of new scientific
13 information which says the health and environmental impacts
14 are more serious than thought when the act was passed.

15 Since the act was signed, we had the April report and we
16 had the report of October 22nd. Is EPA recommending that this
17 provision of the law be triggered and that faster phaseout be
18 implemented?

19 Dr. Andersen: Senator Gore, I am here to only represent
20 the technical and economic assessment, and I cannot represent
21 the position of EPA.

22 Senator Gore: All right. I will come back to that and
23 try to get it out of you another way.

24 [Laughter.]

25 Senator Gore: That is why I wanted to mention it before

1 my colleagues left, to give fair warning on that one.

2 We are going to have a short recess for this vote. I
3 anticipate it will take about 8 minutes to run over and back,
4 so we will crank it back up then.

5 [Recess.]

6 Senator Gore: The session will come back to order.

7 Let me follow up briefly on a question, while Dr. Kripke
8 is returning, with you, Dr. Weiler. I wanted to clarify this
9 business about productivity in the oceans. Just to make sure
10 that I have a clear understanding of this business of the food
11 chain, phytoplankton are these very small critters, plants.

12 Dr. Weiler: Right, single-cell organisms.

13 Senator Gore: Single-cell organisms in the top layer of
14 the ocean, that bigger critters feed on, and then critters
15 that are bigger still feed on them, and so on up the food
16 chain. That is a simplistic way of putting it, but is that
17 pretty accurate?

18 Dr. Weiler: That is correct, they are the base of the
19 food chain, just like grasses are for cows.

20 Senator Gore: Therefore, if you have a 14 percent or at
21 the surface 20 percent, overall a 6 to 14 percent, decrease in
22 the amount of basic food available at the bottom of the food
23 chain, that would mean probably a decrease in the amount of
24 food available all the way up the food chain, including the
25 bigger fish that provide part of the human diet, correct?

1 Dr. Weiler: That is correct. If the effect is linear,
2 you would expect about that same amount at each of the trophic
3 levels reduction.

4 Senator Gore: But it may be worse than that?

5 Dr. Weiler: That is correct.

6 Senator Gore: Because at higher levels in the food chain
7 any interruption in the mix of species might have effects that
8 are difficult to predict, that would have as a consequence
9 more loss of food at that level of the chain, correct?

10 Dr. Weiler: That is correct, and there are a couple of
11 reasons for this. One is that there is such a tremendous
12 range in the size of the phytoplankton cells. Even though
13 they are all single-celled organisms or virtually all, the
14 size variation can be a couple of orders of magnitude.

15 So you have organisms that are better adapted to feeding
16 on a particular size class. And then in addition to that,
17 there are changes in the nutritional value of cells. So they
18 are not all -- a single cell of a diatom is not the same in
19 terms of food as a single cell of a dinoflagellate or a blue-
20 green algae or whatever it might be.

21 It is really hard, again. We know that the species vary
22 in their sensitivity, but we cannot yet predict and say, okay,
23 if you increased UVB radiation by such and such, the long-
24 term effects would be that you would get more of this species
25 and less of that.

1 Then even if you did not change the species composition,
2 UV does not just alter primary production. It also influences
3 things like protein content, dry weight of cells, et cetera,
4 et cetera, and cells tend to develop more protein or less.
5 Those effects on nutritional status, even if everything else
6 stayed the same, would have some sort of an effect.

7 So it presumably is non-linear, but we do not know in
8 what way it will change.

9 Senator Gore: I hope we will have a chance to discuss
10 that further also, and we will hear from another witness about
11 the impact, not on the oceanic food chain, but on the
12 terrestrial food chain.

13 Now, however, I would like to turn to Dr. Margaret
14 Kripke, Chairperson of the Department of Immunology at the
15 M.D. Anderson Cancer Center at the University of Texas in
16 Houston. I have heard of Dr. Kripke's work for many years
17 now, and with the report of this impact assessment panel the
18 world is beginning to pay more attention to the effects of
19 extra UV radiation on the human immune system.

20 I think it is fair to say that Dr. Kripke is one of, if
21 not the world's leading expert, on this subject, and we are
22 very anxious to hear what you have to say, Dr. Kripke.

23

24

25

1 STATEMENT OF DR. MARGARET L. KRIPKE, CHAIRPERSON,
2 DEPARTMENT OF IMMUNOLOGY, M.D. ANDERSON CANCER CENTER,
3 UNIVERSITY OF TEXAS, HOUSTON, TEXAS

4 Dr. Kripke: Thank you very much, Senator Gore. I very
5 much appreciate the opportunity to be here to make some
6 comments on this topic.

7 My interest in this topic comes from research that we
8 have carried out in the laboratory over about the last 20
9 years. We started out to investigate the immunology of skin
10 cancers and have ended up investigating the immunological
11 effects of ultraviolet radiation.

12 So at this point what can we actually say about the
13 immunological effects of ultraviolet radiation? Well, from
14 animal models we can say unequivocally at this point, based on
15 evidence from many laboratories, that ultraviolet radiation
16 interferes with certain immunological functions. These
17 include a decreased resistance against skin cancers, including
18 melanoma, decreased activity of the skin's immune system,
19 decrease of some systemic immune responses, and a decrease in
20 the ability of some of the white blood cells to take up
21 foreign particles, including bacteria.

22 The second thing that we can conclude from the animal
23 studies is that recent studies have demonstrated that these
24 immunological effects of ultraviolet light can have an
25 influence on the course of some infectious diseases.

ALDERSON REPORTING COMPANY, INC.
1111 FOURTEENTH STREET, N.W.
SUITE 400
WASHINGTON, D.C. 20005
(202) 289-2260
(800) FOR DEPO

1 Specifically, there is decreased immunity to herpes simplex
2 virus, to Leishmania parasites, to microbacteria, to candida
3 albicans, and increases in the severity of infections with
4 these particular agents.

5 For humans we also know that immune cells in the skin are
6 damaged by exposure to ultraviolet light. We know that the
7 proportions of white blood cells in the circulation are
8 changed after exposures to sunlight or to tanning salon
9 lights.

10 We know that a cell-mediated immune reaction initiated in
11 the skin called contact allergy is decreased after ultraviolet
12 radiation of the skin. There are recent studies by Dr. Wayne
13 Streilein and his colleagues at the University of Miami in
14 humans that I think have provided the most important recent
15 findings in this area.

16 This group has found that the sensitivity of humans to
17 immune suppression by ultraviolet light is genetically
18 controlled, and this means that some people are more sensitive
19 to immune suppression by ultraviolet light than others. They
20 have also shown that people who are very sensitive to the
21 immunological effects of ultraviolet light are more likely to
22 develop skin cancer.

23 So it appears that immune suppression is an additional
24 risk factor in light-skinned populations for the development
25 of cancers of the skin.

1 The third point, and an extremely important one, is that
2 the amount of pigmentation present in the skin is not
3 protective against immunological damage. This is rather
4 surprising because we know that pigmentation protects against
5 sunburn and it protects against skin cancer. But apparently
6 it does not provide any protection against immunological
7 damage from ultraviolet light.

8 So we know that ultraviolet light affects the immune
9 responses in humans. We know that the population at risk of
10 such alterations is very large and is not limited to
11 Caucasians who are at risk for skin cancer.

12 What we do not know is the significance of these findings
13 for infectious diseases in humans. With the possible
14 exception of herpes simplex virus, we have no information on
15 the effects of ultraviolet radiation on infectious disease
16 processes.

17 Another important point is that we do not know if
18 sunscreens which protect against sunburn also protect against
19 immunological damage, and we need to know the answer to that
20 question as well.

21 I would like to conclude by leaving you with three
22 questions to think about. First of all, the immune system is
23 the body's main defense mechanism against infectious diseases.
24 It is what keeps us healthy. And if you want an illustration
25 of what it does for you, all you have to do is look at people

1 who do not have an immune system, people with AIDS, people
2 with combined immunodeficiency diseases. These people are at
3 lethal risk of death from infectious diseases. So anything
4 that perturbs this immune system, our body's defense
5 mechanism, constitutes a serious threat to the maintenance of
6 health.

7 The second point is that there are two major public
8 health problems in the world. These are inadequate nutrition
9 and infectious diseases. These are the real health problems
10 worldwide, and anything that exacerbates these two problems
11 are likely to have a devastating effect on human health.
12 Ultraviolet radiation has the potential to adversely affect
13 both of these things by affecting plants and by affecting the
14 immune system.

15 Finally, a point that you raised earlier, Senator Gore,
16 is that we are not in a position at the present time to
17 evaluate the health effects of ozone depletion. We do not
18 have enough information. In my opinion we do not have enough
19 information because there has been a lack of sustained
20 commitment to funding of research on effects in this area, and
21 I was very happy to hear you make that point earlier.

22 We will never be able to make predictions in this area
23 unless we spend the money to get the answers, and these are
24 long and hard and difficult questions.

25 Thank you very much.

1 [The prepared statement of Dr. Kripke follows:]
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

ALDERSON REPORTING COMPANY, INC.
1111 FOURTEENTH STREET, N.W.
SUITE 400
WASHINGTON, D.C. 20005
(202) 289-2260
(800) FOR DEPO

1 Senator Gore: Correct. Just to interject a question
2 here. There is another class of diseases that are affected by
3 a suppression, or potentially affected by a suppression of the
4 immune system. There are all of those diseases which could be
5 more of a threat because the body's ability to fight them off
6 is suppressed.

7 There is also a whole category of diseases which are
8 characterized by the immune system attacking healthy cells, or
9 malfunctioning in some other way.

10 Lupus would be an example of that. Epstein-Barr would be
11 an example of that, and chronic fatigue syndrome. Graves'
12 Disease would be an example of that latter category.

13 I asked the staff to check, and it looks as if all of the
14 diseases in that latter category have been increasing in their
15 occurrence fairly significantly in the last two decades. Is
16 that correct?

17 Dr. Kripke: That is correct.

18 Senator Gore: What category would a disease like
19 arthritis be in. Is that related to the immune system more
20 directly?

21 Dr. Kripke: Rheumatoid arthritis is thought to be an
22 immunological disease. The pathogenesis of the disease is
23 related to the body's immune reaction.

24 Senator Gore: So that would be in that category also?

25 Dr. Kripke: Yes, that is possible.

1 Senator Gore: According to the National Center for
2 Health Statistics, in 1969, 92.9 out of 1,000 Americans
3 suffered from arthritis. 20 years later, the number had
4 jumped to 126.5, a 36 percent increase in only 20 years. And,
5 to show that it is not a reflection of the aging of the
6 American population, for people between the ages of 18 and 34,
7 there was a 78 percent increase in the number of cases.

8 Just to take arthritis as an example, is it reasonable to
9 express the concern that increased dosage of UV-B radiation
10 could be implicated in the increasing frequency of a disease
11 involving the malfunction of the autoimmune system?

12 Dr. Kripke: It is possible, but that would be widely
13 speculative at the present time. I know of no information
14 that links ultraviolet light effects on the immune system with
15 the occurrence of arthritis or other autoimmune diseases. The
16 only known link is the ability of ultraviolet light to trigger
17 lupus erythematosus, and the mechanism of that is not known.

18 Senator Gore: Now, let us take that one then. I asked
19 the earlier question because it is troubling that there would
20 be an across-the-board increase, and a fairly dramatic
21 increase, in the incidence of all of these diseases that come
22 as a result of a malfunctioning immune system.

23 You say that, in the case of lupus, there is a known link
24 between exposure to ultraviolet radiation and the onset of
25 lupus, correct?

1 Dr. Kripke: That is correct.

2 Senator Gore: Do you think that it is reasonable to say
3 -- I do not know quite how to express the question; you know
4 what I am getting at --

5 Dr. Kripke: I know what you are getting at, and let me
6 put it in terms that I am comfortable with.

7 Senator Gore: Yes.

8 Dr. Kripke: Is this something that should be looked at?
9 There is no information. We cannot speculate on whether
10 ultraviolet light is important here or not, because no one has
11 really asked that question in a scientific way.

12 So the information does not exist. I would also point
13 out that one of the great increases in cancer at the present
14 time is also in some of the lymphomas, particularly non-
15 Hodgkins lymphoma; which, again, is immunologic; the cancer of
16 the immune system, which is increasing in the population for
17 no apparent reason.

18 Senator Gore: Now, you mentioned herpes. There, too,
19 you feel there is a definite link to exposure to ultraviolet
20 radiation and the onset of herpes in some patients?

21 Dr. Kripke: It is very well established, from the
22 medical literature, that ultraviolet radiation -- sunburns --
23 will trigger herpes simplex virus infections of the oral
24 cavity.

25 These kinds of experiments have also been done on

1 experimental animals as well; and in the animal models, it has
2 been fairly well documented that at least some of the effects
3 of ultraviolet radiation on the viral disease are mediated by
4 the effect of ultraviolet light on the immune system.

5 So here, we have a link between ultraviolet light,
6 immuno-suppression, and an infectious disease process.

7 Senator Gore: Now, drawing back your focus a little bit
8 to look at the epidemiology of this category of diseases, in
9 assessing the impacts of extra ultraviolet radiation --
10 ultraviolet B radiation -- should we include, in our
11 assessment of the likely impacts, a probable increase in the
12 rate of spread of diseases in this category?

13 Dr. Kripke: In which category?

14 Senator Gore: Those diseases that are intimately related
15 to the efficient functioning of the immune system.

16 Dr. Kripke: I know you would like me to say yes to that
17 question --

18 [Laughter.]

19 Senator Gore: No, seriously. Let me clarify the
20 question. I really do not want you to say yes, if you do not
21 feel the answer is yes, seriously.

22 Dr. Kripke: Senator Gore, if there is no information,
23 you cannot get an answer. It is as simple as that.

24 Senator Gore: Okay. All right. Well, I appreciate your
25 response. We will come back to this as well.

1 I want to now turn it to Dr. Alan Teramura, professor,
2 and chair, of the Department of Botany at the University of
3 Maryland in nearby College Park. Dr. Teramura was also a
4 member of the Impacts assessment panel. We are interested in
5 hearing from you.

6

7

8

9

10

11

12

13

14

15

16

17

18

19

20

21

22

23

24

25

ALDERSON REPORTING COMPANY, INC.
1111 FOURTEENTH STREET, N.W.
SUITE 400
WASHINGTON, D.C. 20005
(202) 289-2260
(800) FOR DEPO

1 STATEMENT OF ALAN H. TERAMURA, PROFESSOR AND CHAIRMAN,
2 DEPARTMENT OF BOTANY, UNIVERSITY OF MARYLAND, COLLEGE PARK,
3 MARYLAND

4 Dr. Teramura: Thank you very much, Senator Gore, for the
5 opportunity to participate in this rectangle table. My
6 training has been in stress physiology. And over the past 15
7 years, I have been examining the effects of increased levels
8 of solar, ultraviolet radiation on crop plants, on forest tree
9 species, as well as on native plants.

10 And so what I want to do in the next 2 or 3 minutes here
11 is try and encapsulate some of the conclusions from these
12 studies, as well as studies from others.

13 We know that a large number of agricultural crop plants
14 and forest tree species and native plants, may be negatively
15 impacted by increases in ultraviolet radiation. Some of these
16 plants are directly affected by increases in ultraviolet
17 radiation. Others will be indirectly affected, because
18 exposure to ultraviolet radiation can alter these plants
19 interactions with other plants, as well as alter their
20 capacity to be able to defend themselves from disease or from
21 insect attack. So both a direct, as well as an indirect
22 affect on plants seems to be the case.

23 Because of extremely small number of studies which have
24 specifically examined UV effects on crop yields, it is
25 presently not possible for us to make any sort of

ALDERSON REPORTING COMPANY, INC.
1111 FOURTEENTH STREET, N.W.
SUITE 400
WASHINGTON, D.C. 20005
(202) 289-2260
(800) FOR DEPO

1 comprehensive, quantitative assessments of crop losses due to
2 increases in solar, ultraviolet radiation.

3 Furthermore, due to the limited number of on-going
4 studies -- I know of only two or three studies world-wide,
5 using realistic, UV supplements, I do not believe that we will
6 be any closer to a quantitative assessment in the near future.

7 However, we do have experimental data to show that yield
8 in some specific crops, such as Essex soybean, which happens
9 to be the most important -- economically-speaking -- the most
10 important soybean for the mid-Atlantic States, will be
11 decreased with increases in solar, ultraviolet radiation. We
12 also have specific examples for decreases in production for
13 Loblolly pine, which is probably the most important commercial
14 tree species for your State, Tennessee, as well as
15 Mississippi.

16 Due to the presence of genetic adaptations in our modern
17 crop plants, an optimist would say that plant breeders may be
18 able to help us by utilizing these beneficial, genetic
19 adaptations and breed for more UV-resistant crops in the
20 future. So that would be an optimist.

21 Those that are a pessimist, however, would point out that
22 we currently do not know enough about these UV-resistant crops
23 to know whether they might not carry some genetic baggage in
24 the sense of being less disease-resistant, and perhaps require
25 a higher water or fertilization applications. So there are

1 some down sides to breeding, as well.

2 Whereas breeding may help minimize the impacts -- the
3 negative impacts on crop plants, breeding is not an option for
4 natural plant communities, and is probably not a very good
5 option for forest tree species.

6 Long-lived tree species tend to accumulate the damaging
7 effects of ultraviolet radiation over many years. And it is
8 because of this long, life-span, and the length of time
9 required for breeding, that we think that forest tree species
10 and forests, in general, may be particularly vulnerable to
11 increases in ultraviolet radiation.

12 Due to differences in species sensitivity that was
13 mentioned earlier, both in native plants as well as in forest
14 tree species, that means that more resistant species are going
15 to replace more sensitive species to UV radiation, resulting
16 ultimately then in changes in bio-diversity of forests, as
17 well as natural ecosystems.

18 Since other climatic changes, such as CO2 and global
19 warming will occur simultaneously with increases in
20 ultraviolet radiation, it is logical to be concerned how
21 plants will respond to that combination.

22 CO2 generally increases plant growth, and UV radiation
23 generally decreases it in the most simple case.

24 Senator Gore: Just to interject on that point -- when
25 you say CO2 increases plant growth, the limiting factor in

1 plant growth is the availability of nutrients and sunlight,
2 correct?

3 Dr. Teramura: In many cases, it is. But we know that if
4 you increase CO2 for most plants, that their growth will
5 increase, as well, if they have enough nutrients and water.

6 Senator Gore: Okay, but if the nutrient loading, if the
7 availability of nutrients is kept at its present limit, and
8 CO2 is increased, then the studies indicate that what?

9 Dr. Teramura: It always happens that the most limiting
10 factor, then, will limit the growth of the plant. And if it
11 happens to be water or nutrients, then that will limit growth
12 before CO2.

13 Senator Gore: This is an aside, or a
14 parenthetical -- but I am informed that laboratory experiments
15 which purport to show that extra CO2 stimulates plant growth
16 are ones that have accommodated the plants by supplying lots
17 of extra nutrients at the same time.

18 Dr. Teramura: That is right.

19 Senator Gore: And therefore, if in the wild, or in the
20 forest the availability of nutrients is not increased, and
21 there is a little more CO2 in the are, you are not going to
22 get extra plant growth there.

23 Dr. Teramura: That is correct.

24 Senator Gore: Go ahead.

25 Dr. Teramura: But just assuming that, all else being

1 equal, that if CO2 does increase growth, in general, UV
2 decreases plant growth. And that leads, then, people to make
3 the assumption that one, CO2 will cancel out the effects of
4 ultraviolet radiation.

5 Senator Gore: So even if you had more nutrients to go
6 with the extra CO2, the additional growth might well be
7 cancelled out by the impact of the extra UV ratings.

8 Dr. Teramura: That is what the common assumption is.
9 The point I would like to make is that this is not always
10 borne out by experimental evidence. The evidence seems to
11 suggest that under dual stresses, plants are even more
12 adversely affected than under a single stress. So the case
13 may actually be worse -- rather than just a cancelling out,
14 plants may actually do much worse.

15 And then the final point I would like to make is related
16 to some recent evidence coming from the Federal Republic of
17 German which seems to suggest that even in the absence of
18 further ozone depletion, some sensitive plants are already
19 sensitive to present-day ambient levels of ultraviolet light,
20 such as the garden bean.

21 [The prepared statement of Dr. Teramura follows:]
22
23
24
25

1 Senator Gore: Now thank you very much.

2 Just a brief follow up here -- is it true that soybeans
3 and rice are particularly susceptible to having their growth
4 rate and quality suppressed by extra UV radiation?

5 Dr. Teramura: They probably have the best information
6 for soybean than any other crop plant, or any plant in the
7 world. And in one field study examining soybean for a 6-year
8 period, a 25-percent ozone depletion was simulated during the
9 6-year period. The yield was reduced in soybean by 20 to 25
10 percent each year. That is quite a substantial loss.

11 Senator Gore: It is one for one.

12 Dr. Teramura: It is one for one.

13 What we do not know, however, is whether this can be then
14 extrapolated to all the soybeans grown throughout the United
15 States, as well as throughout the world.

16 Senator Gore: There may be some varieties that are less
17 susceptible.

18 Dr. Teramura: There are some varieties that are less
19 susceptible. We know that. And so what we need to -- what we
20 do not know, however, is how all soybeans can respond, and
21 whether -- what the implications of breeding for this
22 additional UV resistance may be.

23 In rice, we have more limited preliminary information.
24 It seems as though maybe on the order of 200 different
25 cultivars of rice have now been screened for UV sensitivity.

1. And between 1/2 and 1/3 of all of those cultivars are very
2 sensitive to ultraviolet light.

3 The other side of the equation is a small proportion of
4 those rice cultivars, maybe 10-percent, seem to be very
5 resistant. Again, that suggests to us that possibly, we may
6 be able to breed around the problem. But we still really do
7 not know about some of the problems associated with breeding.

8 Senator Gore: Well also, if you are forced by extra UV
9 loading to focus on a narrow part of the spectrum of the
10 genetic diversity of rice, you are severely limited in your
11 ability to select traits from across the spectrum in order to
12 confer resistance characteristics against the rapidly evolving
13 pests and blights that they are constantly having to fight
14 off. Is that correct?

15 Dr. Teramura: That is correct. One way of getting
16 around that, is using some of the techniques of molecular
17 biology and biotechnology. Right now, some individuals have
18 been able, actually, to clone the genes that cause sun-tanning
19 in plants. And if you can take sun-tanning genes, and put
20 them into your modern, crop plants, you may be able to protect
21 them as well. But that is still -- it is not really science
22 fiction, but it is still quite distant.

23 Senator Gore: Well, it would not be wise or prudent to
24 pin our hopes for the future of the world's food supply on
25 that?

1 Dr. Teramura: Absolutely not. That is too much of a
2 risk, I think.

3 Senator Gore: And in any event, genes are found
4 predominantly still, for most food species, in the wild and in
5 the genetic homes, the Vavilovian centers, and the land races.
6 And if those are damaged by the extra UV radiation, then the
7 potential availability of genes of the sort you are looking to
8 clone is going to be sharply diminished.

9 Dr. Teramura: And furthermore, even if you assume that
10 the breeders, the scientists working with crop genetics can
11 improve crops for the future, against harmful UV effects, you
12 still are not going to be able to do anything for native
13 plants, nor will we have much impact for forest tree species.
14 Despite the fact that agricultural plants are certainly of
15 vast economic importance for humans, probably 80 percent of
16 all plant material really lies in forests. And we know very
17 little about effects of UV on forest tree species.

18 Senator Gore: Okay, I keep saying we are going to come
19 back to this stuff. This is all so interesting. But we are
20 going to move on here.

21 Dr. Ari Patrinos is Acting Director of the Environmental
22 Sciences Division with the Department of Energy. We are happy
23 to welcome you, Dr. Patrinos. The Department of Energy asked
24 to participate in this hearing a few days ago. And I think it
25 is not only appropriate, but extremely helpful to have the DOE

1 perspective here.

2 Please proceed.

3

4

5

6

7

8

9

10

11

12

13

14

15

16

17

18

19

20

21

22

23

24

25

ALDERSON REPORTING COMPANY, INC.
1111 FOURTEENTH STREET, N.W.
SUITE 400
WASHINGTON, D.C. 20005
(202) 289-2260
(800) FOR DEPO

1 STATEMENT OF DR. ARI PATRINOS, DIRECTOR OF THE
2 ENVIRONMENTAL SCIENCES DIVISION, OFFICE OF HEALTH AND
3 ENVIRONMENTAL RESEARCH, OFFICE OF ENERGY RESEARCH, U.S.
4 DEPARTMENT OF ENERGY

5 Dr. Patrinos: Thank you, Senator Gore.

6 I welcome the opportunity to respond to your invitation
7 to present the Department's views on stratospheric, ozone, and
8 its climate change implications.

9 As you may know, for over 12 years, we have been in the
10 business of global environmental change. And our current
11 focus is primarily on improving the capability to predict
12 global and regional climate change.

13 Our research, more directly related to stratospheric,
14 ozone depletion, involves primarily modeling stratospheric,
15 atmospheric chemical processes and their impacts on climate
16 change.

17 We also fund research for many years on the nature of
18 ultraviolet radiation, induced damage to DNA, and the repair
19 to that damage as a surrogate to studying damage from ionizing
20 radiation.

21 DOE is certainly concerned about the mounting evidence of
22 stratospheric ozone depletion caused by CFC's. The summary of
23 the unit report that we discussed this morning certainly
24 indicates a worsening situation. We believe that despite some
25 remaining scientific uncertainties, it is clear that action to

ALDERSON REPORTING COMPANY, INC.
1111 FOURTEENTH STREET, N.W.
SUITE 400
WASHINGTON, D.C. 20005
(202) 289-2260
(800) FOR DEPO