

Page 1

VIRGINIA:
IN THE CIRCUIT COURT OF FAIRFAX COUNTY

DENNIS MARK JEWETT, Personal
Representative of the Estate of
ERIC JUSTIN JEWETT, deceased,
Plaintiff,
Case No. 2020-0002561

v.
INOVA HEALTHCARE SERVICES, INC.,
d/b/a INOVA FAIRFAX HOSPITAL, et al.,
Defendants.

Thursday, November 10, 2022

REMOTE DEPOSITION OF:
MARK R. CARYL, D.O.,
the witness herein, was called for examination by
counsel on behalf of the defendants pursuant to
Notice of Videoconference Deposition, Rule 4:5 of
the Rules of the Supreme Court of Virginia, and
agreement as to time and date, scheduled to begin
at 10:00 a.m., before Donna L. Linton, RDR-CCR-CLR,
and Electronic Notary Public in and for the
Commonwealth of Virginia.

Page 2

1 APPEARANCES

2

3 ON BEHALF OF THE PLAINTIFF:

4 THE LAW OFFICE OF JOHN A. BLAZER

5 BY: JOHN A. BLAZER, ESQUIRE

6 3045 S. Buchanan Street

7 Arlington, VA 22206

8 PHONE: (703) 795-5000

9 E-MAIL: JohnBlazerLaw@gmail.com

10

11 ON BEHALF OF THE DEFENDANTS:

12 BLANKINGSHIP & KEITH, PC

13 BY: ANETA NIKOLIC, ESQUIRE

14 CYNTHIA L. SANTONI, ESQUIRE

15 4020 University Drive, 3rd Floor

16 Fairfax, Virginia 22030

17 PHONE: (703) 691-1235

18 E-MAIL: anikolic@bklawva.com

19 csantoni@bklawva.com

20

21 ALSO PRESENT:

22 Judy Kerby, Inova Representative

Page 3

1 I-N-D-E-X

2 WITNESS: PAGE:

3 MARK R. CARYL, D.O.

4 Examination by Ms. Nikolic 4

5

6

7

8 E-X-H-I-B-I-T-S

9 (Included with transcript.)

10 CARYL MD PAGE

11 1 Curriculum Vitae 91

12 2 Plf's Designation of Expert Witnesses 91

13

14

15

16

17

18

19

20

21

22

Page 4

1 P-R-O-C-E-E-D-I-N-G-S

2 (9:58 a.m.)

3 WHEREUPON,

4 MARK R. CARYL, D.O.,

5 the witness herein, was called for examination by

6 counsel on behalf of the defendants, and after

7 having been duly sworn by the notary public was

8 examined and testified as follows:

9 EXAMINATION BY COUNSEL ON BEHALF OF THE DEFENDANTS

10 BY MS. NIKOLIC:

11 Q. Good morning, Doctor. My name is Aneta

12 Nikolic. I am the attorney for INOVA and

13 Dr. Amatya in this matter.

14 A. Good morning.

15 Q. Could you please state your full name and

16 business address?

17 A. It's Mark Robert Caryl, and my business

18 address is 736 Irving Avenue, Syracuse, New York

19 13210.

20 Q. And have you been deposed before, Doctor?

21 A. I have not.

22 Q. Okay. So I'll just go over some brief

Page 5

1 ground rules.
2 I'm going to be asking you a series of
3 questions. If you do not understand a question,
4 please let me know. If you just want me to repeat
5 it, also please let me know. If the Zoom cuts out
6 and you just can't hear something, please do let me
7 know -- that does happen from time to time -- and I
8 would be happy to repeat or rephrase a question for
9 you. Okay?
10 A. Yup.
11 Q. If at any time you get paged or you need
12 to take a break or anything like that, just let me
13 know, and as long as there is no question pending,
14 we can go off the record and take a break. Okay?
15 A. Yup.
16 Q. So let me just start with some questions
17 about your background.
18 I received a copy of your CV, which is --
19 I don't know if you have it in front of you, but
20 the version I received is just a one-page Word
21 document version, and it has your name on top, and
22 then the very bottom of it ends with your

Page 7

1 you just give me a brief overview of your
2 educational background starting with medical
3 school?
4 A. Sure. So I went to medical school at the
5 New York College of Osteopathic Medicine. That's
6 in Old Westbury, New York, on Long Island, and that
7 was 2004 to 2008.
8 And then I went to residency in internal
9 medicine at the University of Connecticut. That's
10 in Farmington, Connecticut. It's about 15 miles
11 east of Hartford, Connecticut. And that was 2008
12 to 2011. And then -- that was for internal
13 medicine residency training. And then I started
14 working after that.
15 Q. Did you complete any fellowships or any
16 other additional training following your residency?
17 A. No.
18 Q. And I understand you are board certified
19 in internal medicine?
20 A. Yeah. I first got board certified in 2011
21 when I completed residency, then I recertified last
22 year.

Page 6

1 certification.
2 Is that the most correct and up-to-date
3 version of your CV?
4 A. Yes.
5 Q. No changes need to be made to that?
6 A. No.
7 MR. BLAZER: Aneta, I wrote Cindy earlier
8 today to advise that I had to retype that CV to
9 correct a formatting error and inadvertently
10 omitted the dates of his licensure and
11 certification. So the complete CV would have 2011,
12 I believe, as licensure and original certification
13 and recertification in 2021.
14 MS. NIKOLIC: Okay. Thank you. I can ask
15 the Doctor about that very briefly.
16 BY MS. NIKOLIC:
17 Q. What I wanted to check with you, Doctor,
18 is is this the only version of a CV that you have,
19 or do you have any type of longer version?
20 A. That's the only one.
21 Q. Okay. No problem. So I'm not going to
22 make you repeat everything verbatim to me, but can

Page 8

1 Q. Okay. Perfect. I think those were the
2 dates that counsel was referring to, so thank you.
3 And you are currently licensed in the
4 state of New York?
5 A. Yes.
6 Q. Are you licensed in any other state?
7 A. No.
8 Q. Have you ever been licensed in Virginia?
9 A. No.
10 Q. And, as I understand it, after you
11 finished your residency in 2011, you began working
12 at Crouse Hospital, which is still where you are
13 today; is that right?
14 A. That's correct.
15 Q. And you are a hospitalist at Crouse
16 Hospital?
17 A. Correct.
18 Q. Can you just briefly explain or describe
19 what it means to be a hospitalist?
20 A. Sure. So a hospitalist -- we take care of
21 admitted patients in the hospital. So, you know,
22 primary care doctors mostly don't go over to the

Page 9

1 hospital now to admit their patients, so when they
2 get sick enough to require hospitalization, we go
3 down into the ER; after talking to the ER doctor,
4 we evaluate them, we deem if they need admission or
5 not, and then if they do, we put in admission
6 orders.
7 We follow them throughout their
8 hospitalization, and then we arrange for discharge
9 medication prescription, you know, follow-up
10 appointments, and then we coordinate with
11 specialists in the hospital if they need that level
12 of, you know, care.
13 I'm an internist, so I only follow adult
14 patients, so I don't follow pediatric patients.
15 And that's basically what hospitalists do.
16 Q. Okay. So is it fair to say when you admit
17 a patient, you are the primary attending of record
18 for that patient during that admission?
19 A. Yes.
20 Q. Other than Crouse Hospital, do you
21 presently hold any other clinical positions or
22 practice anywhere else?

Page 11

1 A. No.
2 Q. Have you ever had any hospital privileges
3 revoked, suspended, or acted upon adversely?
4 A. No.
5 Q. Have you ever been named a defendant in a
6 medical malpractice lawsuit?
7 A. No.
8 Q. Prior to this case, have you ever served
9 as an expert witness in a medical malpractice case
10 before?
11 A. I have not.
12 Q. So this is your first time?
13 A. Correct.
14 Q. I know you testified earlier this is your
15 first deposition, so I take it you've never
16 testified at trial before as an expert?
17 A. I have not.
18 Q. Have you ever been deposed or testified at
19 trial as a treating physician?
20 A. No.
21 Q. How much do you charge for record reviews?
22 A. This is my first time, so I kind of go

Page 10

1 A. No.
2 Q. Do you hold any academic positions?
3 A. No.
4 Q. Do you hold any administrative positions
5 at the hospital?
6 A. I do not.
7 Q. Have you authored any peer-reviewed
8 publications?
9 A. No.
10 Q. Any other type of publication?
11 A. I've done, like, poster presentations in
12 residency at certain American College of
13 Physicians, like, local meetings, but nothing else
14 other than that.
15 Q. So nothing following residency?
16 A. Correct.
17 Q. Okay. Any of those poster presentations
18 happen to be relevant to the specific issues in
19 this case?
20 A. No.
21 Q. Have you ever had your medical license
22 revoked, suspended, or acted upon adversely?

Page 12

1 back and forth with, you know, Mr. Blazer about
2 what seems appropriate, you know.
3 Q. So let me ask it this way. How much are
4 you charging for this case for a record review?
5 A. So I believe I received 250 an hour for
6 the review of the chart and giving my opinion in
7 just written form, and then this deposition, I
8 believe \$500 an hour.
9 Q. Do you have a fee that you would charge
10 for a trial appearance?
11 A. No. This is my first trip to the rodeo,
12 so I'm not sure. I'll probably be back and forth
13 again about what seems appropriate.
14 Q. Okay. Are you able to estimate
15 approximately how many hours you've spent working
16 on this case?
17 A. Probably five or so. Four or five.
18 Q. Do you know how Mr. Blazer came to contact
19 you or how he found your name?
20 A. My wife knows his children.
21 Q. Is that socially or professionally?
22 A. Socially.

Page 13

1 Q. When were you first contacted by
2 Mr. Blazer for this case?
3 A. I think late summer of this year.
4 Q. So late summer of 2022?
5 A. Correct.
6 Q. Had you met Mr. Blazer before, prior to
7 this case?
8 A. I had not, no.
9 Q. Do you recall how you were initially
10 contacted, whether it was by telephone, e-mail,
11 letter?
12 A. I think it was -- I believe it was through
13 e-mail.
14 Q. And do you recall when you were initially
15 contacted just generally what you were asked to do
16 or what your role would be?
17 A. I was asked to give a medical opinion on
18 the case.
19 Q. Can you list for me all of the materials
20 that you have reviewed in conjunction with this
21 case?
22 A. Yeah. I got a copy of the medical record

Page 15

1 received a medical record from August of 2016; just
2 to confirm, that's from INOVA Fairfax Hospital?
3 A. Correct.
4 Q. Other than that particular medical chart,
5 did you receive any other medical records that you
6 reviewed?
7 A. I don't believe so. I don't believe so,
8 no.
9 Q. And are you relying on all of the
10 documents that you just told me that you've
11 reviewed in formulating your opinions today?
12 A. Yes.
13 Q. Did you receive, at any point prior to
14 your review, any type of factual summary or
15 description from Mr. Blazer?
16 A. I received, you know, the Complaint that
17 was filed initially in the case with -- I think it
18 was Dennis Jewett, the patient-who-passed-away's
19 father. And then -- I don't believe so, no. We
20 might have sent, like, one or two e-mails about
21 roughly what the case, you know, was and the
22 timeframe, but nothing else substantial, I don't

Page 14

1 from Mr. Jewett's hospitalization in late August of
2 2016, and then I got a copy of the death
3 certificate, the toxicology report, and the police
4 report, and the declaration of expert witnesses and
5 some questions with them. I reviewed the
6 deposition of Dr. Amatya.
7 Q. Anything else you can think of?
8 A. And then I also got a list of the
9 prescription drug monitoring program for previous
10 prescribed medications, I think it was of the last
11 year or so, in Virginia for this gentleman, the
12 deceased.
13 Q. Anything else?
14 A. That's all I can think of off the top of
15 my head.
16 Q. Other than Dr. Amatya, did you review any
17 other deposition transcripts?
18 A. I got a copy of Dr. Dombrowski's
19 deposition as well.
20 Q. And did you review that?
21 A. Yes.
22 Q. I just want to make sure, you said you

Page 16

1 think so.
2 Q. Did you create any notes in conjunction
3 with your review of this case?
4 A. I have, you know, one page of just
5 scribble basically just summarizing the medical
6 chart, pretty much.
7 Q. Okay. And are those notes only regarding
8 the medical chart, or are they referencing any of
9 the other documents that you mentioned for me?
10 A. I think just the medical chart.
11 Q. And I think you said they were sort of
12 summarizing the things that you read in the medical
13 chart?
14 A. Yeah.
15 Q. Did you review any medical literature in
16 order to formulate your opinions in this case?
17 A. No. It was past experiences and
18 conferences.
19 Q. Do you intend to rely on any medical
20 literature at trial in support of your opinions?
21 A. There was one short, brief article on pain
22 management, and there was one summary of an Opiate

Page 17

1 Withdrawal Scale that I sent to Mr. Blazer. We're
2 not sure it's going to be relevant to this case or
3 not, but I did look through those briefly.
4 Q. And those are two separate publications?
5 A. Yeah. One of them is called a Clinical
6 Opiate Withdrawal Scale, or a COWS scale. The
7 other one was just a pain management review article
8 from New England Journal. But, again, Mr. Blazer
9 and I were going to decide if it's relevant to this
10 case or not.
11 Q. Do you recall what the second article was
12 called or who the author was?
13 A. It was an article on opiate use disorder.
14 It was put out -- there's a training module called
15 "Knowledge Plus" from the New England Journal of
16 Medicine. It's just on pain management and
17 opioids. It was an article.
18 Q. Do you happen to have that in front of
19 you?
20 A. Yeah.
21 Q. Okay. I can see you looking down, so I
22 just wanted to see. Okay.

Page 19

1 entitled to go today. He's identified them for
2 you; you're free to find them yourself.
3 MS. NIKOLIC: Sure. Okay.
4 BY MS. NIKOLIC:
5 Q. Let me ask you this, Doctor. For purposes
6 of the opinions that you've been designated to
7 testify about that I'll be asking you about today,
8 are you going to be relying on those two
9 publications?
10 A. No. I expressed my opinion on this,
11 before I read those articles, to Mr. Blazer, and
12 those kind of support my stand on it.
13 Q. Understood. Thank you.
14 Have you been told of the trial date in
15 this case?
16 A. I've given -- I've been given the month
17 and the year. I believe January of 2023 is what I
18 was told.
19 Q. Are you holding a particular day for
20 trial?
21 A. I'm going to defer that to Mr. Blazer and
22 Mrs. Santoni. No.

Page 18

1 And what year was that from?
2 A. That's from this year.
3 Q. Published in 2022?
4 A. Correct.
5 Q. What about the clinical withdrawal scale?
6 What year is that from?
7 A. I think it's from 2013, but let me just
8 double-check.
9 Oh, that's from 2003, actually.
10 Q. 2003?
11 A. Yeah.
12 Q. Do you intend to rely on either of those
13 publications at trial in support of your opinions?
14 MR. BLAZER: Well, Aneta, that's a
15 decision that will be made jointly by me and
16 Dr. Caryl, and if the articles are deemed relevant
17 and reliable by us, we will so designate them
18 consistent with the statutory timetable and provide
19 you with a copy.
20 But as he has explained, I have not yet
21 reviewed them, and we have not discussed them, so I
22 think realistically that's as far as you're

Page 20

1 Q. I was just wondering whether you had, for
2 example, something blocked off on your calendar in
3 order to travel for trial?
4 A. No.
5 Q. Let me ask you -- I'm going to move now to
6 your expert designation, which is a document filed
7 by counsel. It's entitled "Plaintiff's Designation
8 of Expert Witnesses."
9 Do you by any chance have that in front of
10 you or able to access it?
11 A. I don't have it in front of me. I did
12 copy off a blurb from Kevin Schneider about the
13 toxicology interpretation. The rest of it I think
14 I didn't print off. I can pull it up on my
15 computer.
16 Q. You're free to do so. I'm going to ask
17 you -- my questions are going to be based off of
18 the designation. I'm going to try to do my best to
19 sort of go in order.
20 A. Okay.
21 Q. If at any time you want to look at
22 something, at a specific page, please feel free to

Page 21

1 pull it up on your computer.
2 A. Sure.
3 Q. And that document, do you recall that that
4 document contains sort of a factual summary of the
5 beginning and then it's followed by the designation
6 of multiple health care providers?
7 A. Yes.
8 Q. So at the very beginning, it's entitled
9 "Summary of Events for Eric Justin Jewett"
10 regarding the INOVA Fairfax August 2016 admission
11 at the very beginning of the "Plaintiff's
12 Designation of Expert Witnesses" that is about 10,
13 11 pages long.
14 Do you recall reviewing that as a part of
15 the document?
16 A. I believe so, yes.
17 Q. Did you have any part in writing or
18 revising any of that factual summary in the
19 document?
20 A. I don't believe I did.
21 Q. And then just for the record and for your
22 information, in case you decide to pull it up, your

Page 23

1 A. I don't believe so.
2 Q. So as I mentioned, I'm going to work my
3 way through this designation. I'm going to do my
4 best to kind of go in order as the way that it's
5 written, but I might jump around a little bit.
6 Again, if at any time you want me to point
7 out exactly what page I'm on or what part I'm on,
8 please let me know; I'm happy to do so. Okay?
9 A. Yup.
10 Q. Okay. So as I understand from your
11 written designation, you will be testifying as to
12 standard of care and causation in this case; is
13 that correct?
14 A. Yes.
15 Q. And you will be testifying as to the
16 standard of care for Dr. Amatya?
17 A. Yes.
18 Q. How do you define standard of care?
19 A. Standard of care is acceptable clinical
20 practice for a physician for -- it can be applied
21 to other fields, I guess, but for me it would be
22 the medical field.

Page 22

1 designation starts on page 17 of that document, and
2 it goes through page 20, so it's a little bit over
3 three pages long.
4 Does that comport with your recollection?
5 A. Yeah, that sounds right.
6 Q. And in terms of the designation for you
7 and your opinions, is that something that you wrote
8 or participated in revising?
9 A. Yes.
10 Q. Can you just briefly explain to me, did
11 you personally write portions of this, or did you
12 receive written information and then you reviewed
13 it and you made revisions or suggestions?
14 A. I believe I wrote just about all of it,
15 and I think Mr. Blazer might have edited a word or
16 two here or there.
17 Q. Does this designation accurately and
18 completely describe your opinions in this case?
19 A. It does.
20 Q. Is there anything else you believe you
21 need to review in order to finalize your opinions
22 in this case?

Page 24

1 Q. So I'm on page 17 right now. The
2 designation states -- one part of it states that
3 the history of depression with previous suicidal
4 ideation with pain complaints out of proportion to
5 exam and imaging findings should have prompted the
6 consideration of a psychiatric consultation while
7 hospitalized.
8 Is that an opinion that you hold?
9 A. Yes.
10 Q. And what is the basis for that opinion?
11 A. So some patients have complaints where
12 they appear to have some kind of secondary gain for
13 them, so occasionally patients will come to the
14 hospital and say they have pain because they like
15 the euphoria they get from certain substances. It
16 could be a narcotic. It could be sometimes IV
17 Benadryl.
18 But this patient appeared to have
19 complaints that didn't have any obvious organic
20 cause, and so if there's no organic cause that can
21 be found, you should give some due diligence to
22 look for some kind of mental disorder.

Page 25

1 Q. In terms of your opinion that there were
2 pain complaints out of proportion to exam, what
3 were those pain complaints that were out of
4 proportion?
5 A. So the patient came in saying he had
6 severe low back pain, and throughout the
7 hospitalization he said he had severe leg pain.
8 And they did an MRI of his lumbar spine and it said
9 he had stable postoperative changes.
10 He didn't have any recent surgery; at
11 least his most recent surgery was in February of
12 2016. He didn't have any recent trauma to explain
13 his pain, and so his complaints of pain and his
14 requirement of higher than previous given narcotic
15 doses in the outpatient didn't seem to add up to
16 his imaging findings while in the hospital, and his
17 physical exam findings.
18 Q. Are there always imaging findings when
19 someone is complaining of pain? Is that something
20 you would always expect to see?
21 A. Not always. If someone had a history of
22 sickle cell disease, they can get sickling of blood

Page 27

1 abuse, I believe involving narcotic abuse, and so
2 those are kind of red flags to say this is a
3 patient who might complain of certain symptoms to
4 get higher doses of pain medications than are
5 actually clinically appropriate.
6 Q. Do you recall whether upon arrival or
7 admission to the hospital on August 28, 2016,
8 Mr. Jewett presented with any other complaints or
9 presenting symptoms apart from the low back pain
10 that you had mentioned?
11 A. I believe he said he had urinary
12 retention; I believe there was some mention of
13 stool incontinence; and I believe he said he had
14 some decreased sensation, I believe, in the groin
15 area or the proximal thigh.
16 I mean, throughout his hospitalization he
17 complained of being anxious, you know. He
18 requested, I believe, benzodiazepines and received
19 Ativan. He also received Valium before his MRI to
20 try to relax him to tolerate the test.
21 Q. So in terms of what you've been referring
22 to as, quote/unquote, secondary gain, as I

Page 26

1 in their blood vessels and that can be painful.
2 This patient didn't have that history. So it is
3 possible to have, you know, pain complaints that
4 can't be seen on imaging.
5 Though in that situation, sickle cell, you
6 can get labs that would demonstrate an acute sickle
7 crisis, such as elevated liver function tests or
8 elevated reticulocyte count. So usually an astute
9 clinician can find some reason, while in the
10 hospital, to explain an increase in pain. If they
11 can't, usually a provider would look for secondary
12 gain.
13 Q. Did you believe that Mr. Jewett was a
14 patient that was looking for secondary gain when he
15 arrived to the hospital?
16 A. I think it's likely, yes. He said he ran
17 out of his pain medication, I believe, six days
18 prior to being admitted, and, you know, no one
19 seemed to contact his primary care provider to go
20 over, you know, why this patient would require pain
21 medication, and he had a history of being in
22 substance abuse and going to rehab for substance

Page 28

1 understand it, so is it your opinion that when a
2 patient presents to a hospital and it appears that
3 they have complaints for the secondary gain, is it
4 your opinion that a psychiatric examination or
5 consultation is always required for that patient?
6 A. A majority of the time, yes, especially
7 when someone is requesting controlled substances
8 such as high doses of Oxycodone or Ativan, you
9 know, that have pretty serious side effects, and
10 especially someone who has a history of depression
11 and anxiety like this gentleman, as well as alcohol
12 abuse and narcotic dependence like this gentleman,
13 who's a high-risk patient.
14 Some of those are risk factors for opiate
15 overdose, so you would want to get a psychiatrist
16 involved to help lower the risk and perhaps
17 prescribe him medication that has less serious side
18 effects than a benzodiazepine to help control his
19 anxiety and other mental health acute issues.
20 Q. As you've just mentioned benzodiazepines,
21 you state that -- or the designation -- I'm back to
22 that -- states that the Ativan and Oxycodone are

Page 29

1 both respiratory depressants, and this patient's
2 history of sleep apnea further increases the risk
3 of opioid and benzodiazepine-induced respiratory
4 depression.
5 Is that your opinion?
6 A. Yes.
7 Q. And what is the basis for that opinion?
8 A. 11 years of clinical experience and, you
9 know, textbooks state this. It's a known side
10 effect that narcotics and benzodiazepines both can
11 cause respiratory depression, and they have
12 additive effects when given together.
13 Sleep apnea, this gentleman was diagnosed
14 with that. He was prescribed a CPAP machine to
15 treat it. Usually you're not given a CPAP machine
16 unless you have at least moderate sleep apnea, and
17 Dr. Amatya had stated that the patient was
18 noncompliant with his CPAP while in the hospital.
19 When you're noncompliant with a CPAP
20 machine with sleep apnea, you can get drowsy in the
21 daytime. If you're already drowsy, on top of that,
22 taking a narcotic and a benzodiazepine, those all

Page 31

1 hospital chart. It's more of a subtle thing that a
2 primary care doctor would look into for, you know,
3 a month's long history of poor attention at work or
4 with others, you know, social settings, someone
5 taking daytime naps.
6 This person could have napped, you know,
7 three or four times in the hospital every day and
8 they wouldn't document it because it's not really
9 clinically relevant, you know.
10 Q. Sure. And I understand that, Doctor.
11 What I'm asking you is whether you saw any
12 documentations in the medical chart of signs or
13 symptoms or concerns of sleep apnea in this
14 patient?
15 A. I didn't see anything mentioned in the
16 chart about his sleep apnea other than the
17 diagnosis in the history and physical when he got
18 admitted to the hospital.
19 Q. And he had not been using a CPAP machine
20 at home, right?
21 A. It was stated that he wasn't using it in
22 the hospital. I don't know if he was compliant at

Page 30

1 increase your risk of slowing down your
2 respirations to the point where it can be fatal.
3 Q. Can we agree that Mr. Jewett did not have
4 any signs of sleep apnea at the hospital?
5 A. The signs can be subtle. You know, if
6 someone is drowsy but they're a very anxious person
7 to begin with, it can mask some of that, but, you
8 know, I don't know if anyone witnessed him snoring
9 or would document that in the chart, but, you know,
10 that can be a sign of that.
11 So, yeah, the signs aren't always
12 incredibly easy to see, but it still can be there.
13 Q. In your review of this medical chart, did
14 you see any signs of sleep apnea in this patient?
15 A. Again, he was prescribed a CPAP machine,
16 and he had been diagnosed with it in the past, so
17 it's already an established diagnosis of this
18 patient. So, you know, it's hard to put into a
19 clinical summary for a nurse or a clinician signs
20 of sleep apnea unless someone was, you know,
21 frequently dozing off in the daytime, and they're
22 not going to document that kind of thing in the

Page 32

1 home or not. The machines usually have a way of
2 recording if someone is complying or not. Usually
3 if you're not compliant for a certain period of
4 time, they would eventually take the machine away,
5 so he might have had, you know, occasional
6 compliance with it.
7 Q. So is it fair to say you don't know one
8 way or the other whether or not he was using a CPAP
9 machine at home?
10 A. Not with a high level of certainty, no.
11 Q. And, to your knowledge, he did not request
12 one at the hospital?
13 A. I don't know the policies and procedures
14 at INOVA Fairfax. I haven't worked there before.
15 Where I work, if someone has that history, usually
16 you just order the machine, and they have the right
17 to refuse.
18 Q. And you plan to testify that the
19 combination of Ativan and Oxycodone is what can
20 increase the risk of respiratory depression?
21 A. Correct.
22 Q. I think you touched on this at the

Page 33

1 beginning when you were giving me an overview of
2 what it means to be a hospitalist, but as the
3 attending hospitalist, do you obtain consultations
4 from other providers in other areas of medicine or
5 other subspecialties?
6 A. We're consulted for, like, preoperative
7 evaluations to say what someone's risk is for, you
8 know, either a hip surgery or something like that,
9 or a bowel surgery, so we do a preoperative
10 assessment, and we might be consulted by a surgical
11 service for diabetes management or other medical
12 problems they want us to assist with managing; so
13 we do do those kind of inpatient consults, yes.
14 Q. Sorry. That' my fault. I probably asked
15 the question poorly. I was actually asking the
16 inverse. So when you're the attending of record,
17 when you're the hospitalist of record, can you
18 order consultations from other subspecialties --
19 A. Yeah.
20 Q. -- and other areas of medicine if you deem
21 it's necessary or appropriate?
22 A. Yeah, of course. Sorry if I misunderstood

Page 35

1 care when a consultant comes in, right?
2 A. Not ordinarily. If you consult a surgeon
3 and they have a large surgery, then they might
4 transfer their service, but that's pretty rare.
5 Q. So just by a consultation or even several
6 consultations, you would still be the primary
7 decision maker for that patient because you're the
8 main attending of record, right?
9 A. Correct.
10 Q. From your designation, you will testify --
11 here it's written "prudent to consult with
12 Dr. Andrew," so -- "with Dr. Andros," excuse me.
13 So are you planning to testify that it was
14 appropriate for Dr. Amatya to consult a pain
15 management doctor in this case?
16 A. It was appropriate. He didn't follow his
17 recommendations, but it was appropriate to consult
18 him to begin with, yes.
19 Q. And you plan to testify that Dr. Amatya
20 disregarded the advice of Dr. Andros?
21 A. Yes. To some degree, he did.
22 Q. Okay. Can we agree that an attending

Page 34

1 that.
2 Q. No. That's okay. I probably worded it
3 poorly.
4 And as the attending of record for the
5 patient, as a hospitalist, that's something that
6 you can place an order for, as I understand it; is
7 that right?
8 A. Yeah. Certain -- there's fields you just
9 put an order in the system, a computer order; and
10 some of them you call and give information; some of
11 them have answering services, but yes.
12 Q. And is that something that you do in your
13 practice on a day-to-day or a regular basis?
14 A. Yes.
15 Q. Okay. And even though these other medical
16 providers from other specialties may come to
17 consult on a patient, that patient would still
18 remain your patient, and you would be that main
19 attending still regardless of any consultations; is
20 that fair?
21 A. Correct.
22 Q. In other words, you're not handing off

Page 36

1 hospitalist does not have to follow each and every
2 recommendation made by a consultant?
3 A. That is true, but with the understanding
4 that if you're consulting someone that has an
5 expertise in the field that you don't, usually you
6 would want to give some credence to their input.
7 Q. In your practice, when you have a
8 consultant, do you engage in a type of conversation
9 with them or look at what they've written in the
10 chart for your patient to determine what, if any,
11 recommendations they have?
12 A. Usually you discuss with them around the
13 time of discharge. That kind of solidifies the
14 discharge plan. You might not be called on the
15 exact same day, but usually you go over things with
16 them before the patient leaves the hospital.
17 Q. So after a consultant has either spoken
18 with you or put a note in the chart regarding a
19 patient in terms of a care plan or next steps or,
20 as you mentioned, you know, what may happen upon
21 discharge, would it still fall on the clinical
22 judgment of the attending of record to sort of

Page 37

1 solidify the plan and to make a decision as to
2 what's best for their patient?
3 A. It does. Usually if you're not going to
4 follow the specialist's recommendations, you would
5 call them and try to, you know, express your
6 concerns for why -- you know, or try to get their
7 thought process of why they made a certain
8 recommendation or call them and see if they agree
9 with the change that you made, if there's maybe
10 something differently -- change things a little
11 differently.
12 But, yes, ultimately it's up to the
13 hospitalist, the attending of record, to either
14 follow the consultant's recommendations or not to.
15 Q. Okay. And in terms of this specific
16 consultation that Dr. Andros provided to
17 Mr. Jewett, you will testify that -- so after the
18 consultation, you will testify that Dr. Amatya
19 prescribing 30 milligrams of Oxycodone instead of
20 Dr. Andros's recommended MS Contin 30 milligrams
21 plus Oxycodone 20 milligram was ill-advised because
22 of the Ativan; is that your opinion?

Page 39

1 15 milligrams of Oxycodone every four hours as
2 needed. That's what his primary care provider, who
3 knew him very well and knew what his risk profile
4 was, thought he would be safe on.
5 The hospitalist, who did not know him very
6 well -- I think Dr. Amatya started rounding on him
7 a day or two into his hospitalization -- decided to
8 make an increase of those narcotic doses without
9 any clear-cut reason to increase them, and it
10 resulted in a much higher dose the patient could
11 get of narcotics in a 24-hour period, and so the
12 patient had an increased risk of having unwanted
13 effects from that medication.
14 And the Ativan had not been readily
15 prescribed by his primary care provider, and,
16 again, it's dangerous to take that with high doses
17 of narcotics. I think he should have had a
18 conversation with his primary care provider to see
19 if they agreed with that approach or not.
20 Q. Just a few follow-up questions to unpack
21 what you just said.
22 You testified a few times about his

Page 38

1 A. Yes.
2 Q. And what is the basis for that opinion?
3 A. So, as you mentioned earlier, Ativan is a
4 strong respiratory depressant. When you combine it
5 with the Oxycodone, the effects are additive
6 increasing the risk of someone having a respiratory
7 arrest and dying from that combination.
8 When you're using high doses of any
9 medication like that, it should be taken into
10 consideration what other medications they're on
11 that can be sedative.
12 Q. And then you plan to testify that the
13 increase of Oxycodone from 20 milligrams to
14 30 milligrams as immediate release plus Ativan
15 produced this unfortunate outcome; is that your
16 opinion?
17 A. Correct.
18 Q. And what is the basis for that opinion?
19 A. So the patient had been given doses of
20 narcotics by his primary care provider, and it
21 seems like he was on 15 milligrams of long-acting
22 morphine, or MS Contin, three times a day and

Page 40

1 primary care provider, and I think you said
2 something like "knew him well" or "knew him better"
3 than Dr. Amatya, and knew his risks.
4 What physician are you referring to when
5 you say his primary care provider?
6 A. So he had a primary care doctor's office.
7 I think it was Gary Davidson was the primary care
8 provider. I think there was a Dr. Harry Holt, I
9 believe, who also, I believe, was in that same
10 practice.
11 You know, they had face-to-face
12 interactions with this patient, and they would have
13 had more interactions documented in their office
14 charts than were in the hospital charts, and so
15 that, I think, would have provided a better
16 understanding of this patient's needs.
17 Q. Did you review any medical records from
18 Dr. Davidson?
19 A. I did not.
20 Q. Did you review any medical records from
21 Dr. Holt?
22 A. I did not. I believe the police report

Page 41

1 mentioned that they called Dr. Holt and discussed
2 that he prescribed some narcotics back in May of
3 that year. The prescription drug monitoring
4 program says it was a two-week supply. Usually
5 when you give someone a short supply, less than 30
6 days, you have some concerns about either they're
7 abusing their meds, or they're someone who doesn't
8 need to be on these medications long term. So
9 that's telling, I think.
10 Q. And are you aware where Dr. Davidson or
11 Dr. Holt practiced?
12 A. I think it was Falls Church, which was
13 somewhere in Virginia.
14 Q. In terms of your testimony that the PCP
15 provider with which you mentioned was Dr. Davidson
16 and knew him very well and knew his risks, do you
17 know how long he had been following Mr. Jewett?
18 A. I believe several years. I don't know
19 exactly how long, no. I think the drug monitoring
20 report goes back, I think, at least two years. So
21 at least two years.
22 Q. You also testified that Dr. Amatya

Page 43

1 right?
2 A. That's my understanding, yes.
3 Q. And I think you already mentioned this.
4 MS Contin is morphine or a type of morphine, which
5 is another narcotic; is that right?
6 A. Yeah. MS Contin just stands for Morphine
7 Sulfate, and then Contin just means extended
8 release of that drug.
9 Q. Are you aware as to what the equivalency
10 is between Dr. Andros's recommendation of the
11 MS Contin plus Oxycodone and then Dr. Amatya's
12 ultimate prescription of just the Oxycodone?
13 A. Yeah. So there's calculators that
14 calculate this, but if you put in 30 milligrams of
15 Oxycodone, 10 milligrams of Oxycodone is equivalent
16 to 15 milligrams of morphine. So if you do
17 30 milligrams, you can get six doses in a day; it's
18 every four hours. So 180 milligrams in a 24-hour
19 period of Oxycodone is equivalent to 270 milligrams
20 of morphine. So that's a morphine milligram
21 equivalent of 270.
22 If he was going to give him 15 milligrams

Page 42

1 increased the narcotics without a clear reason. I
2 think that's what you said. Am I saying that
3 correctly?
4 A. That sounds right.
5 Q. So in terms of the discharge medications,
6 while Dr. Amatya did increase the Oxycodone to
7 30 milligrams, we can agree that he completely
8 omitted the MS Contin, right?
9 A. Yeah, I guess August 30th he had him on
10 MS Contin. I believe he was going to prescribe
11 that in case he went to a rehab facility, and then
12 the following day he omitted the MS Contin and
13 increased the Oxycodone.
14 Q. And that following day, August 31st, that
15 was the day of discharge, right?
16 A. Yes. I think they initially were trying
17 to discharge him on the 30th to a rehab, if he had
18 a bed available; he didn't, so he stayed one more
19 day.
20 Q. So on that day of discharge, August 31st,
21 when he did increase the Oxycodone to
22 30 milligrams, he did not prescribe MS Contin,

Page 44

1 of morphine three times a day, that's 45 morphine
2 milligram equivalents, and then if you add that to
3 the 20 milligrams of Oxycodone every four hours,
4 that would be 120 plus 45, I believe 165, so it
5 would be much less.
6 Q. What would be much less?
7 A. The amount of morphine milligram
8 equivalents would be less of what Dr. Andros
9 recommended to what Dr. Amatya prescribed.
10 Q. So your testimony is, from that
11 calculation, you believe that what the equivalency
12 of what Dr. Andros prescribed was still less?
13 A. Yes. Or he didn't prescribe it; he
14 recommended it.
15 Q. Excuse me, yes, you're right; recommended.
16 Thank you.
17 The designation states that the -- let me
18 see if I can find it. It writes that the MME,
19 which I understand is the morphine equivalency,
20 between the two was probably the same. Is that no
21 longer your opinion?
22 A. Let me calculate it out quick.

Page 45

1 Q. I was impressed that you were doing it all
2 without a calculator.
3 A. So 45 plus...
4 So I think it would be 225 to 270. So
5 Dr. Andros's would have been 225, and it would have
6 been 270 with Dr. Amatya's.
7 Q. I'm sorry. Would you mind just stating
8 for me, slowly if you could, what the actual
9 equation is that you're using?
10 A. Sure. So the Oxycodone -- if you do
11 30 milligrams every four hours -- or, I'm sorry,
12 if you do 20 milligrams, you can get every four
13 hours. That would be six doses in a day. So 20
14 times 6 is 120. You multiply that by 1.5 to get
15 the equivalence of morphine; so that's 180.
16 (Whereupon, the court reporter interrupted
17 for clarification and read back the record as
18 follows: You multiply that by 1.5 to get the
19 equivalence of morphine; so that's 180.)
20 A. You take the -- you add that to the
21 morphine extended release. So you take
22 15 milligrams of morphine extended release. You

Page 47

1 MR. BLAZER: Aneta, that may have been a
2 typo on my part. But go ahead.
3 A. I think I was referring to the dosing he
4 was getting with the Dilaudid. He was getting, in
5 the hospital, IV Dilaudid, 1 milligram, as needed,
6 and he was getting -- I think it was every four
7 hours as needed, and he was getting 20 milligrams
8 of Oxycodone on top of that every four hours as
9 needed. And in the hospital, he was on the IV pain
10 medication. He was not on all oral pain
11 medication.
12 So to convert the IV Dilaudid to oral
13 Oxycodone, 1 milligram of IV Dilaudid is equivalent
14 to about 13 milligrams of oral Oxycodone. So if
15 you convert that over, that's roughly the same as
16 30 milligrams of Oxycodone every four hours PRN.
17 BY MS. NIKOLIC:
18 Q. Okay.
19 A. Did that make sense?
20 Q. No, it does make sense, and just for the
21 record and for you, I'm looking at the top of
22 page 18, and I'll just read verbatim.

Page 46

1 can get it three times a day. So that's 15 times
2 3; that's 45. So you do 45 plus 180; that's
3 225 milligrams or morphine milligram equivalents.
4 For the pure Oxycodone, you do 30 times 6;
5 so you can get 180 milligrams of Oxycodone in a
6 day. So to convert that to morphine, you do it
7 times 1.5, and you get 270.
8 Q. I'm sorry. So you said the Oxycodone 30
9 times 6 is 180, which I follow, and then you said
10 you add the morphine?
11 A. No. Dr. Amatya didn't prescribe any
12 morphine finally. So you do 30 milligrams times 6;
13 you get 180 milligrams of Oxycodone. Oxycodone is
14 roughly one-and-a-half times as potent as morphine.
15 So you do 180 times 1.5 to get 270 daily morphine
16 milligram equivalents.
17 Q. And in terms of what you put in your
18 expert designation, the MME between the two was
19 probably the same, is that still your testimony
20 with these numbers, or is that no longer your
21 opinion?
22 A. Well, no. I think --

Page 48

1 It says, "The change from Dr. Andros's
2 recommendation to what Dr. Amatya eventually
3 prescribed was not well thought out. Yes, the MME
4 between the two was probably the same, but that
5 still ignored three significant factors..." and
6 then it goes on.
7 So that's where my question was coming
8 from because it appeared to be related to
9 Dr. Andros versus Dr. Amatya.
10 A. Dr. Andros made recommendation both for
11 inpatient pain medication dosing and for
12 outpatient. So I guess I was referring to what he
13 was getting in the inpatient.
14 Q. Okay. So putting for a moment aside the
15 Ativan, which we've already talked about a little
16 bit, do you believe that it was a breach in the
17 standard of care for Dr. Amatya to prescribe the
18 30 milligrams of Oxycodone for discharge and no
19 MS Contin?
20 MR. BLAZER: Are you talking in isolation,
21 Aneta?
22 BY MS. NIKOLIC:

Page 49

1 Q. Doctor, did you understand my question, or
2 would you like for me to rephrase it?
3 A. Could you rephrase it, I suppose?
4 Q. Sure. So I understand earlier your
5 testimony was about the combination of Ativan and
6 Oxycodone being prescribed, and I understand what
7 your testimony is. So just -- and we can say that
8 this is a hypothetical.
9 So hypothetically had there been no Ativan
10 prescribed -- so everything else is the same for
11 this type of patient, upon discharge, Dr. Amatya
12 prescribed 30 milligrams of Oxycodone and did not
13 prescribe any MS Contin. So my question is just
14 the 30 milligrams of Oxycodone, that amount of
15 Oxycodone alone, do you believe it was a breach in
16 the standard of care for Dr. Amatya to prescribe
17 that amount of Oxycodone for this patient upon
18 discharge?
19 A. I think that factored into the, you know,
20 addition of Ativan as well -- those combined was a
21 breach of the standard of care, yes.
22 Q. Okay. And I understand that.

Page 51

1 Dr. Amatya ignored the patient's history of sleep
2 apnea?
3 A. I don't think he took it into strong
4 consideration. I can't get inside Dr. Amatya's
5 full thought process that day, but I don't think he
6 gave enough weight to the patient's sleep apnea
7 being a large risk factor for giving someone two
8 medications that can strongly suppress someone's
9 respiratory drive.
10 Q. If someone has a history of sleep apnea,
11 does that mean they can never be prescribed a
12 narcotic and a benzodiazepine because of that
13 history?
14 A. Not necessarily.
15 Q. What, if any, are the instances where that
16 would not be inappropriate to do?
17 A. I think you would want to watch someone
18 titrate those kind of medications up very slowly,
19 especially for any increases in those kind of
20 medications to make sure they tolerate them. I
21 guess on the day of -- yeah.
22 Q. So if I understand your testimony, it

Page 50

1 And just, again, as a hypothetical,
2 Doctor, which I can ask you about here, putting the
3 Ativan aside, if there was no Ativan, was it a
4 breach in the standard of care to prescribe
5 30 milligrams of Oxycodone, in your opinion?
6 A. I think that was too high of a dose, yes.
7 I don't think there was a clinical indication to
8 give him a higher dose than he previously had been
9 on.
10 Q. Okay. And when you say "than he
11 previously had been on," do you mean during this
12 admission? Do you mean in recent time? Do you
13 mean ever? Can you clarify that?
14 A. I mean in recent times before the
15 admission; in other words, what his primary care
16 doctor had prescribed to him.
17 Q. And when you say his primary care doctor,
18 you're referring to Dr. Davidson?
19 A. Davidson's practice, yes.
20 Q. I'm just scrolling through your
21 designation.
22 What is the basis for your opinion that

Page 52

1 would be important to have an opportunity to be
2 able to watch a patient on that combination just to
3 ensure that they're doing okay or they're not
4 having any adverse effects?
5 A. Correct.
6 Q. You will testify as to an increased risk
7 of immediate-release opioids?
8 A. Yes. I mean, there's a faster peak of the
9 medication in your system for rapid release.
10 Q. Okay. My follow-up question was just
11 going to be what is that increased risk of
12 immediate-release opioids?
13 A. So an immediate-release opioid is released
14 immediately into your bloodstream, so when the
15 medication gets its peak effect on your body is
16 going to be quicker than something like a
17 long-acting Morphine Sulfate, or MS Contin, so also
18 the adverse effects from it, like respiratory
19 depression, will also occur more quickly.
20 Q. In terms of the immediate release and then
21 the extended release, when you testify that it will
22 act quicker or affect you more quickly, is there a

Page 53

1 specific formula or a time that by which can be
2 measured when that effect would be, or how much
3 quicker it would be, in other words?
4 A. Yes. I mean, you should get positive
5 action for immediate-release oral Oxycodone within
6 about a half an hour.
7 If you had an extended release, like MS --
8 Morphine Sulfate Contin, it will take several hours
9 or just over an hour to start seeing effect. And
10 then when you get a peak effect, the maximal
11 effect, it will take a longer time with
12 extended-release medication.
13 That's kind of the benefit of why you want
14 to give some extended-release, especially in
15 someone who's opioid tolerant, is that you always
16 have some level that's stuck in their system so
17 they're not going to get spikes in their pain
18 levels; they'll always have some pain control.
19 That's why pain specialists I've seen like acting
20 extended-release formulations for someone on high
21 doses of pain meds, narcotics.
22 Q. I think you briefly mentioned this before,

Page 55

1 overlapping at times.
2 Q. Okay. And during that admission,
3 Mr. Jewett was also given Ativan; is that right?
4 A. Yes. And Valium, I believe, also.
5 Q. And all of the drugs that Mr. Jewett was
6 given during this admission were administered to
7 him at the hospital, which would be considered a
8 controlled setting that's being monitored by
9 numerous health care providers; is that fair?
10 A. That's my understanding, yes.
11 Q. And Mr. Jewett did not have any signs of
12 intoxication at the hospital, right?
13 A. From review of the record, there's no
14 clear-cut signs. I guess he had urinary retention
15 at one point, which can be from narcotic
16 intoxication, but other than that, I didn't see any
17 clear-cut signs of it.
18 Q. Many things can cause urinary retention,
19 right?
20 A. Yes.
21 Q. So you would agree that there was no
22 documentation in that medical chart of signs and

Page 54

1 but we can agree that at the hospital in August of
2 2016, Mr. Jewett was also receiving the Dilaudid,
3 right?
4 A. Yeah, he received, I know -- he had
5 2 milligrams in the emergency room trying to get
6 his pain controlled through the MRI, and that
7 wasn't enough, but then he maintained on it
8 throughout his hospitalization.
9 I know on the day of the 30th, the rehab
10 wanted him to get off of IV pain medicine for him
11 to be a candidate to go there, so I think on the
12 30th they started converting the IV Dilaudid to
13 more of the -- to pretty much solely oral pain
14 medications.
15 Q. And Dilaudid is another narcotic
16 medication?
17 A. Yes. It's a very potent narcotic. It
18 comes in IV form and oral form.
19 Q. So throughout that admission, Mr. Jewett
20 had been receiving the Dilaudid, and he'd also been
21 receiving Oxycodone; is that right?
22 A. Yes. I believe he was getting them

Page 56

1 symptoms specifically of intoxication of
2 Mr. Jewett, right?
3 A. Other than, again, urinary retention,
4 which you stated can have other causes, no.
5 Q. And in the documentation from this
6 hospital admission, there weren't any documented
7 concerning signs or symptoms that Mr. Jewett was
8 experiencing as related to being given the
9 combination of narcotics and Ativan at the
10 hospital, right?
11 A. I guess the concerning signs or symptoms
12 were that he was saying the Oxycodone was minimally
13 effective, and that means someone might want to
14 take extra doses of it to try to get better effects
15 from it.
16 He seemed to think that the Dilaudid was
17 more effective than the Oxycodone. And the
18 Dilaudid does come orally, so they could have tried
19 him on oral Dilaudid instead of Oxycodone but --
20 yeah.
21 Q. Well, as I understood your earlier
22 testimony, concerning signs or symptoms in a

Page 57

1 patient related to narcotics and a benzodiazepine
2 would be something related to respiratory
3 suppression or respiratory depression, right?
4 A. Correct.
5 Q. So we can agree that he didn't have any of
6 those concerning signs or symptoms at the hospital,
7 right?
8 A. I don't think he had signs of respiratory
9 depression in the hospital. Usually if someone's
10 respiratory rate is less than 10, you would be
11 concerned that their respiratory rate is depressed.
12 I didn't see documentation of that.
13 Q. Okay.
14 A. But, again, it was given in the controlled
15 setting to make sure he wasn't getting it more
16 often than he should have been or taking it
17 inappropriately.
18 Q. And as you mentioned this controlled
19 setting, Mr. Jewett was being monitored by health
20 care providers and, I think as you mentioned,
21 taking the amounts, the appropriate amounts that he
22 was given in that controlled setting while he was

Page 59

1 appears, of pain medication-seeking behavior, puts
2 him as someone who likely had opiate use disorder,
3 which is a mental illness, and that history does, I
4 think, factor into his current care at the time.
5 Q. And so when I asked you that first
6 question, I was just reading from the designation.
7 So just to clarify, it's your opinion that the
8 standard of care required Dr. Amatya to order
9 either a psych consult or an addiction medicine
10 consult?
11 A. Yeah. I think anyone who is exhibiting
12 pain medication-seeking behavior and it appears
13 that their anxiety is feeding into their request
14 for high doses of pain medication should be seen by
15 either a psychiatrist or an addiction medicine
16 specialist.
17 Oftentimes many psychiatrists have
18 additional training in addiction medicine; not all
19 of them do, but several of them do. So a
20 psychiatrist that could have addressed his anxiety
21 may have made him a little less anxious, maybe less
22 prone to request such high doses of narcotics.

Page 58

1 being monitored, right?
2 A. Yeah. I guess on the 30th he did ask for
3 an extra dose of Oxycodone, so I guess he required
4 a little extra that day. I think he asked for an
5 extra dose of Ativan as well. But other than that,
6 no.
7 Q. Despite those requests by him, he didn't
8 experience any concerning signs or symptoms that
9 you saw documented in the medical chart, right?
10 A. No. I think that was just a onetime thing
11 where he got the extra doses, yeah.
12 Q. Okay. You will testify that Mr. Jewett
13 needed a psych consult or addiction medicine
14 consult due to his history of anxiety, depression
15 and alcohol abuse; is that correct?
16 A. So I think everything has to be taken in
17 context. I think people can cope with addiction
18 and not abuse substances even if they have a
19 history of that, but I think this patient's acute
20 presentation, being anxious and saying he had pain
21 out of proportion to physical exam findings,
22 imaging findings, and exhibiting some signs, it

Page 60

1 Q. Can we agree that the act of not ordering
2 such consults did not cause Mr. Jewett's death in
3 this case?
4 A. That's kind of speculation. I mean, as I
5 said, if you could have gotten a psychiatrist or an
6 addiction medicine specialist to find a way to
7 address his anxiety and his pain medication-seeking
8 behavior in ways other than just increasing pain
9 medication, then it might have led to him being
10 discharged on lower doses of pain medication and
11 maybe some alternative benzodiazepine, and that
12 actually might have prolonged his life.
13 Q. Do you have an opinion to a reasonable
14 degree of medical probability that the act of not
15 ordering these consults caused Mr. Jewett's death
16 in this case?
17 A. It's up to, you know, a pathologist or a
18 forensic examiner to determine the cause of death,
19 so I can't speak to their profession. I'm not a
20 psychiatrist, but I have a great degree of respect
21 for their ability to improve the mental functioning
22 of the patients that are in acute mental crisis.

Page 61

1 Q. And, again, I think this is from your
2 designation that you will testify that these
3 diagnoses, which you referred to earlier as
4 anxiety, depression, and alcohol abuse, increased
5 Mr. Jewett's risk for overdose on narcotics; is
6 that your opinion?
7 A. Yes.
8 Q. What's the basis for that opinion?
9 A. Experience and going to medical
10 conferences. And they've done studies to show that
11 a history of those things increase risks of opiate
12 overdose.
13 You know, it's a pretty prevalent problem,
14 opiate overdose. That's why you can now get
15 Naloxone without a prescription to help reverse
16 someone who overdosed on opiates. So it's deemed
17 to be an epidemic, the opiate crisis. So, yeah.
18 Q. How long did Mr. Jewett have his diagnoses
19 of anxiety and depression, if you know?
20 A. I'm not quite sure. I believe several
21 years. I think he was admitted for suicidal
22 ideation at least twice in the past, and I think it

Page 63

1 make him question whether a person is relapsing in
2 some of those behavior habits.
3 Q. Again, also from your designation, you
4 will testify that there was no readily apparent
5 reason for Mr. Jewett's acute increase in pain; is
6 that your opinion?
7 A. From what I can read from the chart, yes,
8 he was seen by a neurosurgeon, Dr., I think,
9 McHugh, who didn't think he had any kind of
10 surgical acute indication, and he was seen by a
11 pain specialist who, I believe, admitted that his
12 pain complaints didn't match up with the imaging
13 findings. That's also my opinion.
14 Q. And when you say in that opinion "no
15 apparent reason for his acute increase," increase
16 from when?
17 A. From his chronic pain complaints. So from
18 compared to when his primary care provider
19 prescribed him pain medication back in May of that
20 year, it didn't appear he had any surgeries in that
21 time frame; he didn't have any traumatic physical
22 trauma in that time frame that would cause an

Page 62

1 went back at least three years prior to his
2 admission, the first hospitalization.
3 Q. And is that information that you saw when
4 you were reviewing the INOVA Fairfax medical chart?
5 A. Correct.
6 Q. And prior to this admission, going years
7 back, Mr. Jewett had been receiving pain medication
8 prescriptions from numerous other providers; is
9 that fair?
10 A. That's what it appears to be, yes.
11 Q. Again, from your designation, you will
12 testify that a history of prior rehab stays should
13 have been factored into Dr. Amatya's prescribing of
14 narcotics; is that your opinion?
15 A. Yes.
16 Q. What's the basis for that opinion?
17 A. Again, someone has a history of substance
18 abuse disorder is at risk in the future of
19 repeating those behaviors. So I'm not saying it
20 should prohibit them from ever receiving something
21 that can be addictive such as a narcotic or a
22 benzodiazepine ever again, but it certainly should

Page 64

1 increase in his pain level.
2 Q. Did you review any medical records that
3 discussed Mr. Jewett's chronic pain complaints?
4 A. In the history and physical, it mentioned
5 that he had pain medication-seeking behavior, and
6 it mentioned he's had multiple previous surgeries.
7 He had an amputation of his left leg; that
8 obviously is painful. And the drug prescribing
9 monitoring program showed that he had gotten
10 narcotics for several years so -- they're used
11 solely for the treatment of pain, so he obviously
12 had chronic pain.
13 Q. So other than what you just mentioned
14 looking at the H&P, which was in the INOVA records,
15 and then looking at the prescription monitoring
16 program document, you did not review any other
17 medical records regarding Mr. Jewett's chronic pain
18 complaints; is that right?
19 A. Not medical records. Again, there was the
20 police report that mentioned talking to Dr. Holt, I
21 believe his name was, from his primary care office,
22 but other than that, I don't believe so.

Page 65

1 Q. Again, back to your designation, it states
2 that you will testify that Flexeril can cause
3 drowsiness and should not have been taken with
4 Oxycodone; is that right?
5 A. It shouldn't have been taken with the
6 combination of Oxycodone and the Ativan. So
7 Flexeril is a muscle relaxant. Ativan is also a
8 muscle relaxant. So combining them seems a little
9 odd. But apart from that, just apart from the
10 indications, all three of those can cause
11 drowsiness, and that's, I think, dangerous in
12 someone with sleep apnea.
13 Q. Do you plan to testify that Dr. Amatya
14 breached the standard of care in prescribing
15 Flexeril?
16 A. I think if it was Flexeril alone, it
17 wouldn't have breached the standard of care, but in
18 combination with the Oxycodone and the
19 benzodiazepine, I think it was risky. I think the
20 more -- the larger issue was the Oxycodone and the
21 Ativan. I think Flexeril was more of a risky
22 behavior and not necessarily a breach of standard

Page 67

1 A. Again, Flexeril and Ativan can both be
2 muscle relaxers, so combining them is not usually
3 needed. But, no, Dr. Andros didn't recommend
4 Ativan. It's not usually used for pain. But
5 certainly Dr. Amatya should have been aware of the
6 risk of combining that with the MS Contin and
7 Oxycodone -- and ultimately the Oxycodone.
8 Q. So I'm nearing the end of your
9 designation, I promise. I'm on the bottom of 19
10 going to 20, which is the last page of your
11 designation.
12 A. Okay.
13 Q. I should ask you, would you like to take a
14 break, or do you need to get water or anything like
15 that?
16 A. Luckily, I have my seltzer here. I'm
17 good.
18 Q. Okay. Good.
19 A. Thank you.
20 Q. All right. So you plan to testify that
21 Mr. Jewett had a primary care physician and a pain
22 management doctor who could have dealt with his

Page 66

1 of care.
2 Q. And Dr. Andros had recommended continuing
3 Flexeril for discharge, right?
4 A. He did.
5 Q. And that's something that the patient had
6 been on?
7 A. Previously, yes, I believe he had.
8 Q. Do you plan to testify that the Flexeril
9 was a cause of Mr. Jewett's death?
10 A. No.
11 Q. And we've already gone over this. We've
12 been discussing Dr. Andros, who was the pain
13 management consultant physician, and he recommended
14 pain medications for Mr. Jewett upon discharge,
15 which were the Oxycodone and MS Contin, right?
16 A. Yes.
17 Q. And we can agree that Ativan is not a pain
18 medication, right?
19 A. Correct.
20 Q. So we can also agree that Dr. Andros did
21 not address Ativan one way or another in his
22 consultation and recommendations, right?

Page 68

1 chronic pain, right?
2 A. Yes. I believe we later found out that
3 his pain management doctor might not have been
4 actively following him, but he did have a primary
5 care doctor that was actively following him.
6 Q. And when the designation refers to pain
7 management doctor, who are you referring to?
8 A. So I believe this patient, Mr. Eric
9 Jewett, had followed with a pain clinic in the
10 past, so providers from that clinic. But I think
11 he wasn't still active with that practice.
12 Q. If I say Dr. Patel, does that mean
13 anything to you regarding the pain management
14 clinic?
15 A. Yeah, that name was mentioned in
16 Dr. Amatya's deposition, but I guess later found
17 out that maybe he wasn't active with that pain
18 management practice but someone he had seen in the
19 past.
20 Q. And you never reviewed any medical records
21 from Dr. Patel or the pain management clinic,
22 right?

Page 69

1 A. We couldn't locate -- no. No, I did not.
2 Q. As you've alluded to, Dr. Patel had
3 actually discharged Mr. Jewett as a patient in 2014
4 or '15, right?
5 A. I'm not aware of that.
6 Q. So at the time of this hospital admission
7 in August of 2016, Mr. Jewett did not have a pain
8 management doctor; is that right?
9 A. I'm not sure.
10 Q. Going to the other part of this opinion,
11 which is regarding the primary care doctor, and
12 that's -- in all of this testimony, you've been
13 referring to Dr. Davidson; is that right?
14 A. Yes. I think he works in the patient's
15 primary care office.
16 Q. We've also been talking about the
17 prescription monitoring program document that you
18 reviewed, which I think you mentioned covers about
19 two years of time; is that right?
20 A. Uh-hum. I believe so, yes.
21 Q. At the time of Mr. Jewett's admission to
22 INOVA Fairfax in August of 2016, Mr. Jewett was not

Page 71

1 provider in UVA or in the adjacent UVA offices?
2 A. I was not aware of that.
3 Q. Are you aware that it was earlier that
4 year that Mr. Jewett attempted to establish care
5 with Dr. Holt at UVA?
6 A. I was not aware of that.
7 Q. Are you aware that the relationship
8 between Mr. Jewett and Dr. Holt was not a
9 long-standing one because Dr. Holt refused to
10 continue prescribing opiates to Mr. Jewett as he
11 was requesting?
12 A. I was aware he prescribed opiates several
13 times that year. Again, I didn't review charts
14 from the primary care doctor's office.
15 Q. Okay. So I take it you're not aware that
16 Dr. Holt was not Mr. Jewett's primary care provider
17 as of August of 2016?
18 MR. BLAZER: Objection. That may
19 mischaracterize the evidence.
20 MS. NIKOLIC: You can answer, Doctor.
21 A. Can you repeat the question?
22 BY MS. NIKOLIC:

Page 70

1 regularly or consistently seeing one primary care
2 provider, right?
3 A. I'm not sure how the primary care office,
4 you know, rotates providers and how they see
5 patients. I think that Dr. Holt was the one who
6 most recently saw the patient.
7 Q. In terms of Dr. Davidson, are you aware
8 that prior to August of 2016, Dr. Davidson had only
9 seen Mr. Jewett two times?
10 A. I was not aware of that. I just knew that
11 he was following with the same practice.
12 Q. Okay. Are you aware that Dr. Davidson,
13 from the two times that he saw Mr. Jewett, was not
14 prescribing him any narcotic pain medications?
15 A. I was not aware of that, but everyone in
16 that office, including the ones that were
17 prescribing him pain medication, should have access
18 to the chart, the outpatient chart.
19 Q. Are you aware that Dr. Holt is not in
20 practice with Dr. Davidson?
21 A. I was not aware.
22 Q. Are you aware that Dr. Holt practices as a

Page 72

1 Q. Sure. Are you aware -- I'll rephrase it
2 for you.
3 Are you aware whether or not Dr. Holt was
4 Mr. Jewett's primary care provider in August of
5 2016 when he was at INOVA Fairfax Hospital?
6 A. I'm aware that the patient had a listed
7 primary care provider in the INOVA Fairfax chart
8 that was documented as his primary care provider.
9 So if they misrepresented his primary care
10 provider, then that's what happened.
11 Q. That documentation in the chart was not
12 Dr. Holt, right?
13 A. It was not. That was in the police
14 report. I try to piece things together as I can.
15 Q. In terms of your testimony regarding
16 Mr. Jewett had a primary care provider and pain
17 management who he should have -- could have
18 followed up with for his chronic care following the
19 admission, we can agree that Dr. Amatya told
20 Mr. Jewett to follow up with a pain management team
21 as soon as possible, right?
22 A. I believe he did document that. And I

<p style="text-align: right;">Page 73</p> <p>1 think he also scheduled an appointment with his 2 primary care doctor a week out by the social worker 3 in the hospital. 4 Q. And that would be Dr. Davidson? 5 A. It was documented that a social worker 6 made an appointment with a primary care provider. 7 I can't recall which one. 8 Q. And Dr. Davidson was sent a copy of 9 Mr. Jewett's discharge summary that same day, 10 right? 11 A. I'm not sure how the hospital handles 12 forwarding discharge summaries to primary care 13 practices. 14 Q. If that was something that was documented 15 in the medical chart, would you have any reason to 16 dispute it? 17 A. No. 18 Q. So I want to talk a little bit just about 19 the last part of your opinions which touches on the 20 causation portions of this. 21 You state in the last paragraph of your 22 designation that the management and discharge</p>	<p style="text-align: right;">Page 74</p> <p>1 medication instructions described above, in the 2 rest of the designation, represented breaches of 3 the applicable standard of care and more likely 4 than not caused the death of Mr. Jewett. 5 That's your opinion, right? 6 A. Yes. 7 Q. What is your opinion as to what 8 specifically caused Mr. Jewett's death here? 9 A. So, again, the coroner determines the 10 cause of death, but it appears that he was given 11 Ativan on his day of discharge, at least two times, 12 and he was prescribed large doses of Oxycodone, 13 which was found in his bloodstream at a high level, 14 a dangerously high level, and so I think the 15 combination of those two things, getting dosed 16 Ativan on his day of discharge multiple times as 17 well as being given a prescription for high-dose 18 narcotics, contributed or ultimately caused him to 19 expire. 20 Q. We can agree that the toxicology report in 21 this case did not show Ativan in Mr. Jewett's 22 femoral blood at death, right?</p>
<p style="text-align: right;">Page 75</p> <p>1 MR. BLAZER: Objection -- 2 A. Right. 3 MR. BLAZER: -- mischaracterizes the 4 evidence. 5 Go on, Doctor, you can answer the 6 question. 7 THE WITNESS: Okay. 8 A. So I believe Dr. Schneider commented on 9 this, Kevin Schneider, that while they couldn't 10 detect the Ativan, it still could be in his system 11 in a therapeutic level. 12 There is something in pharmacology called 13 half-life of a medication. It's similar to, like, 14 carbon-dating on fossils. They kind of determine 15 how old something is by how it decays. 16 So for medications, the half-life is how 17 long it takes for half of the substance to break 18 down in the bloodstream. So for Ativan, it's 19 roughly 12 hours. It usually takes about four to 20 five half-lives for the medication to be gone from 21 your system. 22 So if he was dosed with Ativan on his day</p>	<p style="text-align: right;">Page 76</p> <p>1 of discharge, it would have been in his system for 2 at least 48 hours after his day of discharge. He 3 expired the very following morning. So by that 4 dosing, it was documented it was administered in 5 hospital, the Ativan should have still been in his 6 bloodstream even if they couldn't find detectable 7 levels on the toxicology report. 8 Q. So is it your opinion that Ativan was 9 still in his bloodstream even though they did not 10 find it in detectable levels -- 11 A. I think that's fair. 12 Q. -- in the tox report? 13 A. I think that's very likely. 14 Q. Do you hold that opinion to a reasonable 15 degree of medical probability? 16 A. Again, I'm not an expert on toxicology -- 17 I'm not a pathologist -- but based on the timing of 18 his doses of Ativan and then knowing the half-life 19 of that medication, he should have had that still 20 in his system. I'm fairly certain of that. 21 Q. Is a nondetectable level of Ativan 22 sufficient to contribute and cause death here?</p>

Page 77

1 A. I think with the high dose of narcotic
2 that he was on, it likely contributed to him
3 passing away, yes.
4 Q. So your testimony is that the
5 nondetectable level of Ativan contributed to his
6 death because of the Oxycodone that he was on?
7 A. To clarify, I think if you were on Ativan
8 alone, it would not have increased his risk of
9 passing away, but him being on the Ativan, I think
10 it would take probably smaller than therapeutic
11 levels to cause adverse effects along with the
12 Oxycodone.
13 Q. Okay. And do you hold that opinion to a
14 reasonable degree of medical probability?
15 A. I think his levels were high enough to be
16 therapeutic or just below, that it would be
17 dangerous with the high level of Oxycodone in his
18 system.
19 Q. And did you hold that opinion to a
20 reasonable degree of medical probability?
21 MR. BLAZER: Asked and answered.
22 MS. NIKOLIC: Go ahead, Doctor.

Page 79

1 medication. That's why some medications are dosed
2 daily, some are dosed twice a day, because some of
3 them the body breaks down faster than others.
4 Q. You don't have any specialized training in
5 pharmacology; is that right?
6 A. I received pharmacology training in
7 medical school, and then when you're dosing
8 medications, it's important to understand the
9 pharmacology so you don't dose something
10 inappropriately.
11 Q. And when you say that information, is that
12 what you mentioned, information from drug
13 companies?
14 A. So doctors use different databases on some
15 medications they don't prescribe that often to see
16 how often something should be prescribed.
17 So UpToDate is a medical database you can
18 look to see what something's half-life is, or if
19 you want to see if it's broken down more by the
20 kidneys or the liver.
21 Something called Medscape is a database
22 that has an app you can put on your phone that can

Page 78

1 A. Yes.
2 BY MS. NIKOLIC:
3 Q. What is the basis that forms that opinion
4 for you?
5 MR. BLAZER: Asked and answered.
6 A. The pharmacology I just mentioned and the
7 fact that he was dosed with the medication less
8 than 24 hours from his expiration date in the
9 hospital. He wouldn't have had to take any extra
10 doses at home for that to be dangerous.
11 BY MS. NIKOLIC:
12 Q. And when you say that the pharmacology you
13 mentioned, that that's -- you're referring to when
14 you were discussing the half-life?
15 A. Yes.
16 Q. That pharmacological information, is that
17 something that you have in your daily knowledge and
18 experience as a hospitalist?
19 A. Yes. So drug companies need to figure out
20 how often to recommend the prescribers for the
21 medications, and the FDA has to evaluate those drug
22 companies' data to see how safe it is to take a

Page 80

1 give you something's half-life and how it's
2 metabolized in the body.
3 These things all play in -- if someone has
4 renal disease or liver disease, we'll provide a
5 medication that's broken down predominately by
6 those organs, and if you want to know how often to
7 dose something, you might want to look at its
8 half-life.
9 Q. And thank you for that, and I understand
10 your testimony with reference to the dosing that
11 you've just been testifying about.
12 A little bit different of a question for
13 you: Is it within your area of expertise as a
14 hospitalist to know the amount of nondetectable
15 level of Ativan that is required to cause death in
16 combination with Oxycodone?
17 A. I'm required to know which medications are
18 dangerous to be given in combination with each
19 other. I'm not required to be an expert on
20 toxicology or pathology.
21 Q. And is that something that you would defer
22 to a toxicologist or a pathologist or a forensic

Page 81

1 pathologist or something like that?
2 A. They would be more familiar with those
3 things than I would. I have an understanding of
4 them, but I'm not an expert.
5 Q. So is it fair to say you would defer to a
6 toxicologist or a pathologist in terms of the
7 specifics of cause of death?
8 MR. BLAZER: Objection. Asked and
9 answered.
10 MS. NIKOLIC: Go ahead, Doctor.
11 A. Yes.
12 BY MS. NIKOLIC:
13 Q. Did you review the deposition transcript
14 of the medical examiner in this case?
15 A. Yes, the initial one and then the revised
16 one.
17 Q. Oh. Okay.
18 A. Are you saying the death certificate?
19 Q. Let me just rephrase. Yeah. So I asked
20 you about the deposition transcript, but I think
21 you're referring to the report, like the cause of
22 death report that the medical examiner authored.

Page 83

1 had authored; is that right?
2 A. Yes, but -- yeah, I didn't see
3 Dr. Kessler's deposition.
4 Q. Okay. You're aware that Dr. Kessler --
5 that that's the name of the medical examiner,
6 right?
7 A. You reminded me of that name, yes, but
8 I've seen it before.
9 Q. And you're aware that her amended report,
10 which had really an amended cause of death, that
11 that was mixed-drug intoxication. Are we on the
12 same page when we refer to "amended," that that's
13 what you reviewed?
14 A. Yes.
15 Q. Okay. Just making sure.
16 In terms of Dr. Kessler's amended report
17 for cause of death for mixed-drug intoxication, we
18 can agree that under her cause of death for
19 mixed-drug intoxication, Ativan was not one of
20 those drugs, right?
21 A. Yes.
22 Q. In reviewing this case, did you rule out

Page 82

1 A. I was referring to the death certificate,
2 yes. You're referring to what again?
3 Q. To a deposition transcript, which is like
4 what you would have read for Dr. Amatya.
5 A. I reviewed the one from Kevin Schneider
6 that was done. I reviewed that, and I reviewed the
7 list of expert witnesses that was sent to me, yes.
8 Q. Okay. But if I tell you that the medical
9 examiner in this case, Dr. Kessler, was deposed
10 like you're being deposed right now and that there
11 was a transcript of that deposition, do you believe
12 that you reviewed that?
13 MR. BLAZER: I'll stipulate that I did not
14 send it to him.
15 A. Yeah, I didn't see that.
16 BY MS. NIKOLIC:
17 Q. Okay. And I just wanted to confirm that,
18 Doctor. It wasn't a trick question. I just wanted
19 to confirm, because when you answered my initial
20 question, you were -- I think you believed you were
21 referring to the death certificate, and then later
22 you did see that there was an amended one that she

Page 84

1 any other causes of death?
2 MR. BLAZER: Objection. He's already told
3 you he's not a coroner or medical examiner.
4 Go ahead, Doctor.
5 MS. NIKOLIC: Go ahead, Doctor. You can
6 answer.
7 A. Yeah, I'm not a coroner or a medical
8 examiner. I'm not ultimately responsible to
9 determine the cause of death in cases like this.
10 BY MS. NIKOLIC:
11 Q. So you would defer to someone else?
12 A. Correct.
13 Q. In your practice as a hospitalist, are you
14 regularly called upon to determine a cause of death
15 of a patient at the hospital?
16 A. Yes. If someone passes away in the
17 hospital on our service and it's during the
18 daytime, because I usually work days, then we come
19 and pronounce them, and then we do an electronic
20 death certificate and fill out the cause of death.
21 Because I'm a hospitalist, I don't fill out those
22 for someone who dies at home.

Page 85

1 Q. I'm sorry. Could you repeat that? I just
2 didn't hear you.
3 A. As I'm a hospitalist, I don't fill out
4 those kind of things for someone that passes away
5 at home.
6 Q. Okay. I understood. So, in other words,
7 it would be just a patient of yours at the
8 hospital, right?
9 A. Correct.
10 Q. I want to go back to something that you
11 said earlier when we were talking about --
12 initially when we started talking about cause of
13 death. I think you said something to the effect of
14 that he had been prescribed Oxycodone at a high
15 level, and I believe you were referring to the
16 toxicology report.
17 Do you recall that testimony a few minutes
18 ago?
19 A. I said he was prescribed a high dose of
20 Oxycodone and that the level was high in his blood
21 stream on the toxicology report, yes.
22 Q. Do you recall what that level was?

Page 87

1 A. Yes.
2 MR. BLAZER: Objection to the form of the
3 question. You're mixing two separate individuals.
4 Could you make clear who you're talking about?
5 MS. NIKOLIC: Yeah.
6 BY MS. NIKOLIC:
7 Q. Are you relying on Dr. Schneider or on
8 Dr. Kessler; I'll ask it that way, Doctor?
9 A. Dr. Kessler, I believe, put down that it
10 was a lethal level of Oxycodone. I think Dr. --
11 Dr. Schneider put it was a lethal level. I think
12 Dr. Kessler also stated that Oxycodone was part of
13 the cause of death, though.
14 MS. NIKOLIC: Okay, Doctor, I think I'm
15 almost done. Let's just take a brief break. Since
16 this is our first break of the morning, let's just
17 take a 10-minute break, and that way people can go
18 to the restroom, grab coffee, whatever, and so
19 we'll be back in about 10 minutes. Okay?
20 THE WITNESS: Sure. Okay.
21 MR. BLAZER: Thank you.
22 MS. NIKOLIC: Thanks, Donna. We'll go off

Page 86

1 A. I have it here. It was .41 milligrams per
2 liter.
3 Q. Do you have, in your experience as a
4 hospitalist, experience in determining lethal
5 amounts of narcotics such as Oxycodone?
6 A. I can determine someone having adverse
7 effects when I'm rounding on them if they become,
8 you know, obtunded; but, no, I don't have
9 responsibility determining a lethal level of the
10 substance on a toxicology report.
11 Q. In terms of your testimony that the
12 Oxycodone reported in the bloodstream was at a high
13 level, what is the basis for that opinion that
14 that's a high level?
15 A. I am deferring to the pathologist who said
16 it was a lethal level.
17 Q. And that's the pathologist who authored
18 the tox report in this case?
19 A. I believe so.
20 Q. I should also add and the medical examiner
21 who ultimately authored the death certificate and
22 that cause of death report?

Page 88

1 the record.
2 (Whereupon, a recess was taken at
3 11:41 a.m. until 11:53 a.m. and then the
4 proceedings continued as follows:)
5 BY MS. NIKOLIC:
6 Q. Doctor, I just have a few more questions
7 for you, and then I promise I will let you get out
8 of here.
9 So I just wanted to go back to something
10 you testified to earlier. Do you recall my
11 questioning and your testimony regarding your
12 opinion regarding the need for a psych consult due
13 to Mr. Jewett's anxiety, depression, alcohol abuse?
14 Do you recall that testimony?
15 A. Yes.
16 Q. Okay. And then I think you had testified
17 that that type of history -- the reason a psych
18 consult is needed is because of that type of
19 history and that that type of history can cause an
20 increased risk of overdose.
21 Was that a fair summary? I know that was
22 about an hour ago.

Page 89

1 A. I believe so, yeah.
 2 Q. Okay. Do you have an opinion as to
 3 whether Mr. Jewett committed suicide?
 4 A. There was mention in the chart that he did
 5 not appear to be suicidal and he had a normal
 6 affect. There was no mention of him appearing to
 7 be suicidal in the chart so...
 8 Q. So then is that a yes or a no?
 9 A. He didn't appear to be suicidal.
 10 Q. Okay. Do you have any other opinions in
 11 this case that we haven't already covered today?
 12 A. I think you touched base on all of them.
 13 Q. Okay. Just a couple of very, very general
 14 questions.
 15 Do you know or have a relationship with
 16 any of the INOVA Fairfax providers?
 17 A. No. I don't know any of them.
 18 Q. And that would include Dr. Amatya, right?
 19 A. Correct, yeah.
 20 Q. Do you know or have any relationship with
 21 the other standard of care expert who has been
 22 designated in this case, Dr. John Dombrowski?

Page 91

1 So, Madam Court Reporter, we will read,
 2 and so send me a mini transcript and an errata
 3 sheet, and I'll get that back to you as soon as
 4 possible.
 5 (Caryl MD Exhibits 1 and 2 were reserved
 6 to be marked for identification.)
 7 (Whereupon, signature not having been
 8 waived by counsel, the deposition of the witness
 9 herein, Mark R. Caryl, D.O., concluded at
 10 11:57 a.m.)
 11 * * * * *
 12
 13
 14
 15
 16
 17
 18
 19
 20
 21
 22

Page 90

1 A. I don't know him, no.
 2 Q. And I take it you do not know or have a
 3 relationship with Dr. Schneider or Dr. Kessler, the
 4 other two providers we've been discussing?
 5 A. They're strangers. No, I don't know them.
 6 MS. NIKOLIC: Okay, Doctor, I think those
 7 are all the questions I have for you.
 8 I will just say for the record I will
 9 attach your CV as Exhibit 1 and the expert
 10 designation as Exhibit 2.
 11 Donna, I apologize, I did not get those to
 12 you earlier, but I will just send them to you after
 13 this, but those will be Exhibits 1 and 2.
 14 And I thank you very much for your time
 15 today, Doctor.
 16 Mr. Blazer might have some questions for
 17 you, but I'm done, so thank you.
 18 MR. BLAZER: Mark, I have no questions.
 19 You have the right to read the transcript
 20 and to confirm that your testimony has been
 21 accurately transcribed. I am going to ask that you
 22 take advantage of that opportunity.

Page 92

1 I, Mark R. Caryl, D.O., do hereby certify
 2 that I have read the foregoing typewritten pages 1
 3 to 92, and corrections, if any, were noted by me;
 4 and same is now a true and correct transcript of my
 5 testimony.
 6 PAGE LINE CHANGE OR CORRECTION REASON THEREFOR
 7 _____
 8 _____
 9 _____
 10 _____
 11 _____
 12 _____
 13 _____
 14 _____
 15 _____
 16 _____
 17 _____
 18 _____
 19 _____
 20 _____
 21 _____
 22 DATE MARK R. CARYL, D.O.

Page 93

1 VIRGINIA:
2 IN THE CIRCUIT COURT OF FAIRFAX COUNTY

3 _____
4 DENNIS MARK JEWETT, Personal
5 Representative of the Estate of
6 ERIC JUSTIN JEWETT, deceased,
7 Plaintiff,
8 Case No. 2020-0002561

9 v.
10 INOVA HEALTHCARE SERVICES, INC.,
11 d/b/a INOVA FAIRFAX HOSPITAL, et al.,
12 Defendants.

13 _____
14 I, MARK R. CARYL, D.O., do hereby acknowledge
15 that I have read and examined the foregoing pages
16 of testimony, and the same is a true, correct and
17 complete transcription of the testimony given by
18 me, and any changes and/or corrections appear on
19 the attached errata sheet signed by me under
20 penalties of perjury.

21 _____
22 (Date) (Signature)

(Notary) (Commission Expires)

Page 95

1 AMICUS REPORTING, LLC
2 44164 Mossy Brook Square
3 Ashburn, Virginia 20147
4 (703) 729-2536 amicusreporting@aol.com

5 SENT VIA EMAIL
6 Monday, November 21, 2022
7 Mark R. Caryl, D.O.
8 c/o The Law Office of John A. Blazer
9 John A. Blazer, Esq.
10 JohnBlazerLaw@gmail.com

11 IN RE: DEPOSITION OF MARK R. CARYL, D.O.
12 Dennis Mark Jewett v. Inova Healthcare Services
13 Inc., et al.

14 Dear Dr. Caryl:

15 Enclosed please find the requested copy of the
16 transcript with the original signature page to your
17 deposition taken on Thursday, November 10, 2022.


18 Please review your deposition testimony, note any
19 changes on the separate errata sheet by reference
20 to page and line number, i.e., "he said" to "she
21 said," and send the errata sheet and executed
22 signature page back to Ms. Nikolic within 21 days
of receipt of this letter. Ms. Nikolic will then
include the signed signature pages with the
original transcript, which is in her possession.
To further ensure quality in reporting, please feel
free to send a copy of the errata sheet to our
office via e-mail.

Very truly yours,
Donna L. Linton, RDR-CCR-CLR
cc: Aneta Nikolic, Esq.

Page 94

1 CERTIFICATE OF STENOTYPE REPORTER - NOTARY PUBLIC
2 I, DONNA L. LINTON, RDR-CCR-CLR, and a
3 Notary Public in and for the Commonwealth of
4 Virginia, before whom the foregoing deposition was
5 taken, do hereby certify that the witness whose
6 testimony appears in the foregoing deposition was
7 duly sworn by me; that the testimony of said
8 witness was taken by me in Shorthand at the time
9 and place mentioned in the caption hereof and
10 thereafter transcribed by me; that said deposition
11 is a true record of the testimony given by said
12 witness; that I am neither counsel for, related to,
13 nor employed by any of the parties to the action in
14 which this deposition was taken; and further, that
15 I am not a relative or employee of any counsel or
16 attorney employed by the parties hereto, nor
17 financially or otherwise interested in the outcome
18 of this action.

19 _____
20 DONNA L. LINTON, RDR-CCR-CLR
21 Notary Public in and for
22 COMMONWEALTH OF VIRGINIA



My commission expires: March 31, 2026
My notary registration number: 118816